

SUPERIOR COURT OF NEW JERSEY  
LAW DIVISION, CRIMINAL PART  
MIDDLESEX COUNTY  
INDICTMENT NO. 17-06-01785  
APP. DIV. NO. \_\_\_\_\_

---

STATE OF NEW JERSEY, :  
 :  
 vs. : TRANSCRIPT  
 :  
 : OF  
 DARRYL NIEVES, :  
 :  
 : FRYE HEARING  
 Defendant. :  
 :

---

Place: Middlesex County Courthouse  
56 Paterson Street  
New Brunswick, NJ 08903

Date: September 24, 2020

BEFORE:

HONORABLE PEDRO J. JIMENEZ, JR., J.S.C.

TRANSCRIPT ORDERED BY:

CAROLINE V. BIELAK, ESQUIRE, A.D.P.D. (Office of  
the Public Defender, Middlesex Region)

APPEARANCES:

VANESSA I. CRAVEIRO, ESQUIRE, A.P. (Monmouth  
County Prosecutor's Office)  
Attorney for the State of New Jersey

CAROLINE V. BIELAK, ESQUIRE, A.D.P.D.  
DANICA L. RUE, ESQUIRE, A.D.P.D. (Office of the  
Public Defender, Middlesex Region)  
Attorneys for the Defendant

Transcriber: Terry L. DeMarco, AD/T 566

Agency: KLJ Transcription Service, LLC  
P.O. Box 8627  
Saddle Brook, NJ 07663  
(201) 703-1670  
www.kljtranscription.com  
info@kljtranscription.com

Digitally Recorded  
Operator - Noemi Ramsammy

I N D E X

<u>WITNESSES FOR</u> <u>THE STATE:</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
Gladibel Medina	26	100		
Voir Dire	7	19		

<u>EXHIBITS</u>	<u>IDENT.</u>	<u>EVID.</u>
S-1 4/26/17 Report by Dr. Medina	5	
S-2 Curriculum Vitae of Dr. Medina	18	18
S-3 Study by Ayub Ommaya	35	
S-4 Study by Carole Jenny	40	
S-5 Study [Not Described on Record]	40	
S-6 Study [Not Described on Record]	40	
S-7 Study [Not Described on Record]	40	
S-8 SBU Report by Niels Lynøe	40	
S-9 2010 Study by Catherine Adamsbaum	44	
S-10 2010 Study by Andrea Vincent	44	
S-11 Intracranial Structures Illustration	46	
S-12 2014 Study by Binenbaum & Forbes	59	
S-13 2013 Study by Gil Binenbaum	59	
S-14 2012 Study by Shruti Agrawal	59	
S-15 2013 Study by Gil Binenbaum	59	
S-16 2019 Study by Angell Shi	59	
S-17 2010 Study by Yair Morad	59	
S-18 2015 Statement by the AAO	59	
S-19 2004 Study by Suzanne Starling	71	

NOTE: Microphone not coming through channel 2, where the witness stand microphone is usually isolated on, resulting in the witness not being audible over the prosecutor when they speak at the same time or when prosecutor is moving papers/items at counsel table.

1 (Hearing commenced at 10:45 a.m.)  
2 THE COURT: All right. We're live then.  
3 Let's put on the record this is the case of  
4 State versus Darryl Nieves. The indictment is  
5 17-06-785 and the file is 17-837.  
6 Let me have everyone's appearances.  
7 MS. CRAVEIRO: Good morning. Vanessa  
8 Craveiro for the state.  
9 MS. RUE: Good morning, Your Honor. Danica  
10 Rue on behalf of Darryl Nieves.  
11 Ms. BIELAK: Good morning, Your Honor.  
12 Caroline Bielak also on behalf of Darryl Nieves, who  
13 is present behind me to my left.  
14 THE COURT: Okay. We're here -- have a  
15 seat, everyone.  
16 We have this case I guess moving towards a  
17 trial, but we're here today to have a hearing to  
18 determine, I guess, two things: whether the shaken  
19 baby syndrome or the abusive head trauma is  
20 scientifically reliable and would be in this case,  
21 absent evidence of physical injury; and also to  
22 determine whether the state's expert applied the  
23 science relating to the abusive head trauma reliably  
24 in this case.  
25 And we're having one expert from the state

1 today and two from the defense at some future date?  
2 MS. RUE: Yes, Judge. We have Dr. Scheller  
3 on Tuesday, the 29th, Dr. Mack on Wednesday the 30th,  
4 and then Dr. Van Ee --  
5 THE COURT: All right.  
6 MS. RUE: -- on October 13th.  
7 THE COURT: You know what? Excellent that  
8 you said that. Van Ee, what date?  
9 MS. RUE: October 13th.  
10 THE COURT: Ten thirteen twenty. Scheller,  
11 Tuesday?  
12 MS. RUE: Yes, Judge.  
13 THE COURT: What's Tuesday's date?  
14 MS. RUE: The 29th.  
15 THE COURT: And Mack.  
16 MS. RUE: The 30th. And, Judge, she is only  
17 able to appear virtually, so we can coordinate that  
18 with your court staff.  
19 THE COURT: All right.  
20 MS. RUE: Her hospital did not give her  
21 permission to travel.  
22 THE COURT: No problem.  
23 All right. Ms. Craveiro, you know, I missed  
24 it or misplaced it, but I don't have a copy of your  
25 expert's --

1 MS. CRAVEIRO: It's Dr. Medina, Judge.  
2 THE COURT: -- report. Would you mind  
3 giving me a copy of that?  
4 MS. CRAVEIRO: Yes.  
5 THE COURT: All right.  
6 MS. CRAVEIRO: And I'll just label it S-1.  
7 THE COURT: All right. So you're ready to  
8 proceed then; right, Ms. Craveiro?  
9 MS. CRAVEIRO: Yes.  
10 THE COURT: All right.  
11 MS. CRAVEIRO: And, Judge, since I'll be  
12 wearing the mask while asking questions, if I could  
13 sit down during the questioning, --  
14 THE COURT: Sure.  
15 MS. CRAVEIRO: -- I would appreciate it.  
16 Thank you.  
17 And, Judge, I did speak to defense counsel  
18 earlier. Our expert, Dr. Medina, who is entering the  
19 courtroom now, asked if she could testify without the  
20 mask. Defense counsel had no objection to that.  
21 That's why --  
22 THE COURT: Sure. Yeah. No problem.  
23 MS. CRAVEIRO: -- we put the plexiglass up.  
24 THE COURT: Not a problem.  
25 THE DEPUTY: You can put your stuff down and

1 (indiscernible).  
2 MS. CRAVEIRO: If you want, you can leave  
3 your --  
4 THE DEPUTY: No. Bring you can bring your  
5 stuff right (indiscernible).  
6 MS. CRAVEIRO: You can take a seat right  
7 there. Yeah. Behind the plexiglass.  
8 (Discussion with law clerk, off the record.)  
9 THE COURT: All right. Dr. Medina, good  
10 morning. We're going to swear you in and begin with  
11 your testimony. And you can testify without the mask  
12 if you'd like. You can even pull the microphone  
13 closer to you or, honestly, hold it -- that microphone  
14 right there. Or which one gives -- no.  
15 THE DEPUTY: The mic in front.  
16 THE COURT: Both of them working?  
17 THE DEPUTY: Yeah, it's working. I don't  
18 know what else (indiscernible) --  
19 THE COURT: All right. We want to make sure  
20 we record everything you say. So even if you have to  
21 use that big mic like a game show host and hold it  
22 close to you -- or a singer, or a singer, please do  
23 so, because we -- we're trying to make things happen  
24 without things falling on top of people.  
25 THE DEPUTY: Hopefully that works. Yeah,

1 that's --  
2 THE COURT: It's good like that? All right.  
3 THE DEPUTY: (Indiscernible)  
4 THE COURT: Okay. Doctor, let me ask you  
5 first, do you swear or affirm that the testimony  
6 you're going to give this Court will be the truth, the  
7 whole truth and nothing but the truth, so help you  
8 God?  
9 THE WITNESS: (Inaudible)  
10 G L A D I B E L M E D I N A, STATE'S WITNESS, SWORN  
11 THE COURT: All right. Would you be kind  
12 enough to just state your name for the record and  
13 spell your last name?  
14 THE WITNESS: Gladibel Medina, M-E-D-I-N-A.  
15 THE COURT: Okay. Have a seat. Thank you.  
16 And, Ms. Craveiro, your witness.  
17 MS. CRAVEIRO: Thank you, Judge.  
18 VOIR DIRE DIRECT EXAMINATION BY MS. CRAVEIRO:  
19 Q Okay. Good morning, Dr. Medina.  
20 A Good morning.  
21 Q By whom are you employed?  
22 A Saint Peter's University Hospital.  
23 Q Okay. And maybe if you could bring the mic  
24 a little closer to you --  
25 THE COURT: Yeah.

1 Q -- or speak a little louder? You're a  
2 little soft-spoken.  
3 THE COURT: I need you to -- no. Scream  
4 like you're outside. Go ahead. Just please. We need  
5 you to speak up so we can get everything, so -- all  
6 right.  
7 THE WITNESS: Okay.  
8 BY MS. CRAVEIRO:  
9 Q Okay. So, why don't you tell us again by  
10 whom you're employed?  
11 A Saint Peter's University Hospital.  
12 THE COURT: Noemi, make sure you hear her.  
13 Okay? Make sure that you hear her.  
14 Q Okay. And how long have you worked at Saint  
15 Peter's?  
16 A Twenty years.  
17 Q Okay. And what is your title there?  
18 A I am a child abuse pediatrician.  
19 Q Okay. And what are some of your duties as a  
20 child abuse pediatrician?  
21 A I conduct clinical evaluations of children when  
22 there is a concern of child abuse and neglect.  
23 Q Okay.  
24 A That's my main responsibility.  
25 Q Okay. And what are some of your other

1 responsibilities?

2 A The other responsibilities besides that, I also  
3 conduct medical evaluations of children in the local  
4 hospitals, specifically Robert Wood Johnson and Saint  
5 Peter's, of course, University Hospital.

6 I am a mentor of medical students in the  
7 field of child abuse pediatrics, as well as general  
8 pediatrics. Medical students from the third and  
9 fourth years. Oh, and also pediatric residents. Both  
10 at Saint Peter's University Hospital and through  
11 Rutgers Medical School at Robert Wood Johnson  
12 University Hospital.

13 I conduct educational conferences for our  
14 community pediatricians. Specifically I cover eight  
15 counties in the central area of the state of New  
16 Jersey. And I conduct also educational conferences  
17 for law enforcement, social agencies such as DCP&P.

18 I am the chair of the fatality and near-  
19 fatality review board for the central region of the  
20 state of New Jersey. I'm also part of the governor's  
21 board that supervises the MDT process in the state of  
22 New Jersey.

23 Q Okay. And when you say MDT, what does MDT  
24 mean?

25 A The multidisciplinary team of professionals that

1 are involved in the evaluation of child abuse and  
2 neglect to provide treatment, services, et cetera.

3 Q Okay. And you said your main duty is to  
4 evaluate children in child abuse and neglect cases;  
5 correct?

6 A Yes.

7 Q And how many evaluations to date have you  
8 performed?

9 A Over the past 20 years or so, close to 4,000.

10 Q Okay. And about how many -- approximately  
11 how many a year do you perform?

12 A I'm sorry, I didn't hear that.

13 Q About how many a year do you perform?

14 A A year? About 200.

15 Q Okay. And how many of those are for  
16 physical abuse?

17 A Physical abuse evaluation comprise about 1,500 to  
18 date.

19 Q Okay. And how many are for abusive head  
20 trauma?

21 A Abusive head trauma, about 15 percent, 250 or so.

22 Q Okay. And how many of those evaluations out  
23 of those 250 actually end up with you diagnosing the  
24 patient with abusive head trauma?

25 A About seven percent of those.

1 Q Okay. And do you also act as a director at  
2 your current employment?

3 A So, I am the medical director of the Dorothy B.  
4 Hersh Regional Child Protection Center. Which is  
5 hosted in New Brunswick.

6 Q Okay. And what is --

7 A At Saint Peter's.

8 Q And what are your duties as a director?

9 A So, as a director, I am in charge of the group of  
10 physicians that eval -- and nurses, nurse  
11 practitioners, that evaluate child abuse and neglect  
12 for the eight counties that our center covers. In  
13 addition to that, I provide, again, the educational  
14 conferences to the peer review process for SANE nurses  
15 and also our own internal pediatrician, in  
16 collaboration with the other four RDTCs in the state  
17 of New Jersey.

18 Q And what eight counties does it cover?

19 A Okay. Our counties include Middlesex, Somerset,  
20 Union County, Warren County, Mercer County, Monmouth  
21 County, Ocean County.

22 Q Okay.

23 A I think that's eight.

24 Q And --

25 A Hunterdon.

1 Q And Hunterdon. Okay. And do you work  
2 anywhere else?

3 A So, at -- as part of Saint Peter's, I work for 18  
4 years as the -- one of the pediatric faculty  
5 conducting general pediatric exams.

6 Q And what does that mean?

7 A So I took care of the health of gen -- of  
8 children in general, providing anticipatory guidance  
9 as they are growing up from birth, all the way through  
10 18 years of age, as part of the faculty at Saint  
11 Peter's.

12 Q Okay.

13 A So it's an outpatient practice.

14 Q And did you also teach?

15 A I teach the residents during that time, as well  
16 as medical students.

17 Q Okay. And in that position, did you teach  
18 about abusive head trauma?

19 A So in that position, I conducted some lectures  
20 for residents, which are called noon conferences, to  
21 specifically educate them in child abuse and neglect.  
22 And abusive head trauma.

23 Q Okay. And what did you do prior to those  
24 employments?

25 A So prior to Saint Peter's I worked in private

1 practice in the Watchung area for one year.  
2 Q And what did you do in private practice?  
3 A Routine pediatric care.  
4 Q Okay. And where -- are you -- you're  
5 licensed to practice medicine, obviously; right?  
6 A In the state of New Jersey, yes.  
7 Q Okay. Any other states?  
8 A No.  
9 Q How long have you been licensed?  
10 A Since 1990 -- hmm. Since 1996.  
11 Q Okay. And do you have any areas of  
12 specialty?  
13 A My areas of specialties are general pediatrics  
14 and child abuse pediatrics.  
15 Q Okay. And do you have any board  
16 certifications?  
17 A Both in general pediatrics and child abuse  
18 pediatrics.  
19 Q Okay. And what does it mean to be -- you --  
20 do you have any subspecialties?  
21 A So, child abuse pediatrics is a subspecialty  
22 recognized by the American Board of Pediatrics since  
23 2009.  
24 Q Okay. And what does it mean to be  
25 subspecialized in child abuse?

1 A So, it means that you are specialty trained to  
2 evaluate child maltreatment, which can include  
3 anything from physical abuse, sexual abuse, neglect  
4 concerns. In terms of physical abuse, you are --  
5 become very familiar with the biomechanics of trauma,  
6 and that is through conferences and continuing medical  
7 education through the MOC service that we are  
8 responsible for completing every year in addition to  
9 educational conferences in the field of child abuse  
10 pediatrics.  
11 Q Okay. And where did you go to medical  
12 school?  
13 A Robert Wood Johnson University Hospital in  
14 Piscataway.  
15 Q Okay. And when did you graduate?  
16 A In 1995.  
17 Q And where did you do your residency?  
18 A Also at the Robert Wood Johnson Medical Center.  
19 Q Okay. And you just mentioned some  
20 continuing education. Is there anything other than  
21 what you've already mentioned that you do to keep  
22 yourself up to date with everything in child abuse  
23 pediatrics?  
24 A So, again, the MOC, which is the continuing  
25 medical education program for certification, and



1 through the Helper conferences, which is an honorary  
2 society, we do training specific to child abuse and  
3 neglect every year. And I go to those conferences as  
4 an attendee.

5 MS. RUE: Judge, I'm sorry. If I may  
6 interrupt? I'm just having a hard time hearing.

7 If you don't mind speaking a little louder?

8 THE COURT: Okay.

9 THE WITNESS: Okay.

10 MS. RUE: Thanks.

11 MS. CRAVEIRO: Okay.

12 THE COURT: Do you need the last answer  
13 repeated?

14 MS. RUE: If I -- yeah, I apologize, but if  
15 you could?

16 THE COURT: Doctor, would you mind? That  
17 last answer?

18 THE WITNESS: Sure. So, the educational  
19 conferences that are provided for us to go and attend  
20 to complete our medical education credits are provided  
21 yearly through the Helper Society and also other  
22 organizations that teach specifically in the field of  
23 child abuse and neglect.

24 BY MS. CRAVEIRO:

25 Q Okay. And how long have you been practicing

1 medicine, generally?

2 A About 25 years.

3 Q And what portion of that time has been  
4 dedicated to pediatrics?

5 A All of it.

6 Q Okay. And what hospitals are you currently  
7 affiliated with?

8 A Robert Wood Johnson and Saint Peter's University  
9 Hospital.

10 Q Okay. Are you a member of any  
11 organizations?

12 A The American Academy of Pediatrics, the American  
13 Professional Society for the Abuse of Children, and the  
14 Helper Society.

15 Q Okay. And are those -- what are those  
16 societies in relation to?

17 A Pediatrics. Again, that's the American Academy  
18 of Pediatrics that oversees over pediatricians, about  
19 64,000 of us. And then the Helper Society is an  
20 honorary society for physicians in -- who are engaged  
21 in the treat -- in the evaluation of kids in the field  
22 of child abuse and neglect. And the and the APSAC,  
23 again, is a wide range of providers that are part of  
24 that society for the continuing education as well.

25 Q Okay. And have you received any awards for

1 your work in this field?  
2 A Just teaching awards.  
3 Q Okay.  
4 A At the local hospitals.  
5 Q And have you ever been qualified as an  
6 expert?  
7 A Yes.  
8 Q About how many times?  
9 A About 115 times.  
10 Q And in what counties?  
11 A Ten counties in New Jersey. The counties that I  
12 serve, plus Essex County, Hudson and Bergen County.  
13 Q Okay. Any of them outside of New Jersey?  
14 A Outside of New Jersey?  
15 Q Yeah.  
16 A No.  
17 Q Okay. And what time frame does that  
18 encompass?  
19 A The 20 years.  
20 Q Okay. And during those times, were you  
21 qualified as an expert in pediatrics and child abuse  
22 pediatrics?  
23 A Yes.  
24 Q And how many of those cases specifically  
25 dealt with abusive head trauma?

1 A About seven.  
2 Q Okay.  
3 MS. CRAVEIRO: And that's her C.V. that you  
4 just saw.  
5 MS. RUE: Okay.  
6 BY MS. CRAVEIRO:  
7 Q Okay. I'm going to approach with what's  
8 been previously marked for identification as S-2. Do  
9 you recognize that?  
10 A Yes.  
11 Q Okay. And what is that?  
12 A This is my curriculum vitae.  
13 Q Okay. And does that accurately -- fairly  
14 and accurately represent all of your training and  
15 experience and qualifications as it pertains to the  
16 field of pediatrics and, specifically, child abuse  
17 pediatrics?  
18 A Yes, ma'am.  
19 MS. CRAVEIRO: Okay. So at this time I'd  
20 like to admit S-2 into evidence.  
21 MS. RUE: No objection.  
22 MS. CRAVEIRO: Okay. At this time I don't --  
23 THE COURT: So moved.  
24 MS. CRAVEIRO: Oh. Sorry, Judge? Can I  
25 continue?

1 THE COURT: Yeah. Just got to wait --  
2 MS. CRAVEIRO: Okay.  
3 THE COURT: -- for me to say it, though.  
4 MS. CRAVEIRO: Sorry, Judge.  
5 THE COURT: So I can -- record reflect.  
6 MS. CRAVEIRO: At this time I also would  
7 like to offer Dr. Medina as an expert in pediatrics  
8 and child abuse pediatrics.  
9 THE COURT: Counsel?  
10 MS. RUE: Judge, I just have a few questions.  
11 THE COURT: Sure.  
12 MS. RUE: Thank you.  
13 VOIR DIRE CROSS-EXAMINATION BY MS. RUE:  
14 Q Good morning, Dr. Medina.  
15 A Good morning.  
16 Q So, I just wanted to ask you about the  
17 certifications, the annual certifications you have --  
18 you received.  
19 A Annual?  
20 Q I believe you said yearly certifications.  
21 A Yearly continuing medical education.  
22 Q Okay. And that was in regards to the  
23 subspecialty of child abuse pediatrics.  
24 MS. CRAVEIRO: I just don't want to cough in  
25 the courtroom. Let me just --

1 (Extended pause)  
2 MS. CRAVEIRO: Sorry, guys.  
3 THE COURT: That's all right.  
4 MS. CRAVEIRO: All right. Sorry.  
5 MS. RUE: No, that's okay.  
6 BY MS. RUE:  
7 Q So, okay. Again, Dr. Medina, you receive  
8 yearly medical certification -- or, pardon me, not  
9 certifications -- continuing legal education --  
10 medical education -- pardon me -- annually; correct?  
11 A Yes.  
12 Q And that is specifically in the field of  
13 child abuse pediatrics?  
14 A And pediatrics.  
15 Q And pediatrics. What does that entail,  
16 those --  
17 A Attending conferences, educational conferences,  
18 signing in that you were listening to the information  
19 provided, and they give you a certificate.  
20 Q And how many hours is that, annually?  
21 A It's about 40 to 75.  
22 Q Forty -- and so it's listening to lectures,  
23 essentially?  
24 A Listening to the lectures or attending lectures,  
25 yes.

1 Q And -- well, attending them. And then I  
2 assume you would listen when you --  
3 A Yes.  
4 Q -- attend; correct?  
5 A Yes.  
6 Q And you sign in and you sign off?  
7 A Yes.  
8 Q Okay. Now, you testified that you have  
9 worked for Saint Peter's Hospital for the last 20  
10 years?  
11 A Yes.  
12 Q And cases are referred to you by DCP&P;  
13 correct?  
14 A Yes, ma'am.  
15 Q And by that I mean the Division of Child  
16 Protection and Permanency?  
17 A Yes. The majority are referred by them.  
18 Q Okay. What is your -- how is your salary  
19 paid?  
20 A My salary?  
21 Q Yes.  
22 A From Saint Peter's.  
23 MS. CRAVEIRO: Judge, I object. I don't  
24 think this necessarily has anything to do with her  
25 qualifications as an expert.

1 MS. RUE: Well, it --  
2 THE COURT: Where are you going with this,  
3 counsel?  
4 MS. RUE: Judge, just asking if DCP&P paid  
5 for any portion of her salary. She's paid directly by  
6 DCP&P or just solely by Saint Peter's.  
7 THE COURT: Well, there's the question.  
8 THE WITNESS: Yes. Solely by Saint Peter's.  
9 BY MS. RUE:  
10 Q Okay. And are all of the cases that you  
11 evaluate, are they are all from -- referred from  
12 DCP&P?  
13 A No.  
14 Q What percentage would you say?  
15 A I would say 90.  
16 Q Ninety percent?  
17 A Yes.  
18 Q Okay. So your two areas of expertise are  
19 general pediatrics; correct?  
20 A Yes.  
21 Q And child abuse pediatrics.  
22 A Yes, ma'am.  
23 Q You don't have any specific certifications  
24 in ophthalmology; correct?  
25 A No.

1 Q You don't have any certifications in  
2 optometry.  
3 A No.  
4 Q In radiology?  
5 A No.  
6 Q You don't have any certifications in  
7 biomechanics; correct?  
8 A No.  
9 Q And you don't have any certification in  
10 neurology.  
11 A No.  
12 Q You've never practiced in those fields;  
13 correct?  
14 A No.  
15 Q Your entire practice has been in the general  
16 practice of pediatrics; right?  
17 A And child abuse pediatrics.  
18 Q And -- and the specific subsection of child  
19 abuse pediatrics.  
20 A Both.  
21 Q Right. That's what I'm saying. So,  
22 generally, the umbrella pediatrics; right? And then,  
23 under that, child abuse pediatrics.  
24 A Yes.  
25 Q Those are the two areas where you practice.

1 A Yes.  
2 Q Meaning you've never practices as an  
3 ophthalmologist.  
4 A No.  
5 Q An optometrist.  
6 A Correct.  
7 Q You've never -- you've never gotten a Ph.D.  
8 in biomechanics; correct?  
9 A No.  
10 Q And, specifically, you've never had any  
11 degree in radiology or practiced in that field.  
12 A Correct, ma'am.  
13 Q And you've never practiced --  
14 MS. CRAVEIRO: Judge, again, --  
15 Q -- as a neurologist.  
16 MS. CRAVEIRO: -- objection. At this point,  
17 I am offering her as an expert pediatrics and child  
18 abuse pediatrics. We're going -- veering left into  
19 cross-examination.  
20 THE COURT: She's asking about certain  
21 fields that might be relevant here, since we're  
22 talking about head and eyes, and -- and neck and --  
23 MS. CRAVEIRO: Okay.  
24 THE COURT: -- I'm expecting to have either  
25 you ask a followup question to put it into perspective

1 or I'll ask it. Or maybe counsel will ask it. So  
2 that's why I'm allowing it. And I'm allowing it  
3 because I like to get as much information as possible.  
4 In the end, I may find out that this entire line of  
5 inquiry is completely irrelevant, but at least I want  
6 to give everybody a chance, --

7 MS. CRAVEIRO: Okay.

8 THE COURT: -- given what we're doing here,  
9 to ask the questions that are even somewhat in line.  
10 So, let me -- I understand. So I'm going to over --  
11 no disrespect, I'm going to overrule your objection  
12 right now.

13 MS. CRAVEIRO: That's okay.

14 THE COURT: Counsel?

15 MS. RUE: Thank you, Your Honor.

16 BY MS. RUE:

17 Q So, and just to be clear, you've never  
18 practiced in the field of neurology.

19 A No.

20 Q And you have no qualific -- pardon me --  
21 certifications in that field either.

22 A No.

23 MS. RUE: No further questions, Judge.

24 THE COURT: How are you with regards to the  
25 proffer of Dr. Medina as an expert in the field of

1 pediatrics and child abuse pediatrics, objecting or  
2 consent --

3 MS. RUE: We don't object to Dr. Medina  
4 testifying to specifically the area of child abuse  
5 pediatrics.

6 THE COURT: Well, now hold on.

7 MS. RUE: What the state is offering her.

8 THE COURT: She's being offered as an expert  
9 in the field of pediatrics and child abuse pediatrics.  
10 Do you oppose or do you consent?

11 MS. RUE: We consent to those fields.

12 THE COURT: Okay. Then Dr. Medina will be  
13 able to testify in those two fields as an expert.

14 MS. CRAVEIRO: Okay.

15 THE COURT: Offer her opinion, et cetera, et  
16 cetera. Okay?

17 DIRECT EXAMINATION BY MS. CRAVEIRO:

18 Q Dr. Medina, have you heard of the term  
19 abusive head trauma?

20 A Yes.

21 Q Okay. And what does that mean?

22 A The term is defined by the CDC as an inflicted  
23 injury of the skull or intracranial contents in an  
24 infant or a child under five years caused by violent  
25 shaking, blunt head impact or a combination of both.

1 Q Okay. And --

2 THE COURT: Hold on, Ms. Craveiro. One  
3 second.

4 (Judge off bench from 11:08 to 11:10 a.m.)

5 THE COURT: Sorry, Ms. Craveiro.

6 MS. CRAVEIRO: No problem.

7 BY MS. CRAVEIRO:

8 Q Okay. And now, doctor, you were explaining  
9 what abusive head trauma was. What can the findings  
10 of abusive head trauma include?

11 A Abusive head trauma can include injury to the  
12 skull, injury to the intracranial structures, which  
13 involve the brain, the vasculature inside the skull,  
14 causing hemorrhaging. It can involve injury to --  
15 injury, as defined by bruises and contusions, injury  
16 to axons of -- and that nerve tissue. You can also  
17 have associated injury with the spinal cord,  
18 associated injury with the skeleton, the appendicular  
19 skeleton -- that's the spine, the ribs -- sometimes  
20 the extremities. You can also have bruising,  
21 specifically concerning when it's the face, the ears,  
22 the torso, the neck, or internal organ injury. All --  
23 that's the spectrum of injuries associated with  
24 abusive head trauma.

25 Q Okay. And what presenting symptoms or

1 findings raises suspicion for abusive head trauma?

2 A So, presenting symptoms is what you can actually  
3 see and observe on a child. And that usually is more -  
4 - mostly common by altered mental status reflecting an  
5 insult going on inside the CNS, which is the central  
6 nervous system, or very less commonly -- I wouldn't say  
7 rarely, but less commonly -- external bruises or  
8 physical injuries that you can see, specifically to the  
9 skin, mucosa of the face, eyes, et cetera.  
10 Intracranial structures will also include the eye  
11 inside the globe, specifically the retina.

12 Q And who identifies a concern for abusive  
13 head trauma?

14 A So the initial concern is brought by how the  
15 child presents to the evaluator that see him -- sees  
16 the child first for medical care. The concern is  
17 raised either because what is -- what the child is  
18 expressing or demonstrating does not fit with the  
19 history that's being provided. Sometimes traumatic  
20 findings have no history of trauma associated with  
21 them. Sometimes the presenting finding, it's not  
22 developmentally possible in a child that age, given  
23 that age. So all of that, in conjunction, raises a  
24 flag for potential inflicted injury by the initial  
25 examining physician.

1 Q Okay. And when you say evaluator, you mean  
2 a physician? The initial person?

3 A An ER physician. It could be an outpatient  
4 doctor, a pediatrician in their office, who then sends  
5 the kids for further care. So the emergency room and  
6 the pediatrician's office, those are the main doctors  
7 that are involved in the initial identification.

8 Q Okay. And how is such a diagnosis made?

9 A So, to diagnose abusive head trauma, that entails  
10 a comprehensive evaluation of the medical history, so  
11 those -- that's the clinical information regarding  
12 what brought the child to the hospital and what has  
13 been the child's demeanor, behavior immediately prior  
14 to the presentation and prior to that as his usual  
15 health. So a comprehensive medical history, in that --  
16 in that sense.

17 Then it also entails evaluation of the  
18 physical exam of what the child presents with. After  
19 that, it involves consultation with multiple  
20 subspecialties in the field of pediatrics and also  
21 trauma to conduct a comprehensive evaluation of other  
22 possible findings that might be coexisting with the  
23 external presentation, and evaluation of possible  
24 pathology or medical issues that might be contributing  
25 to the presentation and any other findings observed.

1 That usually involves consultation with  
2 geneticists in -- when bones are a concern or  
3 metabolic disorders, a hematologist, radiologist,  
4 ophthalmologist, which work in concert so that we can  
5 -- so that the child abuse pediatrician who reviews  
6 everything, his -- back history of the child, the  
7 medical history, the physical findings, the laboratory  
8 tests, the imaging studies, can put a picture together  
9 and determine the nature of the concerns.

10 Q So, at what point does the child abuse  
11 pediatrician get involved?

12 A Hopefully from the beginning, but sometimes it is  
13 delayed, because the children are being treated for  
14 something else and then the concerns arrive after  
15 other findings come up.

16 Q Okay. And I know we've been discussing  
17 abusive head trauma, but has this diagnosis been known  
18 by any other names?

19 A Yes. So, the field of abusive head trauma or the  
20 recognition of inflicted head injury in medicine is  
21 about 160 years. In terms of the published medical  
22 literature it started in the 18 -- in the middle of  
23 the 19th century with Tardieu, who is a French  
24 pathologist, identifying injuries in children that  
25 were believed to be associated with maltreatment by



1 care givers.

2 Following that, a few years -- about 80  
3 years later, you have English neurosurgeon Guthkelch  
4 who identified subdurals in children without any  
5 external signs of trauma. Believed again strongly to  
6 be associated with physical abuse.

7 Finally, in 1960 we have Kempe, who is in  
8 the United States, coined the syndrome the battered  
9 child because of fractures and other injuries that  
10 were found in association with intracranial trauma.

11 In 1970s -- '74, '72 -- Caffey coined the  
12 term shaken baby syndrome, prior to that calling it  
13 parent-infant traumatic stress syndrome. But when he  
14 coined in 1974 shaken baby syndrome, that is what has  
15 been used to refer to inflicted trauma in infants  
16 caused by shaking-type injury.

17 Then in 2009 the American Academy of  
18 Pediatrics broadened the terminology to include all  
19 mechanism of injury, not just shaken alone, calling it  
20 abusive head trauma as the official terminology in a  
21 policy statement to include inflicted injury to the  
22 head caused by shaken impacts or a combination of  
23 both. Also crushing injury, which we don't see a lot  
24 of.

25 Since 2009, when we child abuse

1 pediatricians make a diagnosis of abusive head trauma,  
2 that is the term --

3 Q Okay.

4 A -- that we are using.

5 Q And the mechanisms of injury that are  
6 encompassed by abusive head trauma, are those the  
7 three that you just listed? Or four, rather?

8 A Those are the three major ones.

9 Q What other ones are there?

10 A You can throw a child. You can shake a child  
11 upside down by the legs. But we are talking about the  
12 main ones are shaking of the head, of the upper torso,  
13 impacts, direct impacts to the head, or a combination  
14 of shaking and impact events.

15 Q And so how long has this type of intentional  
16 head trauma been recognized by the medical  
17 professional community?

18 A So, 160 years.

19 Q Okay. And is abusive head trauma widely  
20 accepted within the medical community?

21 A Yes.

22 Q And has the validity of the diagnosis  
23 changed in that 160 years that it's been publicly  
24 recognized?

25 A No.

1 Q Has the change in terminology over those  
2 years affected its general acceptability within the  
3 medical community?

4 A Not within the medical community, but within  
5 other that oppose shaking as a mechanism, yes.

6 Q Okay. And so then abusive head trauma is  
7 also generally accepted within the medical community?

8 A Yes. So, it is accepted by all the pediatric  
9 subspecialties involving intracranial injury, which  
10 are general pediatrics, pediatric ophthalmology,  
11 pediatric neurology, pediatric neurosurgery, pediatric  
12 radiology, pediatric neuroradiology. And then, on top  
13 of that, you have the societies, both national and  
14 international, that have been involved in the validity  
15 of the established diagnosis of abusive head trauma as  
16 causing injuries to the intracranial structures.  
17 Those include the American Academy of Pediatrics, the  
18 American Academy of Ophthalmology, the American  
19 Academy of Pediatric Ophthalmology and Strabismus, the  
20 Royal College of Ophthalmology, the Royal College of  
21 Pediatrics and Child Health, the Norwegian, Japan and  
22 Swedish Pediatric Societies, the American and European  
23 Societies for Radiology and Neuroradiology, the Latin  
24 American Society for Pediatric Regulatory, the  
25 American Professional Society for the Abuse of

1 Children, the CDC, and the World Health Organization,  
2 to name a few.

3 Q And so all of these societies and these  
4 medical disciplines, they all support and find abusive  
5 head trauma as a valid diagnosis?

6 A Yes.

7 Q And has that validity changed in recent  
8 years?

9 A It has not changed in the medical community. It  
10 has been challenged in terms of the mechanism of  
11 shaking.

12 Q Okay. And what do you mean by that?

13 A So, abusive head trauma, as a medical diagnosis,  
14 is well accepted. We know that children can sustain  
15 intracranial injuries by care givers. The concept of  
16 whiplash injury or a shaking injury, back and forth  
17 movement, at least once violently, is the foundation  
18 of biomechanical studies. And that is where the  
19 threshold for injury of intracranial structures was  
20 established by the original study of Ommaya in 1968.  
21 That was conducted in primates. So that is what we  
22 know about shaking, and the established thresholds for  
23 intracranial injury comes from that study, which then  
24 everything else in biomechanics is based on those  
25 injury thresholds.

1           The controversy is focused on whether  
2 shaking, which has been established to cause injury in  
3 primates, can be cause -- can cause the forces needed  
4 to generate intracranial injury in infants. And  
5 that's where the controversy exists.

6           Q     Okay. And you just mentioned an Ommaya  
7 article. Approaching with what's been previously  
8 marked for identification as S-3. Is this the article  
9 you were referring to?

10          A     Yes.

11          Q     Okay. And what is important about that  
12 article in this controversy?

13          A     So, this article is the original study conducted  
14 by Ommaya and his team in adult monkeys where they  
15 subjected these monkeys to a single cycle whiplash  
16 event without an impact and found that that whiplash  
17 event caused concussion in addition to small bruises  
18 and subdural bleeds in these monkeys. This study  
19 established the injury thresholds for intracranial  
20 injury. From this study, Duhaime and Prange and  
21 others used those thresholds to determine where  
22 vigorous shaking of an infant can reach those  
23 thresholds to then produce intracranial trauma.

24                 So the importance of this article is that  
25 these are the thresholds that are used in biomechanic

1           -- in all our biomechanic knowledge or literature  
2 which are derived from primates who have been shaken  
3 once.

4           Q     All right. And when you say threshold --  
5 injury thresholds, what do you mean by that?

6           A     Are the forces required to generate concussion  
7 for a brain injury in a monkey, which has been scaled  
8 to adult humans, and from there attempted to be scaled  
9 to infants. So, injury threshold is whatever force is  
10 necessary to cause intracranial injury.

11          Q     Okay. And you -- how did you come to learn  
12 about that study?

13          A     Those are the -- one of the basic studies we are  
14 taught as child abuse pediatricians to come to  
15 understand the medical literature in all the different  
16 fields and presentations. So this is the study that  
17 triggers all the biomechanical studies as the minimum  
18 amount of force needed.

19          Q     Okay. And when you say it triggers the  
20 other biomechanical studies, what are you referring  
21 to?

22          A     So the biomechanical studies conducted afterwards  
23 use different animal models and also computerized  
24 models, what we call ADTs [sic], anthropomorphic dolls  
25 -- device -- testing devices, ADT -- ATDs, which are

1 basically dolls. Crash dummies that are used and  
2 subjected to shaking injuries and then they want to  
3 know if the values generated reach those established  
4 by the Ommaya study to cause injury.

5 Q Okay.

6 A That is how this study works.

7 Q Okay.

8 A This was the foundation for all of the other  
9 studies.

10 Q And are there any other studies that --  
11 okay. So what -- strike that.

12 What is the contribution of biomechanics in  
13 the field of abusive head trauma? And child abuse  
14 pediatrician [sic].

15 A So, biomechanics will deal with animal studies  
16 and computerized models. Right? Specifically  
17 computerized models. When Duhaime did a study in  
18 1987, she used a surrogate doll, a crash dummy, a  
19 simple version, in an attempt to shake that apparatus  
20 to see if shaking alone could reach the thresholds  
21 established by the Ommaya study.

22 What she found is that shaking alone did not  
23 generate enough forces, in terms of rotational forces,  
24 but shaking with an impact did. And her conclusions  
25 were that, at least in the most severe forms of

1 abusive head trauma, those causing near fatalities or  
2 fatalities, impact needed to take place, not just  
3 shaking.

4 That was actually, we would say, confirmed --  
5 or similar studies by Prange, who in 2003 also used a  
6 different model, a different surrogate -- and the  
7 surrogates have been getting better, better, in terms  
8 of what they -- the accuracy of the model to imitate  
9 the biomechanics of the infants. So Prange's study  
10 used a wooden mass body type where he found that  
11 vigorous shaking produced forces similar to those  
12 involved in small falls, like short-distance falls,  
13 and higher distances where the ones required to reach  
14 those thresholds that would do intracranial injury.  
15 So, according to Duhaime and Prange, you couldn't  
16 reach the minimum established threshold with shaking  
17 alone.

18 But then Cory in 2016 had a different model  
19 which used a more biofidelic doll. Him [sic] and  
20 Jenny both, Carole Jenny, those two used biofidelic  
21 balls [sic], and by changing the pattern of shaking --  
22 so, instead of shaking the doll in that A-B direction,  
23 forwards and back, they used shaking that allowed the  
24 head to move in different planes. Okay? In addition  
25 to that, they varied the biomechanics of the doll,

1 which allowed for chin-to-chest impact and occiput-to-  
2 back impact, and those studies actually surpassed the  
3 injury thresholds produced by the original Ommaya  
4 study.

5 So, from biomechanics we have discrepancy as  
6 to what causes the minimum established threshold, what  
7 type of forces can reach that, and that is because if  
8 you alter the biomechanics of the doll that is used or  
9 the shaking pattern, or the material that the doll is  
10 made out of, you can actually surpass the initial  
11 injury thresholds or not.

12 So, from a study by Shi in 2019, the review  
13 of the biomechanic literature, the conclusions were  
14 that the conclusions from the various studies are so  
15 diverse that you can't really come to a consensus.  
16 Why? Because scaling down of intracranial trauma for  
17 -- from primates to adult human brains have not been  
18 validated, from human brains to infant brains have not  
19 been validated, mostly from the ethical reasons and  
20 also because infant brains are significantly different  
21 than adult brains. So no one really knows the injury  
22 thresholds that are required to cause injury in terms  
23 of biomechanics.

24 The injury -- the brain of an infant has a  
25 different water content, neck muscles are weaker, the

1 -- there is no myelination of the brain. So there's a  
2 lot of factors that influence that and to date there  
3 is no model -- meaning crash dummies -- that can  
4 accurately simulate the infant brain. So we can only  
5 scale down and make assumptions. Which, in the  
6 literature, has been diverse. This is also emphasized  
7 as true by the one study of the opposing views, the  
8 SBU report, which was published in 2016 by Lynøe. And  
9 in that study the authors acknowledged that no  
10 conclusion can be drawn from biomechanical studies as  
11 to the minimal amount of force required to cause  
12 infants intracranial trauma.

13 Q Okay. Now, you mentioned a few different  
14 studies.

15 (Discussion among counsel, off the record.)

16 Q Okay. So I'm approaching with what's been  
17 previously marked for identification as S-4 through  
18 S-8. Can you take a look at these and let me know if  
19 those are the studies you were just referencing?

20 A Four is Carole Jenny.

21 Q Let me know if I missed any.

22 A Yes, they're all the --

23 Q These are all? Okay. And are those the  
24 articles that go into depth about what you were just  
25 saying about the biomechanics?

1 A Yes.  
2 Q Okay. And now, given that there is some  
3 controversy regarding biomechanics, does that mean  
4 that shaking, as a mechanism of injury, is no longer  
5 widely accepted within the medical community?  
6 A No, shaking continues to be accepted as a  
7 mechanism of injury of -- for intracranial trauma.  
8 Q Okay. And do you know the term benign  
9 enlargement of subarachnoid space?  
10 A Yes.  
11 Q Okay. And what is that?  
12 A So, benign enlargement of the subarachnoid space  
13 is --  
14 Q And, I'm sorry. Before we get to that, the  
15 article -- the last article that you were referencing,  
16 I --  
17 A The SBU report?  
18 Q Yes, the SBU report. I believe it's S-8.  
19 A Yes.  
20 Q Okay. There are some highlights in that  
21 one; right?  
22 A Highlights? Yes.  
23 Q And are the highlights in there -- if you  
24 could just take a look and let us know which page  
25 numbers those highlights appear and to what they refer

1 to?  
2 A Oh, I'm sorry. I'm trying to use this and  
3 (indiscernible).  
4 THE COURT: Sure, yeah, no.  
5 A All right. So the SBU report is the -- so, the  
6 first page of the SBU report, it presents -- it says:  
7 "This report presents a comprehensive  
8 systematic review of the available scientific  
9 evidence, including economic, social and ethical  
10 impact analysis."  
11 So, they are the ones who review the  
12 biomechanical studies as well, coming to that  
13 conclusion that no conclusion can be drawn from the  
14 biomechanical literature. It is helpful, but no final  
15 conclusion can be drawn with regards to infants and --  
16 Q Okay. Now, where in that report does it  
17 state that no conclusion can be drawn?  
18 A Under discussion, I'm going to find the page.  
19 27. Okay. Page 28 of the SBU it states:  
20 "An analysis of the biomechanical studies" --  
21 And the SBU came out in 2016.  
22 "An analysis of the biomechanical studies  
23 disclose contradictory results and no conclusions  
24 can be drawn as to the minimal forces capable of  
25 generating this injury in children."

1 Q Okay. Now, is there anything relevant --  
2 anything else relevant about that report?

3 A So the first page, as I was saying before, says  
4 that the authors conducted a comprehensive systematic  
5 review of the medical literature regarding the triad.  
6 So the triad not only includes subdural hemorrhaging,  
7 which is an intracranial finding, also retinal  
8 hemorrhages and encephalopathy, which is the external  
9 presentation of intracranial trauma. But in their  
10 systematic review, comprehensive, they failed to  
11 include an ophthalmologist or a neuro-ophthalmologist  
12 in their team of evaluators for this evidence, even  
13 though retinal hemorrhages is one of the main findings  
14 that are disputed in this report.

15 This report also uses the criteria of only  
16 accepting medical literature that can be validated in  
17 terms of inflicted trauma in children. So the only  
18 things they would recognize is kids that have been  
19 inflicted by a video recording, videotape, or  
20 confessions. Of all the studies they reviewed, they  
21 only found two to be of moderate quality. Those two  
22 that were of moderate quality were confession studies  
23 by Vincent in 2010 and Adamsbaum in 2010.

24 And then, if you turn to their observations  
25 on page 27 again, it states on the second paragraph:

1 "The studies by Adamsbaum and Vincent were  
2 deemed to be of moderate quality. Although both  
3 studies have methodological limitations, they  
4 support the hypothesis that isolated traumatic  
5 shaking can give rise to the triad."

6 Q Okay. And now I'm approaching with S-9 and  
7 S-10. Here you go. Okay. Approaching with S-9 and  
8 S-10. Can you tell us what those are?

9 A Okay. So, S -- I'm sorry. S-9 is the study of  
10 Adamsbaum that was used in the SBU report. And S-10  
11 is the study by Vincent of confessed abuse versus  
12 witness accidents that was also used as the foundation  
13 for the SBU report.

14 Q And what are the importance of those two  
15 studies?

16 A So the importance of these two studies, as stated  
17 in the SBU report, is that they support that shaking  
18 an infant causes injuries, such as subdural bleeding,  
19 retinal hemorrhages, and neurologic signs or  
20 encephalopathy, that are most of the time associated  
21 and specific for inflicted head injury.

22 Q Okay.

23 A That's where they are most often seen. Not  
24 uniquely seen, but most often seen.

25 Q And again you were -- and now let's go to

1 the benign enlargement of the subarachnoid space, that  
2 term. What is that?  
3 A So, benign enlargement of the subarachnoid spaces  
4 is a medical diagnosis that has been known for many  
5 years as putting children at increased risk for --  
6 infants. Okay? Infants. This is in kids less than  
7 two years old. Putting kids at increased risk for  
8 subdural trauma, trauma to the bridging veins that  
9 come from the brain to the -- from the brain to the  
10 dura, or the sinus drainage. When the --  
11 I'm going to explain it. I don't know if  
12 you want me to draw it. I can just explain it.  
13 Q Okay. I have actually a drawing that's  
14 going to be S-11. And then I'm just going to use  
15 this.  
16 Would a picture of that area help you  
17 explain it?  
18 A It's easier to understand, yes.  
19 Q Okay. And S-11. Do you see that? Is that  
20 -- would that picture help you explain what you're  
21 discussing?  
22 A Yes.  
23 Q Okay.  
24 A So you can blow up this one.  
25 Q So I'm going to project it onto the screen.

1 Instead of using the share screen, I'm just going to  
2 use video camera here.  
3 MS. RUE: And, Judge, just for the record,  
4 S-11 is a copy of Dr. Mack's report?  
5 MS. CRAVEIRO: Yes. It's just a picture  
6 from -- let's see if I can get -- okay.  
7 (Extended pause)  
8 BY MS. CRAVEIRO:  
9 Q Okay. Now, if you could -- if you need to  
10 stand up to discuss it or just --  
11 A Yes, I'm just --  
12 THE WITNESS: Your Honor, I'm just going to  
13 put my mask on --  
14 THE COURT: Yeah.  
15 THE WITNESS: -- and go over there.  
16 THE COURT: Absolutely. Yeah.  
17 THE WITNESS: Okay.  
18 (Extended pause)  
19 THE WITNESS: So, essentially it's a diagram  
20 of the intracranial structures. So you have the brain  
21 and then the skull. And the brain sits in a space  
22 surrounded by membranes, three layers. The closest  
23 one is the dura. I mean the closest one is the pia.  
24 Right here. Tightly adhering to the brain.  
25 BY MS. CRAVEIRO:



1 Q Okay. And when you're saying pia, that  
2 would be the dark green color on this picture?

3 A Yes.

4 Q Okay.

5 A Okay? You have the brain, you have surface of  
6 the brain, and there's a membrane there.

7 Q Okay. And just for clarification, the brain  
8 is on the bottom, the light brown, and the surface of  
9 the brain is the darker brown above that; correct?

10 A And the surface of the brain is the darker brown.

11 Q Yes. Okay.

12 A Yes. Okay? After that membrane, you have the  
13 arachnoid membrane, which is actually a space. That's  
14 a space in between the pia and the arachnoid. That is  
15 full of cerebrospinal fluid. This space is usually  
16 about 4 millimeters in infants.

17 Now, on -- in the condition known as benign  
18 enlargement of the subarachnoid spaces, you have a few  
19 millimeters increase in the space diameter caused by  
20 the fluid that exists there. So we can go from 4  
21 millimeters to 7 millimeters, sometimes higher than  
22 that.

23 The bridging veins are veins that traverse  
24 the surface of the brain to the sinus. The dural  
25 sinus. Which goes sagittally in the head. So there

1 are about 50 or so bridging veins that are under  
2 tension when this space is increased by just a few  
3 millimeters.

4 So, when a child presents with this  
5 condition, we know through the medical literature that  
6 they can be -- it's not common, but it happens -- they  
7 can be predisposed to trauma, because those vessels  
8 are under tension or stress and movement of the brain  
9 within the intracranial cavity, because it is attached  
10 to the brain and the top layer, which is the dura, can  
11 actually tear with movement.

12 Benign external -- benign enlargement of the  
13 subarachnoid spaces is actually a well known medical  
14 diagnosis that is associated with trauma to bridging  
15 veins with minimal movement, and sometimes  
16 spontaneously they can break, which allows us to  
17 understand that infant brains can be injured easier by  
18 forces that cause movement of the brain within the  
19 intracranial cavity.

20 Q Okay.

21 A So that is where subdural bleeding would collect,  
22 because, as a point of attachment, you can have trauma  
23 and you can have blood surface there. That is the --  
24 one of the most common diagnoses we see, subdural  
25 hemorrhages, in association with this condition, about

1 two to five percent of the time. It is not often.  
2 And it's called benign for the same reason that it  
3 doesn't cause any outward signs in the child.

4 Q Okay. And now just for the record, the  
5 bridging veins are the ones in blue connecting that  
6 kind of blue triangle to the base of the brain;  
7 correct?

8 A Yes. The venous sinus.

9 Q And then --

10 A Right here.

11 Q Yes. And then the green area is the  
12 subdural space and then --

13 A The green area is the subdural space, yes.

14 Q And then the dura is above that, that small  
15 little thin line; correct?

16 A Yes.

17 Q Okay.

18 A Which is adhering to the skull. So there is no  
19 separation.

20 Q Okay. And when you're assessing a child for  
21 whether or not they have abusive head trauma, is this  
22 one of the I guess diagnoses that you're going to be  
23 looking at, as to determine whether or not it is BESS  
24 or abusive head trauma? Benign enlargement --

25 A So the -- when -- okay. Should I go back? Okay?

1 THE COURT: Yeah, if --

2 MS. CRAVEIRO: If you don't --

3 THE COURT: -- if you -- yeah.

4 MS. CRAVEIRO: If you no longer need the  
5 picture, then sure.

6 THE WITNESS: Yes.

7 MS. CRAVEIRO: Okay. If you need the  
8 picture again, just let me know. I'm going to just  
9 shut off the video for now.

10 (Extended pause)

11 THE WITNESS: Okay. So, when a child comes  
12 in, for example, for an evaluation of an enlarged head  
13 and they get a CT or MRI of the head and subdural  
14 collections are identified in the context of enlarged  
15 subarachnoid spaces, the child will still get a full  
16 evaluation, trauma evaluation. When no other findings  
17 are present in the child, subdural bleeding alone, it  
18 does not -- in that context does not make a diagnosis  
19 of abusive head trauma. That is actually  
20 predisposing. The underlying condition predispose --  
21 can predispose them to that finding. Again, it's not  
22 common, but does it occur? Yes, in about two to five  
23 percent of children.

24 BY MS. CRAVEIRO:

25 Q Okay. And in cases of abusive head trauma,

1 is -- do some of them have stretching and tension in  
2 the bridging veins causing them to rupture?

3 A So, the medical diagnosis of BESS validates that  
4 stretching of the bridging veins and tension can cause  
5 them to rupture. In any other context. So, with  
6 minor or spontaneous and with minor trauma and BESS,  
7 those injuries can break. In a shaking situation, the  
8 intracranial movement, by the same mechanism of  
9 stretching and tension, can also break.

10 Q Okay. So then the brain movement can cause  
11 them to rupture?

12 A Yes.

13 Q Okay. And is BESS also associated with an  
14 altered mental state?

15 A No.

16 Q Okay.

17 A Usually not.

18 Q Okay. So does that differentiate BESS -- and  
19 when I say BESS, I'm ob -- I mean the benign  
20 enlargement of the subarachnoid space; correct?  
21 That's the acronym for it?

22 A Yes.

23 Q Okay. Is -- does that differentiate BESS  
24 from abusive head trauma?

25 A It's -- it's the whole clinical picture.

1 Q Okay.

2 A You have to take the full -- and you -- you can  
3 never make a diagnosis of abusive head trauma based on  
4 a finding. So the child is healthy, has enlarged  
5 subarachnoid spaces, has a subdural [sic] of unknown  
6 etiology, we don't know how it happened, parents have  
7 no explanation, no history of trauma, that would not  
8 be considered an abusive head trauma situation.

9 Q Okay. And now you mentioned a triad of  
10 symptoms earlier. Can you just explain what that  
11 refers to?

12 A So the triad just means three. Three findings.  
13 In this case, the triad has been used by opponents of  
14 shaking as a mechanism of injury to say that the triad  
15 is what is used to make the diagnosis of abusive head  
16 trauma independently of anything else. Medical  
17 professionals, child abuse pediatricians, even before  
18 the case gets to us, the triad just flags a concern  
19 for abusive head trauma that further investigation,  
20 medical and social, has to occur to determine the  
21 nature of those findings.

22 Because the medical literature has evaluated  
23 findings in kids with inflicted injury and non-  
24 accidental trauma -- I mean and accidental trauma,  
25 meaning injury caused by car accidents, falls, et

1 cetera, and determined that those findings, when  
2 multiple exist in a single individual patient, are  
3 more specific -- again, the pattern, the type of  
4 findings -- are more specific for inflicted injury.  
5 And that is made after a thorough evaluation of the  
6 patient.

7 Q Okay. So what three symptoms is the triad  
8 referring to in abusive head trauma?

9 A Subdural hemorrhages, severe retinal hemorrhages  
10 and any neurological presentation, known as  
11 encephalopathy. Which can be unresponsiveness, apnea,  
12 seizures, altered mental status.

13 Q Okay. So what is a subdural hemorrhage?

14 A So, a subdural hemorrhage, again it's bleeding  
15 under the dural membrane. Blood collecting outside of  
16 the vasculature under the dural membrane.

17 Q In case you need the picture, it's back up.

18 A The dural membrane is tightly adhering to the  
19 skull. It's above the arachnoid layer. The bridging  
20 veins have to cross that space. When you have trauma  
21 in that area, you can have a collection. There is no  
22 subdural space, there is only a subarachnoid space.  
23 Subdural space does not exist. But it's a potential  
24 space that can be created when there's blood vessel  
25 damage, leakage of blood from blood vessels, and

1 collection of blood in that area. So that's what a  
2 subdural hematoma is -- or a subdural hemorrhage, most  
3 commonly the result of trauma, whether minor or  
4 significant.

5 Q Okay. And are there different types of  
6 subdural hemorrhages and hematomas?

7 A Different types, in terms of the cause, but not  
8 in terms of the location.

9 Q Okay.

10 A Subdural is unique to the subdural space.

11 Q Okay. And what can cause a subdural  
12 hemorrhage?

13 A Again, most of the time it is trauma. The most  
14 common kind of trauma is trauma from birth. About a  
15 third of the children -- about a quarter of the  
16 children who are born by vaginal delivery, Caesarean  
17 section, or assisted deliveries will have a subdural  
18 hemorrhage that usually resolves by a month after  
19 birth. A month -- four -- four to six weeks after  
20 birth. That is the most common subdurals.

21 Then we have subdurals associated with  
22 trauma, in terms of motor vehicle accidents, falls.  
23 Sometimes, again, like in BESS, we have subdurals that  
24 are incidentally found with no concerns for the well  
25 being of the child, in terms of physical presentation

1 of anything neurologically really wrong with the  
2 child, and those are in the context of benign  
3 enlargement of -- and -- and so those are the types  
4 that we see most commonly.

5 In terms of the how we see subdural  
6 hemorrhages in the different trauma presentations,  
7 most are associated with inflicted injury and less  
8 common with accidental injury.

9 Q Okay. And how are they associated with  
10 abusive head trauma, or are they?

11 A So, subdural hematomas on their own are not a  
12 diagnosis of abuse, but they can be found with other  
13 abnormalities, intracranially or in the body of the  
14 patient that is being evaluated. For example, they  
15 can be associated with retinal hemorrhages. And when  
16 we're talking about retinal hemorrhages, we have to  
17 describe them, because retinal hemorrhages can also be  
18 caused by disease, illness, accidental trauma, or  
19 inflicted injury. But the retinal hemorrhages that  
20 are observed in inflicted injury are very different  
21 with a very different pattern that only motor vehicle  
22 roll-overs or other certain medical conditions have  
23 been associated with it. Very few. But it can be  
24 caused by something else also.

25 Subdural -- when subdural hemorrhages are --

1 coexist or identified in a child that has retinal  
2 hemorrhages, severe, multi-layered, too numerous to  
3 count, that raises even more of a concern for abusive  
4 head trauma. And the medical literature has conducted  
5 probability studies where the specificity of findings  
6 have been given and established and consistently  
7 duplicated in several studies about the specificity of  
8 these findings with inflicted injury to an infant.  
9 Inflicted head injury to an infant.

10 Q Okay. And when you say inflicted head  
11 injury, what types of injuries are we speaking of?

12 A Again, direct impact to the head, shaking alone,  
13 or a combination of both. Crush injuries are more  
14 associated with severe retinal hemorrhages than other  
15 accidental injuries.

16 Q And when you say retinal hemorrhages, what is  
17 a retinal hemorrhage?

18 A So, retinal hemorrhage, blood vessels in the back  
19 of the eye. The back of the eyeball. Do you want me  
20 to continue? Yes?

21 MS. CRAVEIRO: Do you need a break, Judge?

22 THE COURT: No, I'm look -- I'm -- I'm in --  
23 no, I'm attentive.

24 MS. CRAVEIRO: Oh, okay.

25 THE COURT: That's -- that's my attentive

1 look.

2 MS. CRAVEIRO: Okay. Just wanted to make  
3 sure.

4 THE COURT: All right.

5 THE WITNESS: Retinal hemorrhages -- I mean  
6 retinal blood vessels occupy the back of the eye, the  
7 retina and they -- they extend to the front of the  
8 eye. So, for example, in this courtroom, if this was  
9 the globe, the eye, that would be -- those doors would  
10 be the color of the eye, and these three walls would  
11 be the retina. So retinal vessels traverse the entire  
12 walls. And that's the best way to describe it.

13 The center of the room would be the  
14 vitreous, which is a jelly-like substance within the  
15 eye. The eyeball. That vitreous is attached to the  
16 macula, which is the back of the eye, and to the blood  
17 vessels that traverse the periphery of the back of the  
18 eye all the way to the front.

19 When shaking forces or -- they have to be  
20 rotational forces. The medical literature associates  
21 retinal hemorrhages with acceleration/deceleration  
22 rotational forces. Those are created by shaking and  
23 some posterior impacts to the head. The vitreous can  
24 pull against the retina causing rupture of the retinal  
25 vessels. Now that is the most common form of retinal

1 hemorrhages when it comes to trauma.

2 Retinal hemorrhages can also be caused by  
3 disease. For example, severe bleeding disorders,  
4 leukemia. Okay? Those would not be vitreoretinal  
5 traction theory -- that is disease, meningitis --  
6 causing low platelets, bleeding, et cetera. You can  
7 see those in that context and they can be severe. But  
8 no other illness, no other disease condition,  
9 intracranial pressure, unless it's hyperacute  
10 intracranial pressure -- for example, from an aneurysm  
11 rupture -- will yield the pattern that we see with  
12 traumatic injury.

13 BY MS. CRAVEIRO:

14 Q Okay. And what pattern is that?

15 A Retinal hemorrhages in all three layers of the  
16 retina -- called preretinal, intraretinal and  
17 subretinal -- too numerous to count and extending --  
18 and confined not just to back of the eye where the  
19 optic nerve comes in, the eye nerve, but also to the  
20 periphery, all the way to the front of the eyeball.

21 Q Okay.

22 A Those are the type of hemorrhages that have been  
23 strongly associated with a specific -- specificity of  
24 96 percent in articles like Vincent, which I -- we  
25 already mentioned that study of 2010, and the medical

1 literature and the medical studies that have been  
2 conducted by pediatric ophthalmologists throughout the  
3 nation from 2000 to now. Specifically the Binenbaum  
4 studies and Alex Levin, Forbes study, Maguire studies.

5 Q Okay. And can intracranial pressure cause  
6 retinal hemorrhages?

7 A Yes, ma'am. However, the pattern is different.  
8 So, intracranial pressure usually leads to retinal  
9 bleeding that's intraretinal and confined around the  
10 optic nerve, what we call peripapillary, and they are  
11 not as numerous or they might be numerous, but they  
12 are confined to that location, which is known as the  
13 posterior pole.

14 And studies have been done, extensive  
15 studies have been done with intracranial pressure,  
16 looking at intracranial pressure in children not  
17 caused by trauma at all, just idiopathic hypertension  
18 of the eye intracranially, and then also Guillain-Barre  
19 in one case. None of those children had retinal  
20 hemorrhages of the type that has been described for  
21 abusive head trauma, vehicle rollovers, or aneurysm,  
22 hyperacute increases in intracranial pressure from a  
23 ruptured aneurysm.

24 Q Okay. So I'm approaching with what's been  
25 previously marked for identification as S-12 through

1 S-18. Oh, I'm sorry.

2 MS. RUE: Could we see those, Judge.

3 MS. CRAVEIRO: I know. I apologize.

4 (Discussion among counsel, off the record.)

5 BY MS. CRAVEIRO:

6 Q Okay. S-12 to S-18. Can you just take a  
7 look at those?

8 (Extended pause)

9 A Yes.

10 Q Okay. Do you recognize them?

11 A Yes.

12 Q Are those the studies you're referring to  
13 when we're talking about retinal hemorrhages and how  
14 they're associated with abusive head trauma?

15 A Yes.

16 Q Okay. Can we just I guess go through each  
17 one of them very briefly and tell us how they --  
18 what's significant about them? And just tell us the  
19 title.

20 A Okay. So, in this study by Binenbaum in:

21 "Patterns of Retinal Hemorrhage Associated  
22 with Increased Intracranial Pressure ... The  
23 retinal hemorrhages are superficial intraretinal  
24 and located adjacent to the swollen optic disc  
25 nerve and this pattern does not match the

1           widespread pattern seen in abusive head trauma."  
2           Q     And -- okay. So --  
3           A     This is a study that's conducted specifically in  
4           children with intracranial pressure not from traumatic  
5           causes.  
6           Q     Okay. Got you. And that's S-12?  
7           A     S-13.  
8           Q     Okay. S-13.  
9           MS. RUE: I'm sorry. What was the --  
10          MS. CRAVEIRO: Baum [sic].  
11          MS. RUE: -- I didn't get the exact title,  
12          because I think there's three studies by Binenbaum.  
13          MS. CRAVEIRO: Oh. What was the title of  
14          that one?  
15          THE WITNESS: This one? "Patterns of  
16          Retinal Hemorrhage Associated with Increased  
17          Intracranial Pressure in Children."  
18          THE COURT: Who was the author of that,  
19          doctor?  
20          THE WITNESS: Binenbaum.  
21          THE COURT: So, just because I know somebody  
22          at some point is going to ask in the future, can you  
23          spell that name?  
24          THE WITNESS: B-I-N-E-N-B-A-U-M.  
25          MS. CRAVEIRO: Judge, at the end of this I

1           am also going to be asking that these be admitted.  
2           THE COURT: No, that -- but at least --  
3           MS. CRAVEIRO: Yes.  
4           THE COURT: -- so if this ever has to be  
5           transcribed for an appeal, --  
6           MS. CRAVEIRO: Yes.  
7           THE COURT: -- at least the transcriber will  
8           know exactly how to spell these names.  
9           MS. CRAVEIRO: Oh, got you. That -- thank  
10          you, Judge.  
11          THE COURT: In case one of you decided to  
12          appeal this, whatever I come up with. All right.  
13          BY MS. CRAVEIRO:  
14          Q     Go ahead.  
15          A     Do you --  
16          Q     Mm-hmm.  
17          A     "The Eye in Child Abuse: Key Points on Retinal  
18          Hemorrhages and Abusive Head Trauma" by Binenbaum and  
19          Forbes. This is from 2014 and it's marked S-12.  
20          And this one goes through the overview of  
21          injuries that are most commonly seen in children in  
22          terms of eye ocular injuries. Emphasizes that  
23          external ocular findings as a presentation of a  
24          problem is rare, it's about five percent, but that  
25          retinal hemorrhages have been described in both



1 accidental and non-accidental trauma, as well as  
2 illness, disease. But the patterns are strictly  
3 different in each of these conditions.

4           There are the severity, it goes through the  
5 diagnostic value of the pattern when it comes to  
6 severe multilayer, too numerous to count, retinal  
7 hemorrhages and that that is most specific for  
8 inflicted trauma, taking everything into  
9 consideration, and less common in motor vehicle  
10 rollovers, although it is there, the same pattern, in  
11 intracranial pressure that's hyperacute, from a  
12 ruptured aneurysm, for example, and then crush injury  
13 to the head. That's in this article.

14           The prevalence of retinal hemorrhages in  
15 children -- critically ill children, by Agrawal in  
16 2012 has looked at just the fundus, the retina, on  
17 children that present to intensive care units from  
18 illness and determined that in children with -- that  
19 severe multi-layer retinal hemorrhages were rare and  
20 observed in children with accidental fatal head  
21 injury, severe coagulopathy, severe sepsis, or a  
22 combination of these factors. So these are children  
23 that are just ill and these type of hemorrhages that  
24 you see in them from these diseases, they're rare, but  
25 it can happen, and that that's the context for the

1 presentation.

2           MS. RUE: I'm sorry, but what --

3           THE COURT: That was --

4           MS. RUE: -- state's exhibit?

5           THE COURT: That exhibit was marked what?

6           THE WITNESS: Oh, S-14.

7           THE COURT: All right.

8           THE WITNESS: An important article is the  
9 Binenbaum article again in 2013 that delineates the  
10 natural history of retinal hemorrhages in the  
11 pediatric population of head trauma. This is marked  
12 S-15.

13           Now, this article is -- is important,  
14 because retinal hemorrhages cannot be dated to when  
15 the -- when did they happen. However, the resolution  
16 of the hemorrhages has been extensively studied and  
17 that will give you an estimation of the time frame  
18 when something may have occurred. The conclusions of  
19 this study is that intraretinal hemorrhages clear  
20 pretty rapidly, within days to two weeks. So when you  
21 see intraretinal hemorrhages in an eye, you are  
22 talking about an insult within two weeks. Subretinal  
23 hemorrhages or preretinal hemorrhages, and even  
24 vitreous hemorrhages take longer to resolve. So when  
25 you have a child that presents with a concern and the

1 eye is looked at and you see subretinal hemorrhages  
2 only with no intraretinal hemorrhages, that might  
3 suggest an insult that is fewer -- I mean longer than  
4 two weeks ago, because the intraretinal hemorrhages  
5 would have resolved.

6 So this study is just valuable for time  
7 frames, not for specific dating, which cannot be done.  
8 And that was that one.

9 Okay. "Retinal Findings in Young children  
10 with Increased Intracranial Pressure from Non-Traumatic  
11 Causes." We have S-16. This is by Shi. It again  
12 reinforces that increased intracranial pressure, just  
13 alone, can present in children with a pattern of  
14 peripapillary, so around the optic nerve, superficial  
15 retinal hemorrhages in the presence of a swollen nerve  
16 in the eye called papilledema.

17 Their study supports the conclusion that  
18 retinal hemorrhages rarely occur in the absence of  
19 papilledema or that's a sign, a true sign of increased  
20 intracranial pressure, and do not present beyond the  
21 peripapillary area. So there are several studies that  
22 confirm the same thing.

23 BY MS. CRAVEIRO:

24 Q And what does that mean for abusive head  
25 trauma, as far as it relates to retinal hemorrhages?

1 A That means that in children who are brought in  
2 because of a, say an aneurysm, and you have a finding  
3 of bleeding in the head and you have severe retinal  
4 hemorrhages, you have the brain shift in the head,  
5 swollen brain edema. From an aneurysm, the rapid  
6 increase in intracranial pressure accounts for the  
7 retinal hemorrhages seen.

8 When you have a kid that's brought in with  
9 subdural bleeding, no other observable injuries by MRI  
10 or CT, the brain looks good, there's no swelling,  
11 there's no shift, there's no infarctions, and you see  
12 the eye or evaluation and you see this pattern of  
13 retinal hemorrhages, we know that there is, one, no  
14 intracranial pressure, because no swollen optic disc,  
15 no signs of intracranial pressure which we can know by  
16 a swollen fontanelle, neurological decompensation, and  
17 there's no evidence of brain swelling, you know that  
18 something else occurred to cause that pattern of  
19 retinal hemorrhage.

20 Again, full evaluation with subspecialty  
21 coagulation studies, et cetera, has to be performed  
22 before any diagnosis can be given. But these studies  
23 help us understand the context of what can be seen  
24 with what pathology, in terms of medical conditions,  
25 that could account for the presence of that finding in

1 a child.

2 Q Okay. And do you have any -- I believe you  
3 might have two more in front of you?

4 A The Morad study.

5 Q Is that S-17?

6 A That's 18.

7 Q Oh.

8 A I don't have 17. Oh, maybe I do. Hang on.

9 Okay. Well, this one is -- oh, I'm sorry.  
10 S-17, yes. I'm wrong. I'm sorry. I had two in my  
11 hand.

12 "Retinal Haemorrhage in Abusive Head  
13 Trauma." Again, another study looking at children who  
14 have been reported to suffer trauma. What kind of  
15 hemorrhage do you see? These studies just tell us  
16 that the side of the hemorrhaging in the head has no  
17 association with where the retinal hemorrhages can be  
18 found in the eyes. They can be -- the bleeding can be  
19 on the right and the retinal hemorrhages on the left.  
20 There was no consistency in terms of laterality for  
21 the retinal hemorrhages.

22 S-18 is a statement by the American Academy  
23 of Ophthalmology where it discusses what we have been  
24 talking about with regards to causes for retinal  
25 hemorrhages, the type, the location, the extent, where

1 can they be seen in relation to accidental trauma  
2 versus illness, versus inflicted injury, and it goes  
3 into the mechanism of how the retinal hemorrhages have  
4 been felt to occur, which is vitreoretinal traction,  
5 and this is the official statement by the American  
6 Academy of Ophthalmology in 2015.

7 Q Okay. And the official statement or  
8 position of the American Academy of Ophthalmology is  
9 what in relation to the validity of abusive head  
10 trauma as a diagnosis?

11 A That the presence of severe retinal hemorrhages  
12 of the pattern that has been described by me is  
13 specific to inflicted head injury when all else has  
14 been taken into consideration and ruled out.

15 Q Okay. So, I believe there was one more  
16 symptom in the triad that you discussed all the  
17 reports? I'm sorry. Strike that.

18 Did you discuss all of the reports you had  
19 in front of you? There was one more?

20 A Two more.

21 Q Oh, two more? Okay. Go ahead. I  
22 apologize.

23 A Just the study that we already mentioned --

24 Q Okay.

25 A -- by Vincent in 2010. He conducted -- he

1 conducted a study of the findings that can be found in  
2 inflicted head injury and accidental head injury by  
3 using only corroborated evidence of inflicted head  
4 injury, those are confessions, and trauma --  
5 accidental injury had to be witnessed.

6 When he saw those kids, he identified 45  
7 cases where there were confessions, 30 of whom were  
8 shaken alone, 15 of whom were shaken with impact, and  
9 39 cases of accidental injuries of all sorts -- motor  
10 vehicle, in a car seat, falling from a high chair,  
11 falling out of the window, et cetera -- and they found  
12 that the prevalence of retinal hemorrhages in the  
13 inflicted group was over 85 percent and 17 percent  
14 where retinal hemorrhages were present in the  
15 accidental group. Again, the pattern different in  
16 both of these groups, being less numerous, confined to  
17 the posterior pole, and intraretinal in the accidental  
18 group and multi-layered, too numerous and confined --  
19 and extending to the entire periphery in the inflicted  
20 trauma group.

21 Vincent went as far as calculating  
22 specificity for these values. In terms of subdural  
23 hemorrhages, he found that the positive predictive  
24 value was 68 percent. For retinal hemorrhages, severe  
25 retinal hemorrhages, the positive predictive value was

1 96 percent. And for the absence of external signs of  
2 trauma to the head, was 83 percent. And that taking  
3 all three into consideration, the specificity was 100  
4 percent for inflicted head injury. Almost 100  
5 percent. He put it as 100 percent. This is the study  
6 by Vincent in 2010.

7 Q And what S number is that on the back of  
8 that?

9 A S-10.

10 Q Okay.

11 A I don't know. You have it crossed out.

12 Q Yes, S-10.

13 A Finally, the study of Adamsbaum. "Abusive Head  
14 Trauma: Judicial Admissions Highlight Violent and  
15 Repetitive Shaking." The same type of injuries and  
16 retinal hemorrhages -- do you want me to continue?

17 Q Yes, go ahead.

18 A -- were observed in the confessed versus non-  
19 confessed, but this study specifically highlighted  
20 that in the confessed group shaking was described as  
21 violent in many case -- in -- in actually 100 percent  
22 of the cases -- they actually describe the confessions  
23 -- and also was repetitive in 55 percent of them. The  
24 reason for the shaking was because of it quiet down  
25 infant crying, and that was 62 percent of the cases.

1 Q Okay. And you mean -- when you say  
2 confessions, what do you mean?

3 A Perpetrator confessions. Of the cases that were  
4 studied, 45 had confessed to mishandling the infant,  
5 30 shaken alone, and 15 shaken with an impact.

6 Q And what injuries were found in those  
7 children where there was confession to shaking?

8 A Again subdural hemorrhages, severe retinal  
9 hemorrhages, and absent signs of trauma to the head.

10 Q Okay. And I'm going to show you S-19. I  
11 believe this is the one I was about to show you. Can  
12 you just tell us what article -- what article that is  
13 and how it's relevant?

14 A This is another study, the third study for  
15 perpetrator confessions in 2004 conducted by Suzanne  
16 Starling. And this just again goes into the -- it  
17 shows that neurological decompensation or symptoms  
18 appeared immediately following an insult by the care  
19 givers when they shook -- shaking or impact of the  
20 child, they became symptomatic immediately 91 percent  
21 of the time. And in 9 percent of the cases, the  
22 symptoms surfaced about within 24 hours.

23 Q Okay. And when you say became symptomatic,  
24 symptomatic with what?

25 A Neurologically symptomatic. So that could be

1 irritability, lethargy, altered mental status,  
2 unresponsive, seizures, apnea -- which you stop  
3 breathing -- et cetera.

4 Q Okay. And is that -- are those a part of  
5 the third symptom of the triad that is --

6 A That is what the SBU calls the encephalopathy  
7 part where the encephalopathy just means the outward  
8 presentation or demonstration of something that has  
9 gone wrong intracranially.

10 Q Okay. And so you've mentioned a lot of  
11 different symptoms that -- or and that can occur with  
12 abusive head trauma. Does the presence of any one of  
13 those alone or in combination with others lead to the  
14 diagnosis of abusive head trauma on its own?

15 A No.

16 Q Why not?

17 A Because you cannot diagnose abusive head trauma  
18 based on abnorm -- physical abnormalities. You have  
19 to take into account the medical history of the child,  
20 the history provided by the care givers of what has  
21 been going on prior to presentation behaviorally and  
22 health wise with the child. You have to take into  
23 account the actual abnormalities that you see and then  
24 the physical -- the medical evaluation, which includes  
25 a review of whatever is going on and the

1 subspecialties evaluation to ensure that there is no --  
2 an organic pathology that can account for the findings  
3 observed. So, only after a thorough review of all of  
4 those areas are those three abnormalities, which still  
5 remain unexplained, proven by the medical literature  
6 to be more specific for inflicted head injury.

7 Q Okay. So does the diagnosis of abusive head  
8 trauma require an elimination of other possible causes  
9 of the infant's symptoms?

10 A That's part of the comprehensive evaluation, yes.

11 Q Okay. And is the -- is this  
12 multidisciplinary process that you just described, is  
13 that consistent in the medical field of how abusive  
14 head trauma is diagnosed?

15 A Absolutely. And neurologists alone would not  
16 diagnose abusive head trauma, an ophthalmologist alone  
17 will not diagnose abusive head trauma. They can only  
18 say that it's very consistent with abusive head  
19 trauma, but only after a thorough evaluation of the  
20 medical history and everything else can you determine  
21 what -- what the nature is. And if it's abusive head  
22 trauma, that determination is usually performed by a  
23 child abuse pediatrician.

24 Q Okay. And is this process widely accepted  
25 within the medical community?

1 A Yes.

2 Q Okay. So, has abusive head trauma, as a  
3 diagnosis, been well-established in the medical  
4 community?

5 A Yes.

6 Q And is it reliable -- is such a diagnosis  
7 reliable if the practitioner follows this widely-  
8 accepted process of diagnosis?

9 A Yes.

10 Q Okay. All right. So, speaking particularly  
11 about this case and why you're here today, were you  
12 asked -- were you involved in a diagnosis of a -- of  
13 an infant named Darryl Nieves?

14 A Yes, but can we take, like, a second?

15 THE COURT: Who needs a break?

16 MS. CRAVEIRO: A break?

17 THE WITNESS: I need a break.

18 THE COURT: Anybody?

19 MS. CRAVEIRO: I'm -- I -- I -- yes.

20 THE COURT: Okay. Listen, folks. We'll --  
21 we'll --

22 MS. CRAVEIRO: Lunchtime?

23 THE COURT: Let's take a -- let's take a --  
24 no.

25 MS. CRAVEIRO: Oh, no? Okay.

1 THE COURT: Let's take a ten-minute break.  
2 Okay?  
3 THE WITNESS: Thank you.  
4 THE COURT: And then we'll come back -- or  
5 15. Let's take a 15-minute break and then come back  
6 and continue, because we are going to break for the  
7 day at two.  
8 MS. CRAVEIRO: Oh.  
9 THE WITNESS: Oh.  
10 MS. RUE: Oh.  
11 THE COURT: Because I have somewhere to be  
12 of significance at two. Okay? Maybe 2:15.  
13 So, nobody expected to get this done today;  
14 right? At least not Dr. Medina's testimony.  
15 MS. RUE: We did, Judge. We -- that's why  
16 the day was originally --  
17 MS. CRAVEIRO: Yes.  
18 MS. RUE: -- blocked for it.  
19 MS. CRAVEIRO: I thought we had the whole  
20 day.  
21 MS. RUE: Yeah.  
22 THE COURT: Well, I'll go to 2:15. So, ask  
23 your questions and let's see where we wind up.  
24 MS. CRAVEIRO: Okay.  
25 THE COURT: Okay?

1 MS. CRAVEIRO: And, Judge, I guess then  
2 before the break --  
3 THE COURT: Because we are coming back on  
4 Tuesday.  
5 MS. CRAVEIRO: It'll be Tuesday for her.  
6 Okay.  
7 MS. RUE: Well, no. Tuesday --  
8 THE COURT: No, no. It's going to --  
9 MS. RUE: -- is Dr. Scheller.  
10 THE COURT: It's Tuesday for the continue of  
11 this case.  
12 MS. CRAVEIRO: Yes.  
13 THE COURT: If --  
14 MS. CRAVEIRO: Okay.  
15 THE COURT: -- you don't finish with Dr.  
16 Medina, --  
17 MS. CRAVEIRO: She's coming back on Tuesday.  
18 THE COURT: -- she finishes Tuesday and then  
19 we jump to the next doctor.  
20 MS. CRAVEIRO: Okay.  
21 MS. RUE: Okay.  
22 THE COURT: And then we jump --  
23 MS. BIELAK: That might work.  
24 THE COURT: -- to the next doctor after that.  
25 MS. CRAVEIRO: Okay.

1 THE COURT: We're lining them up.  
2 MS. CRAVEIRO: Just wanted to make sure --  
3 MS. RUE: Right.  
4 MS. BIELAK: That might work.  
5 THE COURT: Like a firing squad, one after  
6 the other, bang, bang, --  
7 MS. BIELAK: Do we --  
8 THE COURT: -- get them done, --  
9 MS. BIELAK: Will we --  
10 THE COURT: -- in --  
11 MS. BIELAK: Will we have the whole day on  
12 Tuesday? Because then that could work.  
13 THE COURT: Well, to the extent that I have  
14 conferences in the morning, I guess I'm going to have  
15 to be here. I'm going to be here on Tuesday.  
16 MS. BIELAK: Because we have a doctor  
17 traveling in on Tuesday. That's why.  
18 THE COURT: Coming on Tuesday. All right.  
19 We're going to do it. Don't worry about it.  
20 MS. BIELAK: All right.  
21 THE COURT: I'm not going to -- I've got a  
22 doctor, I'm not letting him go.  
23 MS. RUE: Okay.  
24 THE COURT: Okay?  
25 MS. RUE: I thought -- yeah.

1 THE COURT: So, I'll be here in the morning,  
2 and I'll be running through my conferences, and if  
3 nobody is ready, I am into this case.  
4 MS. CRAVEIRO: Okay.  
5 THE COURT: Or maybe I'll just do -- or  
6 maybe I'll just adjourn the conferences. I don't  
7 know.  
8 MS. RUE: I -- I -- if we could do that,  
9 Judge, just because we have Dr. Scheller coming in on  
10 Tuesday and leaving, --  
11 THE COURT: We are going to get Dr. Scheller  
12 in --  
13 MS. RUE: Appreciate that.  
14 THE COURT: -- and, listen. It depends on  
15 how well you organize your questions, how -- and how  
16 fast you ask them.  
17 Now, I've just taken 15 minutes of our lives  
18 that we're never going to get -- five minutes of our  
19 lives we're never going to get back. So let's go take  
20 this break and come back. It's 12:26. Let's come  
21 back at 12:36 or earlier and we'll go --  
22 MS. CRAVEIRO: That's fine.  
23 THE COURT: -- jump right back into this.  
24 MS. RUE: Thank you.  
25 MS. BIELAK: Judge, also, I'm sorry. I have



1 Larnette Lockette here in the hall -- I think she's in  
2 the hallway. Did you want to do that or did you want  
3 me to adjourn it? Because she's going to plea. We  
4 could always do it virtually.

5 THE COURT: Oh, no. Emily?

6 MS. BIELAK: Or she was texting me --

7 THE COURT: No, no. You stay. Yeah, we're  
8 going to get that done.

9 Emily, I need you to call Vince, --

10 THE LAW CLERK: Yes.

11 THE COURT: -- and get Vince on his video so  
12 that we can do this.

13 Everybody else -- doctor, you're still under  
14 oath. Don't discuss your testimony with anyone as you  
15 sit outside. Just wait to come back in and we'll  
16 continue.

17 (Recess from 12:26 p.m. to 12:46 p.m.)

18 THE COURT: Emily, we're back. Right?

19 THE LAW CLERK: Yes, we're live. We're good.

20 MS. CRAVEIRO: Okay.

21 THE COURT: It's like big brother talking to  
22 you. All right. We'll be back on the record to -- in  
23 the matter of the Darryl Nieves case. I've got to  
24 introduce it.

25 MS. CRAVEIRO: Mm-hmm.

1 THE COURT: And continuing with the  
2 testimony of Dr. Medina being still on direct  
3 examination with the state.

4 MS. CRAVEIRO: Yes.

5 THE COURT: All right, state?

6 MS. CRAVEIRO: Yes.

7 CONTINUED DIRECT EXAMINATION BY MS. CRAVEIRO:

8 Q And, Dr. Medina, all of those documents and  
9 reports that you -- and studies that you discussed and  
10 that are exhibits in this case, how did you come into  
11 con -- how did you get those? How did you learn about  
12 them, I should say?

13 A Oh, they are part of our medical literature that  
14 we review --

15 Q Okay.

16 A -- on a yearly basis.

17 Q And you said in this case --

18 MS. CRAVEIRO: And this is going to be S-1.

19 MS. RUE: S-1.

20 MS. CRAVEIRO: The Judge has a copy. That's  
21 actually for --

22 MS. RUE: Got you.

23 BY MS. CRAVEIRO:

24 Q And you said in this case you were asked to  
25 conduct an evaluation of a patient by the name of

1 Darryl Nieves; correct?

2 A Yes.

3 Q And pursuant to that evaluation, you wrote a  
4 report; correct?

5 A Yes.

6 Q Okay. And I'm approaching with what's been  
7 previously marked for identification as S-1. Can you  
8 tell us what that is?

9 A It's my medical consultation on patient Darryl  
10 Nieves.

11 Q Okay. And is that a true and accurate copy  
12 of your consultation on Ms. -- on Mr. Nieves?

13 A Yes.

14 THE COURT: I'm sorry, what was that? S  
15 what?

16 MS. CRAVEIRO: S-1, Judge.

17 BY MS. CRAVEIRO:

18 Q Okay. And let's start at the beginning.  
19 What brought Darryl to the hospital?

20 A Darryl was brought to the hospital on the 10th of  
21 February 2017 because of an episode of  
22 unresponsiveness at home while under the care of his  
23 parents.

24 Q Okay. And how old was Darryl at the time?

25 A Eleven months.

1 Q And did the care givers have any explanation  
2 as to how his unresponsiveness occurred?

3 A The parents reported to medical staff that the  
4 patient was being changed, a diaper, and all of a  
5 sudden went limp. Dad brought him immediately up to  
6 mom and mom and dad contacted 9-1-1. He was having  
7 seizure -- what appeared to be a seizure-like episode  
8 and he was brought to the hospital.

9 Q Okay. And how did you become involved in  
10 the case?

11 A I became involved in 2000 -- in -- on February  
12 15th, five days after Darryl was admitted to the  
13 hospital. During the evaluation of what was felt to  
14 be seizures, both mom and dad reported that he had had  
15 three similar episodes for the two weeks prior to  
16 coming to the hospital where he had some limpness and  
17 he was being evaluated by neurology at the hospital.

18 When a CT scan was performed, they found the  
19 subdural hemorrhages, subacute and chronic subdural  
20 hemorrhages. Of course that raised concern, because  
21 seizures are usually not associated with or not a  
22 cause for subdural hemorrhages, even though subdural  
23 hemorrhages can give you seizures.

24 The patient had an evaluation started  
25 looking for any other potential abnormalities. He had

1 an ophthalmological exam that revealed severe multi-  
2 layered retinal hemorrhages on both eyes, and that is  
3 where DCP&P was contacted, and that is where medical  
4 staff and DCP&P contacted our center to -- in order to  
5 assist in the evaluation of this case.

6 Q Okay. So DCP&P was contacted by who?

7 A The hospital.

8 Q Okay. And then you were contacted by who?

9 A By the hospital and DCP&P.

10 Q Okay. And what recommendations -- what were  
11 your recommendations regarding the evaluations of  
12 these concerns?

13 A So, once the subdural and the retinal bleeding  
14 was identified, I reported to the treating medical  
15 team, once I met with the parents and evaluated the  
16 patient, that the child needed to have a comprehensive  
17 metabolic evaluation looking for a metabolic condition  
18 that potentially could be associated with subdural  
19 bleeding and retinal hemorrhages. That would be  
20 conducted by a geneticist. In addition, because of  
21 the bleeding abnormalities, the child required a full  
22 hematological consultation to ensure that he didn't  
23 have any underlying coagulation issues that could  
24 facilitate or account for the findings of retinal  
25 bleeding and subdural blood in this case.

1 So that is where the initial evaluation  
2 began. He did have some subsequent studies, blood  
3 work, over the ensuing couple of months and followups  
4 that also helped reinforce the nature of his finding.

5 Q Okay. And what were the findings of the  
6 subspecialties that were involved?

7 A So, he was diagnosed by neuroradiology with  
8 subdural bleeds. He also had some areas of atrophy on  
9 his brain. He was diagnosed by a pediatric  
10 ophthalmology -- ophthalmologist with severe retinal  
11 hemorrhages they documented, consistent with inflicted  
12 head injury.

13 He was evaluated by neurosurgery and  
14 neurology in terms of video EEG, because the concern  
15 was seizure-like activity coming into the hospital.  
16 No seizure was recorded on video EEG. That's a video  
17 encephalogram which records electrical activity of the  
18 brain. There was some slowing, but no clinical  
19 indications of seizures. During the three weeks that  
20 he was hospitalized, the neuroradiology, the treating  
21 staff, the floor staff did not observe any seizures  
22 during diaper changes or during the day at all for  
23 Darryl.

24 Q Okay. And when you say atrophy, what do you  
25 mean by atrophy?

1 A Some volume loss of the brain.

2 Q I'm sorry, I --

3 A Some volume --

4 Q Volume loss. Okay. And what did you do  
5 after obtaining these findings from the  
6 subspecialists?

7 A The child again was being worked up for trauma  
8 because of the findings of the retinal hemorrhages and  
9 the context of the altered mental status, which the  
10 parents have described occurred over a period from  
11 February 3rd through February 10th. Parents had  
12 described, when I met with them, three episodes where  
13 dad was the primary care giver for the child.

14 The first episode on February 10th [sic],  
15 again during a diaper change the child became  
16 unresponsive. Dad blew in his mouth, contacted mom,  
17 because that he was not himself. By the time EMS got  
18 there, the child was better. Improved. The child was  
19 immediately taken to the pediatrician because of that  
20 incident. The pediatrician felt maybe it was because  
21 of reflux. The child could have had that episode  
22 maybe because acid came up or choked.

23 He was on reflux precautions for the rest of  
24 those two weeks, meaning elevation of the head to keep  
25 him straight up, to avoid vomiting. The parents

1 reported that he was a little bit more irritable and  
2 cranky during those days and vomiting maybe more than  
3 reflux, just like twice a day. It was being monitored  
4 by the pediatrician.

5 On February 8th, I believe Darryl was also  
6 having a diaper change and went limp. This time the  
7 episodes resolved after a blow-by, which is oxygen,  
8 was administered to his face, nose.

9 And finally, on February 10th, that's when  
10 the third episode happened. Really suggestive of  
11 seizure-like activity. He had stiffening that mom saw  
12 with limpness. And she described that to the medical  
13 team treating him. He was admitted under -- to rule  
14 out a seizure event, and that is why five days later  
15 we were contacted.

16 So it's an acute episode over two weeks with  
17 the findings that we encounter. Again, the retinal  
18 hemorrhages being intraretinal, subretinal and  
19 preretinal. And as I stated before, the intraretinal  
20 hemorrhages account for that two-week period of time,  
21 because after that they usually resolved.

22 He did have followup ophthalmological  
23 studies with a retinal specialist at the Will Center  
24 [sic], which is one of the best centers. No new  
25 retinal hemorrhages were seen, just residual retinal

1 hemorrhages from his previous finding, and also there  
2 was a concern for a possible mass in the eye, but that  
3 was ruled out, and the pediatrician -- the retinal  
4 specialist wrote in the documentation, you know, rule  
5 out shaken baby, but that is why this child went  
6 there, too, has been part of the work-up for abusive  
7 head trauma.

8 Q Okay. And what else did you do in order --  
9 did you reach a diagnosis in this case of --

10 A So, prior --

11 Q -- Darryl Nieves?

12 A -- to reaching the diagnosis, I had to review his  
13 medical records. Darryl has a very complicated birth  
14 history. He was born extreme premature. And when  
15 you're born extreme premature, many things can happen.  
16 Right? His birth records at -- he was hospitalized  
17 for the first six to seven months of his life. He --  
18 I reviewed the -- not only the birth records, but the  
19 records from Saint Peter's and the records from the  
20 admission to CHOP.

21 He had some cardiac issues. Specifically,  
22 some openings in his heart, a patent ductus  
23 arteriosus, a VSD. That was surgically repaired, both  
24 of them. One in May, one in July. And after having  
25 those procedures, at the -- in Pennsylvania,

1 Children's Hospital of Philadelphia, he was returned  
2 to the -- to Saint Peter's.

3 Reviewing those records is very important,  
4 because mom had very good concerns about Darryl being  
5 administered some anticoagulant medication during his  
6 stay at CHOP that could have contributed to the  
7 bleeding observed. The records were reviewed and his  
8 -- I didn't find any of the medications listed in the  
9 medical record. None of them contained  
10 anticoagulants, but he was discharged in October on  
11 some heart medicines, mostly diuretics and no  
12 anticoagulant medication.

13 So, if Darryl was on anticoagulant  
14 medication at the time of his presentation, that is  
15 definitely a contributory factor for bleeding of the  
16 brain, so that's very important. But he wasn't on any  
17 of those type of medications and the records did not  
18 show that he had had those when he was there, at least  
19 not the records that I reviewed.

20 Q Okay.

21 A After that, I also reviewed or attempted to  
22 review his pediatric records, but I wasn't able to get  
23 those. That was important, because of his head  
24 circumference. Darryl had two very important areas  
25 that were examined throughout his neonatal course.

1 That's his birth. From birth through seven months.  
2 One is his head. He had three neurosonograms.

3 Now, neurosonograms are not as sensitive as  
4 an MRI, but neurosonograms is what is used in infants  
5 with an open fontanelle to look at the intracranial  
6 cavity. At one week, at two months, at -- at two  
7 weeks, at one month, and at three months of age at  
8 Saint Peter's he had neurosonograms that did not  
9 reveal any subdural hemorrhage. As I mentioned  
10 before, kids can be born with that. He didn't have  
11 any subdural hemorrhage identified. Subarachnoid  
12 spaces looked normal. The brain structure looked  
13 normal.

14 That's really important, because now he's  
15 presenting with subdural blood. However, in Darryl's  
16 case, there's other factors that you have to be taking  
17 into consideration with the head, specifically that  
18 volume loss which can also predispose him to having  
19 subdural collections. That is important and that is  
20 why head circumference tracking is important.

21 His head was growing pretty well up to the  
22 CHOP admission. He had been tracking along 25th  
23 percentile, but really 10 to 50 percentile on  
24 corrective age. For premature babies, those curves  
25 are more accurate. When he was --

1 Q I have another bottle of water if you need  
2 that.

3 A I have a little bit.

4 Q No?

5 A I'm good. I'm good. When he was at CHOP his  
6 head circumference measured between the 25 and 50,  
7 nearly 50 percent. And having head circumferences  
8 from the pediatrician would have helped to confirm  
9 whether that was an accurate reading or whether -- or  
10 how his -- his head circumference was tracking. If  
11 you have blood in your head, that it's been there, the  
12 head can tend to enlarge. If you have other  
13 abnormalities in your brain, like a mass, the head can  
14 enlarge. So tracking the head circumference from the  
15 pediatrician -- because the parents had taken him for  
16 routine pediatric care multiple times. He was seen by  
17 the doctors for his conditions. That would have been  
18 helpful to determine anything that could have been  
19 going on with his head.

20 But the ultrasound that was conducted at  
21 CHOP was also reported as normal. However, we can't  
22 really say much about the head circumference, because  
23 we didn't have the data from the pediatrician, only to  
24 say that when he presented to Saint Peter's his head  
25 circumference corrected was between the 50 and 75th

1 percent, which is a change --  
2 Q Okay.  
3 A -- for this child that could be explained by the  
4 subdural blood.  
5 Q Okay. Just to get the time line down. When  
6 was Darryl born?  
7 A March 2007 -- wait. '16.  
8 Q If you need to refer to your report --  
9 A March 2016.  
10 Q -- feel free to. Okay.  
11 A March 2016.  
12 Q And you said -- you mentioned that he was  
13 born premature?  
14 A Yes.  
15 Q Okay. How many week --  
16 A Extreme premature.  
17 Q Okay.  
18 A Twenty-five weeks.  
19 Q Okay. And where was he born?  
20 A At Saint Peter's.  
21 Q And he -- how long did he stay in Saint  
22 Peter's?  
23 A Until May. And then he was transferred to CHOP  
24 for the first cardiac surgery.  
25 Q Okay. And where did he go after the

1 surgery?  
2 A Back to Saint Peter's.  
3 Q Okay. And then when was his next cardiac  
4 surgery?  
5 A In July.  
6 Q Okay. And did he stay in Saint Peter's  
7 until that time?  
8 A No, he stayed at CHOP until October and then came  
9 back from [sic] Saint Peter's for about a week and  
10 then was discharged home.  
11 Q Okay. And so the records that you reviewed  
12 from Saint Peter's and CHOP, they're from March 2016  
13 until October 2016?  
14 A Also the birth records. Yeah. I mean yes. The  
15 answer is yes.  
16 Q Okay. And when you said you were looking  
17 for anticoagulants, that was in those -- the records  
18 from that time frame?  
19 A Records from CHOP. Because mom stated that he  
20 may have received anticoagulant medication at CHOP.  
21 Q Okay. And so that would have been for the  
22 May and July visits there?  
23 A Correct.  
24 Q Okay. And you mentioned that children  
25 normally get their head circumference mention --

1 measured at two weeks -- or, I'm sorry. Darryl Nieves  
2 got his head circumference measured at two weeks, one  
3 month and three months?

4 A He had several head circumference measurements  
5 throughout this stay --

6 Q Mm-hmm.

7 A -- at Saint Peter's, as routine, but had  
8 neurosonograms done at two weeks, -- that's an imaging  
9 study of the head -- at --

10 Q Got it.

11 A -- one month and at three months.

12 Q Okay.

13 A Also at CHOP at six months.

14 Q Okay. And you mentioned that Darryl had an  
15 open fontanelle. Can you just explain what that  
16 means?

17 A The open fontanelle is the soft spot on the  
18 babies. That's how they do the neurosonograms. They  
19 put the machine, the probe, and then they can see the  
20 brain structures.

21 Q Okay. And what did you find regarding  
22 Darryl's head circumference?

23 A So the head circumference, again, was steady. It  
24 jumped at CHOP and it jumped when he came, but we -- I  
25 can't determine any conclusive evidence for that,

1 because I don't have pediatric records. Just to say  
2 that from when he was at the hospital to his time of  
3 presentation, it was larger, but I don't know if it  
4 was larger when he left the hospital and saw the  
5 pediatrician.

6 Q Okay. And when you say left the hospital,  
7 you mean in October?

8 A Yes.

9 Q Okay. So, what dates do you have his head  
10 circumference for?

11 A (Indiscernible)

12 Q Months.

13 A That's it.

14 Q What months? Just so that we're all clear.

15 A So, I'm looking in my report to --

16 (Extended pause)

17 A October 2, 2016 was the last one that we have  
18 before presentation to the hospital.

19 Q Okay. So before February?

20 A Yes.

21 Q Okay. And what page is that, just so that  
22 we're clear for the record?

23 A (Indiscernible)

24 Q Page 5. Okay. And what, if anything, did  
25 you learn about Darryl's eyes from his birth records



1 and the CHOP records, as well?

2 A So, Darryl had retinopathy at prematurity.  
3 That's abnormally growing blood vessels in the back of  
4 his eye. That is a normal routine screening that is  
5 done on premature babies. And his -- he had mild  
6 retinopathy. He was again reevaluated at six months  
7 of age at CHOP and he was found to have healthy mature  
8 -- mature retinas without any abnormalities. So no  
9 abnormal retinal findings, no hemorrhages, the back of  
10 his retina was healthy, as expected.

11 Q And what, if anything, did you find about  
12 any altered mental states from birth to October in  
13 those medical records?

14 A So, he was a pretty healthy baby. There were  
15 usually no concerns, in terms of seizure-like activity  
16 until these events in February. He did have a  
17 screening at -- at the -- while in the hospital  
18 looking for abnormalities on EEG, did not reveal any  
19 clinical evidence of his seizure disorder, he was  
20 never on any seizure medicine until he came with these  
21 three days of seizures, different dates, and -- and  
22 that was the only relevant finding, in term of his  
23 altered mental status. His irritability, vomiting,  
24 not himself, was over that two-week period. He did  
25 not have that the three weeks that he was at the

1 hospital.

2 Q Okay. And when you say two-week period,  
3 what two-week period are you referencing?

4 A February 3rd through February 10th.

5 Q Okay. And the three-week period would be  
6 thereafter?

7 A The three-week period was in the hospital.

8 Q Okay.

9 A From February 10th through March when he was  
10 discharged.

11 Q Okay. And did you learn anything else of  
12 relevance from his birth records?

13 A No. Just, like I said, his brain was healthy and  
14 his eyes were healthy. So he did not have any retinal  
15 hemorrhages before presentation or subdural  
16 collections that were identified before coming.

17 Q Okay. And during those three weeks that he  
18 stayed at the hospital after February 10th, did you  
19 have a chance to examine Darryl Nieves yourself?

20 A Yes, on February 17th.

21 Q Okay. And what, if anything, did you find  
22 of value?

23 A He was developmentally delayed, as expected for a  
24 premie. It's just really a measure of how he was 15  
25 weeks premature. So, even though he was 11 months

1 old, he was at the developmental stage of a three- to  
2 four-month old. Where they are starting to roll over,  
3 but they can't really do much else. He was babbling,  
4 smiling, but had really not good head control, a  
5 decreased tone for the -- for the expected age, but  
6 that is not unusual when it comes to extreme  
7 prematurity.

8 Q Okay. And when did you speak to the  
9 parents?

10 A On February 17th.

11 Q Okay. And what, if anything, did you learn  
12 about any accidents that may have happened?

13 A The parents denied any history of accidental  
14 trauma.

15 Q Okay. And during that three-week period,  
16 what, if any, other further studies did Darryl Nieves  
17 have?

18 A After he was discharged from the hospital, he did  
19 have follow up with hematology, he had follow up with  
20 genetics, he had follow up with the retinal  
21 specialist. There was no metabolic disorder that was  
22 identified impacting Darryl physical findings.  
23 Hematology denied that he had any evidence on  
24 laboratory examination of a bleeding disorder  
25 contributing to his bleeds, and the retinal specialist

1 again saw residual retinal hemorrhages and was  
2 concerned for shaken baby syndrome. No abnormalities  
3 of the retina were identified.

4 Q Okay. And who recommended those follow ups?

5 A The treating -- well, so the initial introduction  
6 of those specialties was myself. However, the  
7 specialists determined the timing of follow up and so  
8 those appointments were given by each individual  
9 subspecialty.

10 Q Okay. And what was your ultimate diagnosis  
11 of Darryl Nieves?

12 A So my diagnosis, again, was made in April, at the  
13 end of April 2016 [sic], after review of all of this  
14 follow up. Given that Darryl presented to the  
15 hospital with altered mental status, subdural  
16 hemorrhages, and retinal hemorrhages in the pattern  
17 that is severe and usually associated with very  
18 specific circumstances -- in this case, none of those  
19 other circumstances were present. He did not have any  
20 evidence of hyperacute increase in intracranial  
21 pressure or an aneurysm that could result in that.

22 Again, subdural bleeding he could have  
23 because of other underlying conditions, but that along  
24 with retinal hemorrhages and his sudden altered mental  
25 status during diaper changes only and irritability

1 over that two-week period, more specific for an  
2 inflicted injury, such as abusive head trauma through  
3 shaking.

4 Q Okay. So what you just discussed, is that  
5 what led you to your diagnosis?

6 A Diagnosis was made after all of those reviews of  
7 subspecialty diagnosis. The fact that there was no  
8 explanation for his presentation in terms of other  
9 potential accidental trauma, and the presence of these  
10 specific findings that were not accounted for by a  
11 metabolic disorder or an accident.

12 Q Okay. So did you rule out any -- every  
13 other possible explanation?

14 A Everything else was ruled out by the treating  
15 providers.

16 Q Okay. And the fact that you didn't have his  
17 head circumference measurements between October and  
18 February, does that change your diagnosis in any way?

19 A So, head circumference can enlarge with subdural  
20 collections. Not all the time. It would have helped  
21 to see if the measure in -- at Pennsylvania was  
22 accurate. But in terms of the findings, no, --

23 Q Okay.

24 A -- it wouldn't have changed the findings.

25 Q And is your diagnosis within a reasonable

1 degree of medical certainty?

2 A Yes, ma'am.

3 MS. CRAVEIRO: Okay. I have no further  
4 questions.

5 MS. RUE: Thank you, Your Honor. Pardon me.  
6 CROSS-EXAMINATION BY MS. RUE:

7 Q Good afternoon, Dr. Medina.

8 A Good morning.

9 Q Now, you just testified -- you described --  
10 I'm going to call him DJ, because, as you know, Darryl  
11 Nieves is also the father. Correct?

12 A Oh, yes.

13 Q So just for clarity sake, DJ, if that's okay  
14 with you, since they're both Darryl Nieves.

15 A Yes, ma'am.

16 Q Okay. So you just described DJ as a pretty  
17 healthy baby; correct? You just testified to that.

18 A Yes.

19 Q But you also testified he was born at 25  
20 weeks.

21 A Yes.

22 Q And obviously normal full-term birth is at  
23 40 weeks.

24 A Yes.

25 Q And in your report you note that DJ was

1 born, he was .6 kilograms.  
2 A Yes.  
3 Q That's a little over one pound.  
4 A Yes.  
5 Q And as you already testified, that's  
6 considered extreme prematurity.  
7 A Absolutely.  
8 Q Now, on the night of February 10, 2017, one  
9 of DJ's parents called 9-1-1; correct?  
10 A Yes.  
11 Q They were the ones who also had called 9-1-1  
12 ten days prior.  
13 A Yes.  
14 Q And they both went to the hospital.  
15 A Yes.  
16 Q Meaning both of DJ's parents were at the  
17 hospital.  
18 A I'm not sure ten days prior. I wasn't there.  
19 Q When you went to speak about a week after DJ  
20 was admitted, they were both there at that point.  
21 A Yes, absolutely.  
22 Q And the hospital staff got DCP&P involved.  
23 A Yes.  
24 Q And that was when there were no further  
25 seizures seen on the EEG.

1 A No.  
2 Q No that's not correct?  
3 A Right.  
4 Q Well, they saw no further seizures; correct?  
5 A Right.  
6 Q They did further -- well, like, strike that.  
7 They looked at the EEGs and saw that there were  
8 subdural hemorrhages.  
9 A Yes.  
10 Q That was after there was no further seizure  
11 activity when they were conducting the EEGs.  
12 A So, the EEG does not look for subdural bleeds.  
13 Q Okay. So first they did EEGs.  
14 A Yes.  
15 Q There was no further seizure activity.  
16 A Yes.  
17 Q They then did a scan.  
18 A Yes.  
19 Q And they saw subdural hemorrhages.  
20 A Correct.  
21 Q And at that point they involved DCP&P.  
22 A I'm not sure if it was ay that point or when the  
23 retinal hemorrhages were identified.  
24 Q Okay. Now, they didn't see any further  
25 seizure-like activity on the EEG, but there -- when DJ

1 was admitted to the ER there was some seizure-like  
2 activity in the ER itself.  
3 A Yes. Yes.  
4 Q He had seizure-like activity and he vomited.  
5 A Yes.  
6 Q Now, Dr. Medina, it's fair to say that  
7 determining the cause of an illness is important.  
8 A Yes.  
9 Q It's crucial.  
10 A Yes.  
11 Q And that's for a number of different  
12 reasons.  
13 A Yes.  
14 Q If you get a diagnosis wrong as a doctor, a  
15 condition could get worse.  
16 A Yes.  
17 Q And treatment for an incorrect diagnosis  
18 could also cause damage.  
19 A Yes.  
20 Q And what I mean by that is, like, if you  
21 prescribe medication that was for the wrong illness,  
22 that could make a child ill.  
23 A Yes.  
24 Q Or not prescribing the right course of  
25 treatment could exacerbate a problem.

1 A Yes.  
2 Q Because it still wasn't being treated.  
3 A Yes.  
4 Q And obviously there are other reasons to get  
5 a diagnosis correct.  
6 A Yes.  
7 Q And specifically when it comes to child  
8 abuse.  
9 A Yes.  
10 Q Because you know that the findings you make  
11 have a lot of consequences to them.  
12 A Yes, ma'am.  
13 Q And that means a child could be removed from  
14 his or her parents' care?  
15 A Yes.  
16 Q It means that a child could be removed and  
17 placed into foster care.  
18 A Yes.  
19 Q Or with a different family member.  
20 A Yes.  
21 Q You're aware that a parent wouldn't be able  
22 to see his or her child.  
23 A Yes.  
24 Q A parent could be charged criminally.  
25 A Yes.

1 Q Facing time in prison.

2 A Yes.

3 Q And based on these -- based on the findings  
4 you make, you're aware that -- or pardon me. Based on  
5 the findings you made regarding whether you believe  
6 abuse occurred leads to these outcomes.

7 A Yes, ma'am.

8 MS. BIELAK: Danica, she needs to speak up.  
9 It's getting too low. She needs to speak up.

10 MS. RUE: Okay. I'm sorry. My co-counsel  
11 is telling me it's getting hard to hear you, so if you  
12 wouldn't --

13 THE WITNESS: Oh, okay.

14 MS. RUE: -- mind speaking up a little bit?

15 THE WITNESS: Yes.

16 BY MS. RUE:

17 Q Okay. Now, you work as a child abuse  
18 pediatrician, as we've talked about extensively;  
19 right?

20 A Yes.

21 Q And the name of the center where you work at  
22 Saint Peter's Hospital is Dorothy Hersh Regional Child  
23 Protection Center.

24 A Yes.

25 Q And the request came to that center on

1 February 15th of 2017.

2 A Yes, ma'am.

3 Q From DCP&P.

4 A Yes.

5 Q They requested your assistance.

6 A Yes.

7 Q To determine whether DJ had been the victim  
8 of abuse or neglect.

9 A Yes.

10 Q Based on his clinical presentation.

11 A Yes.

12 Q Now, I don't know if you have your C.V. up  
13 there or just your report.

14 MS. CRAVEIRO: Should have her C.V. I can  
15 give her another copy.

16 A Yeah, I have a C.V.

17 Q Okay. Great. And that's I believe S-2?

18 MS. CRAVEIRO: Mm-hmm.

19 Q Now, you list on your C.V. that you look for  
20 the nature of physical findings.

21 A In my C.V.?

22 Q Yes. With -- it's the first bullet point on  
23 page 1. You look for the nature of physical findings.

24 A Uh-huh.

25 Q And by that you mean the cause of certain

1 findings?  
2 A Yes.  
3 Q Finding --  
4 A The nature. Whether they are medically,  
5 developmentally trauma-type related.  
6 Q What causes them. Sorry if I --  
7 A Yes.  
8 Q Okay. Excuse me one moment.  
9 (Extended pause)  
10 Q And by finding, you're referring to  
11 injuries; correct?  
12 A Not all the time. Physical injuries, yes, but  
13 other findings also.  
14 Q Symptoms?  
15 A Yes, symptoms and other findings that not  
16 necessarily have to be injury in the head.  
17 Q So symptoms would be another example? Or  
18 illnesses?  
19 A Just other abnormalities of the brain.  
20 Abnormalities of the bone that can be associated with  
21 metabolic conditions, et cetera.  
22 Q Okay. Something that causes concern from  
23 another physician; correct?  
24 A Something that causes concern for disease.  
25 Q Pardon me?

1 A For disease.  
2 Q For disease.  
3 A Yes.  
4 Q Right, but that's from findings from other  
5 physicians; correct?  
6 A It depends on who is finding them, yeah.  
7 Q Right. So what I'm saying is, you don't --  
8 you aren't the treating physician.  
9 A No.  
10 Q And you've described on direct examination  
11 that you become involved after oftentimes other  
12 pediatricians --  
13 A Yes.  
14 Q -- or emergency room physicians make  
15 findings.  
16 A Yes.  
17 Q That's when you become involved in these  
18 cases.  
19 A Correct.  
20 Q And you come in to consider why a child may  
21 exhibit certain symptoms; correct?  
22 A Correct.  
23 Q Or injuries.  
24 A Yes.  
25 Q Or bone -- broken bones, thing -- fractures,

1 things like that?

2 A Yes.

3 Q And to determine the nature of the physical  
4 finding, you need to consider all of the potential  
5 causes.

6 A Yes.

7 Q Of every plausible cause for why a child may  
8 present certain injuries.

9 A Yes.

10 Q And you not only consider them, but you  
11 apply them to the circumstance; correct?

12 A Correct.

13 Q So it's not just thinking about it, it's  
14 actually do the work, go through all of the records to  
15 see whether those would be applicable.

16 A Correct.

17 Q And to rule out every other possible cause  
18 to come to your conclusion.

19 A Yes.

20 Q So, on your report on page 1 you list under  
21 intake information that DCP&P requested your  
22 assistance. Right?

23 A Yes.

24 Q And they asked you to determine the nature  
25 of DJ's injuries.

1 A Yes. To help determine the nature of --

2 Q Right, but that --

3 A -- his injuries.

4 Q -- had been done already; correct?

5 A No. Everything was identified and then the work-  
6 up starts.

7 Q Right, but and when I -- when I'm saying  
8 that I mean the nature of his injuries, meaning  
9 subdural hematomas had already been found.

10 A So that's not nature, that's identifying the  
11 lesion.

12 Q Identifying the lesion?

13 A The abnormality.

14 Q Right. So they found the injury, meaning the  
15 subdural hematoma.

16 A Correct.

17 Q The neuroradiologist found that.

18 A Yes.

19 Q And the ophthalmologist had already seen DJ.

20 A No. After the subdural hematomas --

21 Q Correct. I mean, before --

22 A -- (indiscernible) --

23 Q -- you're involved. Sorry I'm not being  
24 clear. So first the neuroradiologist finds a subdural  
25 hematoma.



1 A Yes.  
2 Q Then DJ was referred to an ophthalmologist.  
3 A Correct.  
4 Q And that's -- that ophthalmologist determined  
5 that DJ had retinal hemorrhages.  
6 A Yes, ma'am.  
7 Q Then you became involved.  
8 A Yes, ma'am.  
9 Q And so when I say that the cause -- or,  
10 pardon me -- the nature of the injuries had already  
11 been determined, I mean those two injuries had already  
12 been found.  
13 A Yes.  
14 Q By other doctors.  
15 A Yes.  
16 Q Along with the seizure-like activity which  
17 had been reported by both the parents, as well as  
18 within the ER DJ exhibited seizure-like activity.  
19 A Yes, ma'am.  
20 Q All of those things happened prior to your  
21 involvement.  
22 A Yes.  
23 Q You were brought in to look for abuse.  
24 A No. I was brought in to ensure the comprehensive  
25 evaluation of the case.

1 Q Well, you were brought in for a suspicion of  
2 abuse.  
3 A For a suspicion of abuse. Correct.  
4 Q That's why DCP&P calls you.  
5 A Correct.  
6 Q To see if there was abuse.  
7 A Correct.  
8 Q And again, you describe your duties as a  
9 child abuse pediatrician to conduct evaluations where  
10 there is a concern.  
11 A Correct.  
12 Q So meaning there's already a concern of  
13 abuse. That's when you arrive.  
14 A Correct.  
15 Q And that can be concern about maltreatment.  
16 A Yes.  
17 Q Right? Physical abuse.  
18 A Yes.  
19 Q Sexual abuse.  
20 A Yes.  
21 Q Neglect.  
22 A Yes.  
23 Q And so the purpose for you coming in is to  
24 diagnose.  
25 A Yes.

1 Q Whether that's the -- whether any of those  
2 circumstances are the case.  
3 A Yes.  
4 Q Now, in medicine there are obviously a  
5 number of tests that can be performed; correct?  
6 A Yes.  
7 Q So an eye exam determines someone's vision.  
8 A Yes.  
9 Q A hearing test determines whether someone  
10 might have hearing loss.  
11 A Yes.  
12 Q An MRI looks for soft-tissue damage.  
13 A Yes.  
14 Q There is no test to determine whether abuse  
15 has occurred.  
16 A No, I --  
17 Q There's no specific diagnose -- pardon me --  
18 no specific diagnostic criteria to define what abusive  
19 head trauma is.  
20 A No.  
21 Q There are symptoms a child may exhibit when  
22 you are looking for to see whether to diagnose abusive  
23 head trauma.  
24 A Yes.  
25 Q Now you testified that DJ had subdural

1 hematomas, as we know; correct?  
2 A Yes.  
3 Q And you've testified that those can exist  
4 for a number of different reasons.  
5 A Yes, ma'am.  
6 Q One of them is abuse.  
7 A Yes.  
8 Q But there are others.  
9 A Many others.  
10 Q Many others. Infection?  
11 A Yes, meningitis.  
12 Q Meningitis. Several different diseases can  
13 result in this, I believe you said.  
14 A Trauma is the most common, but diseases can be  
15 associated with it.  
16 Q Diseases can be associated with it. And I  
17 believe you said lymphoma? Leukemia.  
18 A Leukemia, --  
19 Q And --  
20 A -- retinal hemorrhages, yes.  
21 Q And coagulation abnormalities could --  
22 A Yes, ma'am.  
23 Q -- result in those? Right? As well as what  
24 we've discussed as BESS or benign enlargement or  
25 expansion of the subarachnoid --

1 A Correct.  
2 Q -- spaces.  
3 A Yes.  
4 Q And when you looked at -- or, pardon me.  
5 Strike that. DJ also, as we know, had subdural hema --  
6 or retinal hemorrhages.  
7 A Yes, ma'am.  
8 Q Right. Those can also come from a number of  
9 different -- there can be a number of different causes  
10 for them.  
11 A For his pattern, few causes, but yes.  
12 Q There can be -- well, just for retinal  
13 hemorrhages generally, there can be a number of  
14 different causes.  
15 A Oh, many causes.  
16 Q Right. Vomiting. A lot of coughing.  
17 A Vomiting. Coughing has not been associated with  
18 retinal hemorrhages, but could it? Rarely, yes.  
19 Q Right. Intracranial pressure can cause  
20 retinal hemorrhages.  
21 A Yes.  
22 Q And as we know, DJ was referred to the  
23 ophthalmologist after his subdural hematomas were  
24 found.  
25 A Yes.

1 Q And the ophthalmologist looked for the  
2 retinal hemorrhages because of the subdural hematomas.  
3 A Correct.  
4 Q So the retinal hemorrhages were not found  
5 first.  
6 A No.  
7 Q Which is often the case with abusive head  
8 trauma cases. Right?  
9 A That the retinal hemorrhages are not found first?  
10 Q Correct.  
11 A That is correct.  
12 Q Meaning the subdural hematomas are found.  
13 A (Non-verbal response.)  
14 Q Right? Oh, so you're nodding your head yes.  
15 A Yes.  
16 Q Yes.  
17 A Yes. I'm sorry.  
18 Q The -- yeah, the subdural hematomas are  
19 found and then an ophthalmologist is sought to review  
20 to see if there are retinal hemorrhages.  
21 A Yes, ma'am.  
22 Q Okay. There is not a single study that has  
23 proven that retinal hemorrhages are caused by shaking.  
24 A I disagree with that.  
25 Q What study has shown that retinal

1 hemorrhages are caused by shaking?  
2 A Retinal hemorrhages has had a strong association  
3 with shaking to a specification -- specificity of 96  
4 percent in confessed medical literature of  
5 perpetrators who have shaken only the -- only shaking  
6 the child and the child becomes symptomatic,  
7 subsequently the child has ophthalmological  
8 evaluations, and the severity that I described before  
9 is found on fundoscopic exam. So there -- there is a  
10 time association with that event, the neurological  
11 decompensation and the findings of retinal  
12 hemorrhages.  
13 Q So those are cases where abuse was already  
14 suspected.  
15 A No, confessed.  
16 Q Well, it was suspected, because the person  
17 was interviewed because they were suspect --  
18 A Oh, correct.  
19 Q Right.  
20 A Yes.  
21 Q So abuse was already suspected.  
22 A Yes.  
23 Q The person is questioned by law enforcement.  
24 A Yes.  
25 Q And they confessed.

1 A Yes.  
2 Q Because retinal hemorrhages were already  
3 found.  
4 A They were already found, yes.  
5 Q Right. So we don't actually know whether  
6 that child was abused; correct? It's just based on  
7 confessions.  
8 A It's the strongest evidence. Yes.  
9 Q Well, in the nanny cam cases that wasn't the  
10 case; correct?  
11 A You can tell me.  
12 Q Right. So, in the study by Papetti where  
13 there's actual proof, meaning not a confession after  
14 the fact, --  
15 A Okay.  
16 Q -- but videos of a child being shaken on a  
17 nanny cam.  
18 A Okay.  
19 Q And so we know that that happened.  
20 A Yes.  
21 Q We don't rely on a confession.  
22 A Yes.  
23 Q We don't rely on we suspect that it took  
24 place because of injuries.  
25 A Okay.

1 Q We know it happened, --  
2 A Yes.  
3 Q -- because the baby was shaken. Those  
4 babies did not show severe retinal hemorrhages.  
5 A Oh, correct.  
6 Q So what I mean is, in the one study or the  
7 one finding where there's actual physical proof of the  
8 shaking occurring, --  
9 A Yes.  
10 Q -- those babies did not show severe retinal  
11 hemorrhages.  
12 A But retinal hemorrhages insensitivity is not --  
13 not -- is less. So they are present in 85 -- 10 -- 85  
14 percent of the cases will have retinal hemorrhages,  
15 then you have the others that will not have retinal  
16 hemorrhages as the result of a shaking event.  
17 Q But those are based on the assumption that  
18 there was a shaking having taken place.  
19 A No, like the nanny cam.  
20 Q No, they -- the babies did not have ret --  
21 severe retinal hemorrhages.  
22 A Correct. Not every shake event leads to severe  
23 retinal hemorrhages.  
24 Q Right. So in the -- just to be clear. In  
25 the one study --

1 A Yes.  
2 Q -- where there's actual physical proof of  
3 shaking, --  
4 A Yes.  
5 Q -- not one child had severe retinal  
6 hemorrhages.  
7 A Correct.  
8 Q But you do believe that pure shaking can  
9 cause subdural hematomas?  
10 A So, subdural hematomas can happen with minor  
11 trauma and they would happen in severe shaking, as has  
12 been seen in the literature of inflicted head injury,  
13 more so prominent than an accidental trauma.  
14 Q So I'm going to ask again. You believe that  
15 shaking alone can cause subdural hematomas.  
16 A Yes, ma'am.  
17 Q Okay. What is the minimum force necessary  
18 to cause that injury?  
19 A Not established, not known.  
20 Q But you believe that's the case.  
21 A Yes. We see it in BESS.  
22 Q Without it being established.  
23 A We see it in the medical condition of BESS.  
24 Q Without it being known.  
25 A We see it and we know it in the condition of

1 BESS.  
2 Q Well, BESS doesn't deal with force. Right?  
3 A Exactly. You don't need -- that's minimal force  
4 and they still break.  
5 Q Well, it doesn't have to have force at all  
6 for BESS.  
7 A And that's absolutely correct.  
8 Q Right. It could just be a large collection  
9 of fluid in the subarachnoid space.  
10 A That causes tension and stretching of the  
11 bridging veins.  
12 Q Exactly.  
13 A Yes.  
14 Q So, it's not know whether any amount of  
15 force -- in a non-BESS situation, you don't know what  
16 the minimum level of force is to cause injuries.  
17 A We don't know as to value. But clinically you  
18 know that tension and stretching can cause subdural  
19 vein trauma. And --  
20 Q But it's --  
21 A -- bleeding.  
22 Q -- not been proven.  
23 A It's been proven by the condition of BESS in the  
24 literature.  
25 Q Okay. I'm going to move on. You did talk

1 about the study by Duhaime; correct?  
2 A Yes, ma'am.  
3 Q And that was in 1987.  
4 A Uh-huh. Yes.  
5 Q And that was to examine whether shaking a  
6 baby -- and this -- these were models; right?  
7 A Yes.  
8 Q No one was actually shaking a baby.  
9 A They were --  
10 Q They weren't actually --  
11 A -- dolls.  
12 Q Dolls. Right. But I'm --  
13 A Yes.  
14 Q What I'm saying is there weren't actual  
15 babies being shaken.  
16 A Yes, yes, of course.  
17 Q Right. And that was to see if a minimum  
18 force could be generated by shaking of these dolls.  
19 A Yes.  
20 Q And shaking alone could not cause the  
21 injuries that Duhaime frankly came in expecting to  
22 find. Right?  
23 A Correct.  
24 Q She came in expecting to find subdural  
25 hematomas or something similar -- because it's not a

1 real baby; right? -- in those models.  
2 A No, they're actually -- the models just were to  
3 see if the established thresholds could be obtained.  
4 Of course models are not going to have injury, because  
5 they are fake.  
6 Q Right.  
7 A But the purpose of the Duhaime study was taking  
8 the thresholds established by shaking alone in  
9 primates who had a concussion and injury and seeking  
10 if shaking that doll would produce the same forces  
11 that they measured in the original study. And if the  
12 forces are reached, the assumption is that shaking  
13 alone can hurt a child in that manner.  
14 Q And Duhaime found that those -- that  
15 threshold was not met by shaking alone.  
16 A Correct.  
17 Q And that was confirmed in her study in 2010.  
18 It was 1987 as well as 2010.  
19 A Different models, but --  
20 Q But the same result.  
21 A Yes.  
22 Q And by the same result, I mean shaking alone  
23 did not reach the threshold.  
24 A Correct.  
25 Q Now you just referenced shaking of primates;

1 correct?  
2 A Yes.  
3 Q That's the Ommaya study.  
4 A Yes.  
5 Q That was not shaking.  
6 A That was a whiplash.  
7 Q That was whiplash.  
8 A A single event.  
9 Q A single event of a car accident,  
10 essentially; right?  
11 A A whiplash single event. Yes. Back and forth  
12 movement of the head, one cycle.  
13 Q Once. So back and forth, meaning one back  
14 and one forth.  
15 A Correct.  
16 Q And it's not the same thing as shaking.  
17 A No, shaking is worse.  
18 Q Well, it's different. Correct?  
19 A It's --  
20 Q It's not equivalent.  
21 A It's back and forth movement in the anterior-  
22 posterior direction, yes.  
23 Q It's not the same movement, though.  
24 A It is hyperflexion and hyperextension of the  
25 neck.

1 Q Okay. Again, you're not a biomechanist;  
2 right?  
3 A It's hyper -- that's what whiplash is.  
4 Q Are you a biomechanist?  
5 A No.  
6 Q Okay. Monkeys are shaped differently than  
7 babies; right?  
8 A I've never seen a monkey being shaken, but --  
9 Q Well, you've seen a monkey.  
10 A A monkey in the study, I don't know how they were  
11 shaken. It just says the ant -- forward --  
12 THE COURT: Did you say -- I'm sorry. Did  
13 you say shaped differently --  
14 MS. RUE: Shape -- I'm -- pardon me.  
15 THE COURT: -- or shaken differently?  
16 MS. RUE: Shaped.  
17 THE COURT: Shaped.  
18 THE WITNESS: Oh, I thought you said shaken.  
19 MS. RUE: Yes, no, shaped.  
20 THE COURT: Okay.  
21 MS. RUE: Pardon me. With a P. Yeah, I  
22 know, the masks aren't great.  
23 THE WITNESS: Okay. Sorry.  
24 MS. RUE: Yes.  
25 THE WITNESS: My mistake.

1 MS. RUE: No, no, it's not clear.  
2 BY MS. RUE:  
3 Q What I -- so the shape, with a P, of a  
4 monkey is different than the shape of a baby.  
5 A Absolutely.  
6 Q Right. And in very critical ways.  
7 A I would assume, yes. I only know babies.  
8 Q Well, you know that a monkey's head is much  
9 smaller to the proportion of its body than a baby's.  
10 A Okay. Yes.  
11 Q Right?  
12 A Yes.  
13 Q A baby's head is very big on its body.  
14 A Yes.  
15 Q And that is a very different shape than what  
16 a monkey looks like.  
17 A Yes, ma'am.  
18 Q And what an adult human looks like.  
19 A Yes, ma'am.  
20 Q Those monkeys did not have retinal  
21 hemorrhages in the Ommaya study.  
22 A I don't know that they were looked for. They  
23 weren't mentioned.  
24 Q Well, they weren't noted.  
25 A They weren't mentioned, no.



1 Q Right. They had neck injuries.  
2 A They had.  
3 Q Right?  
4 A Yes.  
5 Q But they did not have, that you know of,  
6 that weren't noted -- and they were looking for  
7 injuries; correct?  
8 A Well, you don't look for injuries in the eye  
9 unless you do an eye exam.  
10 Q Right, but the whole premise of the Ommaya  
11 study was to look for what injuries would be caused by  
12 this motion.  
13 A Yes, but it doesn't state whether eyes were  
14 examined.  
15 Q Is it not fair to say they were looking for  
16 injuries?  
17 A That's all we can say.  
18 Q Right. And what we know of from that  
19 finding was that there -- we don't know of any eye  
20 injuries having been noted.  
21 A No. It was study on concussion.  
22 Q And no eye injuries were noted.  
23 A Correct.  
24 Q That's not the only time that animals have  
25 been used to study this area of science.

1 A Oh, no.  
2 Q The Finnie study, which was done twice,  
3 dealt with lambs.  
4 A Yes.  
5 Q The shaking of lambs.  
6 A Yes.  
7 Q All of those lambs had spinal injuries.  
8 A Yes.  
9 Q And I believe two of all of the lambs had  
10 retinal hemorrhages.  
11 A Yes.  
12 Q When they recreated it, I believe zero had  
13 retinal hemorrhages.  
14 A I am not sure, but --  
15 Q Okay. So you've testified that shaken baby  
16 syndrome or abusive head trauma as of 2009, but that  
17 it's been accepted in medicine for 160 years?  
18 A It has been identified in medicine for 160 years.  
19 Abusive head trauma as a diagnosis has been accepted  
20 in medicine since the -- the terminology, since 2009.  
21 Before that, shaken baby syndrome.  
22 Q But it hasn't been shaken baby syndrome  
23 from --  
24 A Two thousand and --  
25 Q -- 160 years ago --

1 A Yes. No.  
2 Q When was it first called shaken baby  
3 syndrome?  
4 A Nineteen seventy-four by Caffey.  
5 Q And it's fair to say that since Duhaime's  
6 study in 1987 there is debate about whether shaking  
7 alone can reach that threshold for injuries.  
8 A Yes, ma'am.  
9 Q And by injuries, I mean the injuries that  
10 child abuse pediatricians like yourself look for to  
11 make this diagnosis.  
12 A That we look for in corroboration with  
13 ophthalmologists. Yeah.  
14 Q Right.  
15 A Yes.  
16 Q So what I'm saying is, the -- since 1987  
17 there has been debate about whether just shaking alone  
18 can reach the force that would cause the injuries,  
19 including retinal hemorrhages, including subdural  
20 hematomas, that a child abuse pediatrician looks for  
21 to diagnose abusive head trauma.  
22 A Yes, ma'am.  
23 Q Or child abuse.  
24 A Yes, ma'am.  
25 Q Okay. And the Cory study from 2003

1 referenced on direct examination also backs this up.  
2 A No. The Cory story [sic] does not back it up.  
3 Actually it has opposite results.  
4 Q Well, the Cory study in 2003 says --  
5 A That's the Prange Study.  
6 Q -- we don't know if shaking can cause fatal  
7 head injuries.  
8 A That is the Prange study.  
9 Q That's the Cory study from 2003 has that.  
10 A I didn't reference Cory story [sic] of 2003.  
11 Q You didn't reference the Cory study?  
12 A Two thousand sixteen?  
13 Q Okay. Are you familiar --  
14 A (Indiscernible)  
15 Q -- with the Cory study?  
16 A Yes, 2016. Do you want me to --  
17 Q There's one from 2003.  
18 A I don't know that one.  
19 Q Okay. And pardon me. The statements --  
20 you're familiar with what's called the statement;  
21 correct?  
22 A Yes.  
23 Q And who is that from?  
24 A The statement?  
25 Q Yeah.

1 A Oh, I don't know. What, more specific?  
2 Q Right. You just said you're familiar with  
3 it.  
4 A The statement from the articles that I gave?  
5 Q Well, it's referenced as the statement.  
6 A What's the statement?  
7 Q Okay. So you're -- you're not --  
8 A The statement that I gave is the pediatric AOP?  
9 Q Pardon me.  
10 A Okay.  
11 Q There is a piece of literature known as "The  
12 Statement." Are you familiar with that?  
13 A No.  
14 Q Okay. Are you familiar with Dr. Chaudhary?  
15 I'm --  
16 A Yes.  
17 Q Okay. Are you familiar --  
18 A Oh, the --  
19 Q -- with any of his --  
20 A -- consensus statement.  
21 Q Correct.  
22 A Oh, yes.  
23 Q Okay. And it's often referred to in  
24 literature as the statement.  
25 A Consensus statement is better.

1 Q That's better? Okay. But now you're  
2 familiar with it; right?  
3 A Yes, of course.  
4 Q As a consensus statement.  
5 A Two thousand eighteen.  
6 Q Right. There's -- there's more than one  
7 consensus statement; correct? There's actually one  
8 that came out in 2020 as well.  
9 A That's right.  
10 Q Okay. And the -- that is a -- it would be  
11 fair to say, a proponent paper? Meaning it advocates  
12 for the position that you hold is what --  
13 A Correct.  
14 Q And Dr. Chaudhary acknowledges that there is  
15 this -- still this debate about whether shaking alone  
16 could cause these injuries.  
17 A There is no debate in the medical community, but  
18 there is controversy, yes.  
19 Q Well, it does acknowledge that there's  
20 discussion over whether these things exist.  
21 A Oh, yes. Yes.  
22 Q Now, you did testify to other possibilities  
23 that you considered when coming -- before coming to  
24 the conclusion of child abuse. Right?  
25 A Yes.

1 Q You wrote in your report -- S-1, which I  
2 believe you have -- that retinal -- that the retinal  
3 hemorrhages DJ suffered from did not result from  
4 seizures.  
5 A Correct.  
6 Q Or subdural bleeding.  
7 A Correct.  
8 Q Or from CPR.  
9 A Correct.  
10 Q Or vaccinations.  
11 A Correct.  
12 Q Coughing.  
13 A Did I write that?  
14 Q You did.  
15 A Okay.  
16 Q You can look -- you -- you -- you have it  
17 there. If you don't recall. It's page 14, section 8.  
18 A Okay. Go ahead.  
19 Q Okay. So you write in your report there  
20 that the retinal hemorrhages DJ suffered from did not  
21 come from seizures.  
22 A Okay.  
23 Q Right?  
24 A Yes.  
25 Q Okay. Or subdural bleeding.

1 A Yep.  
2 Q Or CPR.  
3 A Yes.  
4 Q Vaccinations.  
5 A Yes.  
6 Q Coughing.  
7 A Correct.  
8 Q Or reflux.  
9 A Correct.  
10 Q You don't cite anything in making those  
11 conclusions.  
12 A There are no studies with seizures specifically  
13 that -- or CPR -- that have shown the pattern of  
14 severe retinal hemorrhages that I described.  
15 Q Is that written in your report?  
16 A No.  
17 Q Okay. So there's nothing cited about those  
18 conclusions you came to.  
19 A Okay. No.  
20 Q And you just testified that you haven't read  
21 the 2020 consensus statement?  
22 A The echo chamber?  
23 Q No.  
24 A Which one?  
25 Q The 2020 consensus statement.

1 A No.  
2 Q Now, you and I have never met before;  
3 correct?  
4 A I don't think so.  
5 Q We haven't. Obviously I'm not memorable.  
6 You haven't met Ms. Bielak either; correct?  
7 A I don't think so.  
8 Q As far as you recall, you don't remember  
9 meeting with Ms. Bielak?  
10 A Meeting with her?  
11 Q Correct.  
12 A No.  
13 Q Or meeting her.  
14 A No.  
15 Q Okay. I did attempt to meet with you;  
16 correct?  
17 A Yes.  
18 Q On a number of occasions.  
19 A Yes.  
20 Q I emailed you.  
21 A Yes.  
22 Q I called you.  
23 A Yes.  
24 Q And you refused to meet with me.  
25 A No.

1 Q Did you meet with me?  
2 A No.  
3 Q Okay. Did you meet with Ms. Craveiro?  
4 A Yes.  
5 Q I want to talk to you about when you spoke  
6 to Darryl's parents. DJ's parents.  
7 A Yes.  
8 Q You spoke to them, you testified, on  
9 February 17th; correct?  
10 A Yes.  
11 Q This was at the bedside of their 11-month-old  
12 son.  
13 A Yes.  
14 Q Who was in the hospital.  
15 A Yes.  
16 Q Who had been there for a week at that point?  
17 A Yes.  
18 Q Who had spent the first seven months of his  
19 life in the hospital?  
20 A Yes.  
21 Q And had many hospitalizations and doctors'  
22 visits during those first 11 months of his life.  
23 A Yes, ma'am.  
24 Q Who you testified was -- as a very healthy  
25 baby. Pretty healthy baby.

1 A Pretty healthy.  
2 Q Now, when you spoke to Darryl and Lucy, you  
3 were looking for information.  
4 A Yes, medical history.  
5 Q Right. An historical account of their son's  
6 life.  
7 A Yes, ma'am.  
8 Q Right? His medical background.  
9 A Yes, ma'am.  
10 Q Their background as parents.  
11 A In relation to Darryl?  
12 Q Well, in relation to Darryl. Meaning, if  
13 they -- if one of them had some sort of disorder that  
14 would possibly account for --  
15 A Oh, yes. Family history.  
16 Q Family history.  
17 A Yes.  
18 Q That's what I mean by their background.  
19 Pardon me. You looked to see what medication DJ took.  
20 A Yes, that they know of.  
21 Q That they know of.  
22 A Yes.  
23 Q What surgeries he had had.  
24 A Yes.  
25 Q Information about his disposition.

1 A Yes.  
2 Q And you were looking for this for a number  
3 of different reasons; is that fair to say?  
4 A Yes, as part of the --  
5 Q To see whether they were consistent with  
6 each other. Right?  
7 A They -- I spoke to them at the same time.  
8 Q Okay. But you wanted to see if -- I'm  
9 assuming you would want to see that they had  
10 consistent stories. Right?  
11 A Sure.  
12 Q Well, it would raise a red flag if they  
13 weren't consistent.  
14 A Correct.  
15 Q If one of them said he had been in an  
16 accident and the other one said he hadn't, that would  
17 raise a flag.  
18 A Yes.  
19 Q Right. And you wanted to make sure or see  
20 whether they were consistent with medical records.  
21 Right?  
22 A With medical care? Yeah.  
23 Q And they were pretty much consistent with  
24 DJ's medical history.  
25 A Oh, yes.

1 Q The -- which is extensive, as we know.  
2 A Yes.  
3 Q The one area Lucy believed that he had --  
4 may have been on an anticoagulant, it turns out he  
5 hadn't from the records that you had. Right?  
6 A From the records.  
7 Q But other than that, everything was pretty  
8 consistent.  
9 A Yes.  
10 Q There wasn't anything from what they told  
11 you that raised concerns.  
12 A No.  
13 Q They told you that he had eczema; correct?  
14 A Yes.  
15 Q His -- they advised you about his  
16 developmental milestones.  
17 A Yes.  
18 Q And you learned that Darryl's parents --  
19 you've met both of them; right?  
20 A Yes.  
21 Q And you described Darryl, our client, as  
22 being unemployed.  
23 A That is information they provided.  
24 Q Well, you described him as unemployed;  
25 right? In your report.

1 A Yes.  
2 Q That was the term you used. But he actually  
3 was DJ's primary caretaker.  
4 A Yes.  
5 Q His mother, Lucy, worked outside of the  
6 home.  
7 A Yes.  
8 Q Right? And Darryl stayed at home, as the  
9 parent.  
10 A Yes, ma'am.  
11 Q And it's fair to say that every baby needs  
12 somebody watching them.  
13 A Yes, ma'am. Yes.  
14 Q Particularly someone in DJ's circumstance,  
15 with all of these medical complications.  
16 A Yes.  
17 Q You learned in speaking to them, as well as  
18 reviewing the DCP&P records, that DJ had passed out  
19 and went limp when Darryl had been changing his  
20 diaper.  
21 A Yes, ma'am.  
22 Q That he passed out fast.  
23 A Yes, ma'am.  
24 Q That he performed mini CPR by blowing in his  
25 mouth.

1 A Yes.  
2 Q That an ambulance was called.  
3 A Yes.  
4 Q And that when the ambulance arrived, DJ was  
5 relatively alert at that point.  
6 A Yes.  
7 Q And the paramedics advised them they could  
8 still have DJ taken to the hospital or they could  
9 follow up with their pediatrician.  
10 A Yes.  
11 Q And they chose to follow up with their  
12 pediatrician.  
13 A Yes.  
14 Q And they did do that.  
15 A Absolutely.  
16 Q And that pediatrician advised them that --  
17 that he or she believed that it was acid reflux that  
18 caused this.  
19 A Yes.  
20 Q And this is on February 3rd of 2010 [sic].  
21 A Yes, ma'am.  
22 Q A week prior to DJ being admitted.  
23 A Yes.  
24 Q You learned that a few days before he was  
25 admitted something similar happened; correct?

1 A Yes.  
2 Q And DJ passed out when Darryl put him on the  
3 bed?  
4 A Yes.  
5 Q And Darryl applied oxygen from home.  
6 A Yes, ma'am.  
7 Q And the situation appeared to resolve  
8 itself.  
9 A Yes, ma'am.  
10 Q And then finally on February 10th Darryl was  
11 with DJ downstairs with the two of them; correct?  
12 A Yes, ma'am.  
13 Q And Lucy was upstairs at that point.  
14 A Sleeping. Yes, ma'am.  
15 Q And that DJ -- pardon me -- Darryl had DJ in  
16 a chair to keep him upright.  
17 A Yes.  
18 Q Which is the protocol for the acid reflux  
19 that the pediatrician told him.  
20 A Correct. Yes.  
21 Q That he had him upright in a chair.  
22 A Yes.  
23 Q And he went to pick him up.  
24 A Yes.  
25 Q And he went stiff.



1 A Yes.  
2 Q And he immediately brings DJ to his wife, to  
3 the child's mother.  
4 A Yes, ma'am.  
5 Q Right? And they called 9-1-1.  
6 A Yes, ma'am.  
7 Q They took a video of the incident.  
8 A Yes, ma'am.  
9 Q And then an ambulance took him to Saint  
10 Peter's.  
11 A Yes, ma'am.  
12 Q The records from DCP&P had essentially the  
13 same account of what Lucy and Darryl told you.  
14 A Yes.  
15 Q About the three episodes.  
16 A Yes.  
17 Q The pediatrician saying that he or she  
18 believed it was --  
19 A Reflux.  
20 Q -- reflux.  
21 A Yes.  
22 Q That Darryl said he would never hurt DJ?  
23 A Yes.  
24 Q And Lucy said she had no concerns about  
25 Darryl's ability to care for DJ.

1 A Absolutely.  
2 Q And that was said when they were not  
3 together.  
4 A Yes, absolutely.  
5 Q Both of them independently said D -- Darryl  
6 said he would never hurt his son.  
7 A Yes.  
8 Q And Lucy said she didn't have any concerns  
9 about Darryl ever hurting their child.  
10 A Correct.  
11 Q When you went to the hospital after or  
12 before speaking to them, did you do an examination of  
13 DJ?  
14 A During -- during? Yes.  
15 Q Oh, while you were speaking to them?  
16 A Yes.  
17 Q So you're speaking to them while you're  
18 examining the baby.  
19 A Oh, no, no. After -- after that.  
20 Q Same visit.  
21 A Yeah, same visit.  
22 Q Speak to parents, --  
23 A Yes.  
24 Q -- conduct an examination. You checked his  
25 weight. Right?

1 A It was taken already.  
2 Q Oh, so you didn't do it -- make those  
3 findings yourself?  
4 A The weight was taken from the chart.  
5 Q Okay. So you just had the medical records.  
6 A Yes, right there, the same day.  
7 Q Okay. You didn't take his head  
8 circumference?  
9 A No, it was documented.  
10 Q Okay. You noted that his anterior  
11 fontanelle was soft and flat.  
12 A Yes.  
13 Q The soft spot.  
14 A Yep.  
15 Q You noted that he had no bruises.  
16 A Correct.  
17 Q You did note that he had some dry skin.  
18 A Yes.  
19 Q Which they told you he had eczema.  
20 A Yes.  
21 Q He didn't babble at all.  
22 A Babble, as in consonants, no.  
23 Q He -- but he appeared comfortable.  
24 A Yes.  
25 Q And he smiled.

1 A Yes.  
2 Q I want to talk about DJ's head circumference  
3 now. It went from 41 centimeters on October 2nd of  
4 2016 -- that's on page 5 of your report --  
5 A Yes.  
6 Q -- to 44.8 centimeters on February 15th of  
7 2017. That's almost 4 centimeters larger.  
8 A Yes.  
9 Q Now it indicates in your report, when you  
10 examined him, on page 11, you have his head  
11 circumference at 45 centimeters.  
12 A Yes.  
13 Q So the hospital had it at 44.8. Was his  
14 head re-measured?  
15 A So it's measured by the geneticist --  
16 Q Uh-huh.  
17 A -- and the nurses, and sometimes there is  
18 discrepancy, but it's around 45.  
19 Q So you didn't personally measure it, but  
20 there is this slightly larger measurement than what  
21 was taken on February 15th of 2017.  
22 A Yes.  
23 Q And that was two days later that you saw  
24 him.  
25 A Yes.

1 Q And noted the measurement of 45 centimeters.

2 A Yes.

3 Q Now, you discussed at length the fact that  
4 you didn't have any record of his head circumference  
5 from that Children's Hospital of Philadelphia of  
6 October to February.

7 A Correct.

8 Q And you said it would have been helpful to  
9 have the head circumference in that time frame.

10 A Absolutely.

11 Q How did you get the records that you  
12 reviewed?

13 A DCP&P.

14 Q And what efforts did you make to get those  
15 records from his pediatrician?

16 A DCP&P. Several phone calls, actually.

17 Q You made several phone calls.

18 A Yes.

19 Q What did they tell you?

20 A They couldn't get them.

21 Q They couldn't get them.

22 A For whatever reason.

23 Q Okay. Did you make any other efforts to get  
24 his records?

25 A No, due to HIPAA confidentiality, it has to go

1 through the whoever is granted permission to get  
2 information. Health care protected information.

3 Q Did you try through the prosecutor's office  
4 to get those records?

5 A Through the prosecutor's? No. DCP&P. I even  
6 tried to get mom back in after the evaluation, but  
7 that was impossible either.

8 Q Well, that was after you had found her  
9 husband guilty of child abuse; right?

10 A No, my report was written on April 26th.

11 Q It was when you --

12 A Before that I had been trying to follow up with  
13 the parents --

14 Q Okay.

15 A -- and DCP&P and the pediatrician, and for  
16 whatever reason I couldn't. And it would have been  
17 very helpful, yes.

18 Q It would have been very helpful to have  
19 those.

20 A Yes. Which is why I write in the end, if there  
21 is any other information that is not here, we need to  
22 know it.

23 Q Did you ever speak to the prosecutor's  
24 office about trying to obtain those records?

25 A No.

1 Q That would have been very helpful to your  
2 findings?  
3 A Well, we don't have direct with the prosecutors.  
4 We do DCP&P.  
5 Q You don't speak to the prosecutor?  
6 A When they called. We don't get involved in the  
7 investigation per se in law enforcement. We are the  
8 medical --  
9 Q Right, but --  
10 A -- (indiscernible).  
11 Q -- but you're dealing with a state agency  
12 with DCP&P.  
13 A Yeah, but they have their own protocols.  
14 Q Right. So my question is, you never  
15 endeavored to get records that you qualified as being  
16 very helpful.  
17 A Yes.  
18 Q You never endeavored to get them from the  
19 prosecutor's office.  
20 A I don't even know who was in charge in the  
21 prosecutor's office of this case.  
22 Q So it's fair to say that's a no.  
23 A Yes. No.  
24 MS. RUE: Judge, I don't know if we want to  
25 break. I'm going to into another area. Or --

1 THE COURT: I'm going to stop at 2:15, so --  
2 MS. RUE: Okay.  
3 BY MS. RUE:  
4 Q I want to talk to you now about premature  
5 babies.  
6 A Yes, ma'am.  
7 Q Premature babies have more medical problems  
8 than full-term babies.  
9 A Absolutely.  
10 Q They can have -- have -- pardon me. There  
11 can be more neurological problems with them.  
12 A Yes.  
13 Q This is because their neurological system  
14 hasn't been fully developed.  
15 A Correct.  
16 Q And same with though with their digestive  
17 system.  
18 A Yes.  
19 Q That can lead to more problems with  
20 regurgitation.  
21 A Yes.  
22 Q Vomiting.  
23 A Yes.  
24 Q Can lead to breathing problems.  
25 A Yes.

1 Q Regurgitation and vomiting can lead to  
2 obstruction of airways. Right?  
3 A Yes.  
4 Q And emesis in the lungs.  
5 A And what? I didn't hear that.  
6 Q Emesis in the lungs.  
7 A Emesis? What is that?  
8 Q Well, like, fluid in their lungs.  
9 A Okay. Yes.  
10 Q Okay. And being premature can also delay a  
11 baby's reactions to things.  
12 A Yes. Absolutely.  
13 Q Right? They can be less effective in self-  
14 protective measures.  
15 A Yes.  
16 Q So, meaning, they -- that isn't fully formed  
17 or fully functional.  
18 A Yes.  
19 Q So coughing.  
20 A Yes.  
21 Q And gagging.  
22 A Yes.  
23 Q Those -- those reflexes are not as well  
24 developed in a premature baby as it would be in a  
25 full-term baby.

1 A As in the protective sense, yes.  
2 Q Right? In the self-protective sense.  
3 A Yes.  
4 Q Right. And, like, turning your face away  
5 when a mouth is blocked.  
6 A Correct.  
7 Q Or when a nose is blocked.  
8 A Yes. Yes.  
9 Q And DJ was born extremely premature.  
10 A Yes, ma'am.  
11 Q Hospitalized for the first seven-and-a-half  
12 months of his life.  
13 A Yes, ma'am.  
14 Q A very complex medical history.  
15 A Yes, ma'am.  
16 Q Of which -- which you have described.  
17 A Yes.  
18 Q When a baby is born at 25 weeks, it is  
19 inevitable that that baby will have a number of  
20 medical problems.  
21 A Could have, yes.  
22 Q It's not inevitable that a baby at 25 weeks  
23 will have medical problems?  
24 A At 25 weeks, at that period, or later?  
25 Q When a baby is born at 25 weeks --

1 A Uh-huh.  
2 Q -- there is going to be --  
3 A Oh, yes.  
4 Q -- medical problems.  
5 A Yes, yes, yes.  
6 Q If the baby is able to survive, frankly.  
7 A Yes. Yes.  
8 Q And there are problems that would be present  
9 at birth.  
10 A Yes.  
11 Q And I think you described at birth subdural  
12 hematomas can occur with premature babies especially.  
13 A In any baby. Yes.  
14 Q Especially with premature babies.  
15 A Yes.  
16 Q Especially with male premature babies.  
17 A Yes.  
18 Q They don't know why; right?  
19 A No.  
20 Q But, for whatever reason, --  
21 A Yes.  
22 Q -- male premature babies are particularly  
23 prone --  
24 A Yes, ma'am.  
25 Q -- to subdural hematomas. And then there

1 are problems that can present months later.  
2 A Yes, ma'am.  
3 Q Delays in milestones.  
4 A Yes, ma'am.  
5 Q In DJ's case, he had to have heart surgery.  
6 A Yes, ma'am.  
7 Q Issues with organs that can require surgery.  
8 A Yes.  
9 Q Other organs, I should say.  
10 A Yes.  
11 Q Those can develop later. Diabetes? I mean  
12 -- I mean, when I say later, I mean not at birth.  
13 A Yes. Oh, well, he -- the -- the cardiac problems  
14 were at birth.  
15 Q Were at birth. But other -- other internal  
16 organs could show problems --  
17 A Absolutely.  
18 Q -- present later.  
19 A Yes.  
20 Q Because of the prematurity.  
21 A Yes. Yes.  
22 Q Diabetes could be shown later. Not at birth.  
23 A Yeah.  
24 Q Right. And it could even take years for  
25 certain problems to present.

1 A Yes.  
2 Q Delays in speech.  
3 A Yes.  
4 Q Other developmental delays.  
5 A Yes.  
6 Q That you wouldn't know at birth are going to  
7 occur later on.  
8 A Yes, ma'am.  
9 MS. RUE: Sorry. One moment, Your Honor.  
10 (Extended pause)  
11 BY MS. RUE:  
12 Q Sorry. I'm just looking for -- I believe  
13 you testified the things you look for when you're  
14 assessing for abuse. I believe you gave a list of  
15 those. Right?  
16 A You mean the subspecialty work-up?  
17 Q Right. The -- the --  
18 A Yes.  
19 Q -- the things you would look for --  
20 A Yes.  
21 Q -- when you're looking to diagnose or see  
22 whether child abuse is an appropriate diagnosis.  
23 A Correct. We do -- uh-huh.  
24 Q Right. You look for whether there's a  
25 preexisting condition.

1 A Yes.  
2 Q Whether there's subdural hematomas.  
3 A Yes.  
4 Q Retinal hemorrhages.  
5 A Yes.  
6 Q A brain malfunction.  
7 A Yes.  
8 Q Meaning seizures.  
9 A Yes.  
10 Q Lethargy. Whether there's a scalp fracture.  
11 A Yes.  
12 Q Scalp swelling.  
13 A Yes.  
14 Q A neck injury.  
15 A Yes.  
16 Q A limb fracture.  
17 A Yes.  
18 Q A rib fracture.  
19 A Yes.  
20 Q An external body injury.  
21 A Yes.  
22 Q Or an internal body injury.  
23 A Yes.  
24 Q It's fair to say that if all of those --  
25 those are ten different symptoms -- if all of those

1 exist, you are likely to diagnose abusive head trauma.  
2 A Yes.  
3 Q What about if five of those exist?  
4 A Depends what five.  
5 Q Which five?  
6 A Depends.  
7 Q You tell me.  
8 A Oh, it depends on what symptoms and the  
9 developmental stage of the child. So, if Darryl has  
10 facial bruising, he can't move, he's not ambulatory,  
11 how did that happen? Without an explanation of  
12 trauma, that's very concerning. Right.  
13 Q But Darryl didn't have that; right?  
14 A No.  
15 Q Okay.  
16 A Now, if you find a fracture in a non-ambulatory  
17 child that can hurt himself through walking or  
18 cruising, and there's no history of trauma, that's  
19 concerning. So the developmental age matters with  
20 regards to what finding means what --  
21 Q Sure.  
22 A -- in what kid.  
23 Q So, what five of them would exist that you  
24 wouldn't necessarily diagnose abusive head trauma?  
25 A In Darryl's case?

1 Q As an expert.  
2 A None of them. It -- you -- there is no specific  
3 abusive head trauma diagnosis with any finding.  
4 Because any finding can be explained by other things.  
5 It's the history in general that you have to take into  
6 account.  
7 Q So, what if a child presented with just two  
8 of those?  
9 A You mean, like what two?  
10 Q Well, tell me which ones are relevant to  
11 you.  
12 A The most -- the findings that are most specific  
13 for the diagnosis of inflicted trauma is, for example,  
14 severe retinal hemorrhages in the pattern described,  
15 that's 96 percent specificity. Absence of external  
16 trauma is 83 percent specific or the positive  
17 predictive value. Subdurals, --  
18 Q Okay. So --  
19 A -- not really. A pattern mark is very  
20 significant, very specific for trauma, as a slap mark.  
21 So it really depends on the finding and the context  
22 and the history. So just -- I can't tell you that  
23 having a broken bone is going to be abusive head  
24 trauma. A skull fracture even cannot be -- might not  
25 be abusive head trauma at all. No specific finding is



1 diagnostic of abuse.

2 Q Right. But you just testified that it's  
3 fair to say, if a baby or a child, a young child  
4 presented with all ten of those -- or, pardon me --  
5 it's eleven of those, it's fair to say you would  
6 diagnose abusive head trauma.

7 A Without a history, and without metabolic  
8 disorder, and without osteogenesis imperfecta, and no  
9 accidental, yes.

10 Q Okay. So, if a baby just presented with two  
11 of them, would your finding be to the same degree of  
12 medical certainty?

13 A It -- so --

14 Q Well, --

15 MS. CRAVEIRO: Objection; asked and answered.

16 THE WITNESS: Yeah, I can't diagnose abusive  
17 head trauma --

18 THE COURT: One -- one second.

19 THE WITNESS: -- based on findings.

20 THE COURT: I'm going to overrule --

21 THE WITNESS: Sorry.

22 THE COURT: I'm going to overrule the  
23 objection.

24 THE WITNESS: I'm sorry.

25 THE COURT: Go ahead.

1 BY MS. RUE:

2 Q So the amount of symptoms that exist, does  
3 that affect to the degree of medical certainty the  
4 conclusion you come to?

5 A Yes.

6 Q Can you explain that?

7 A So, after a thorough evaluation of the case, the  
8 patient, taking into account the possibility and  
9 excluding medical diagnosis that can account for those  
10 findings, after definitely excluding no history of  
11 accidental trauma, no inherent condition in the kid to  
12 give him that finding, then the more injuries find --  
13 found increases specificity for inflicted head injury  
14 or inflicted trauma. If that finding is severe  
15 retinal hemorrhages, which have only been described in  
16 very few conditions, that increases the specificity to  
17 the 96-7 percent. So the findings are taken always in  
18 the context of ruling and overview of the evaluation,  
19 then the specificity of those things can be supportive  
20 of a diagnosis of abusive head trauma with confident  
21 medical certainty.

22 Q So what effect does it have on your degree  
23 of medical certainty?

24 A I just said that.

25 Q I -- I -- I -- and perhaps I don't

1 understand. I understand how all of those things  
2 matter.  
3 A Okay.  
4 Q But with the absence of eight of them, is  
5 your diagnostic -- diagnosis likely to be the same as  
6 it is if ten of them exist?  
7 A So, in this case the subdurals, the retinal  
8 hemorrhage and altered mental status yes, that is  
9 confident within medical certainty that it is.  
10 Q And what if there was bruising, rib  
11 fractures, limb fractures, brain bruises?  
12 A Even more.  
13 Q Even more. Now, you remarked about how Lucy  
14 and Darryl had indicated DJ had been fussy during that  
15 time period.  
16 A Yes, ma'am.  
17 Q And had vomited.  
18 A Yes, ma'am.  
19 Q It's fair to say that's a common phenomena  
20 with babies.  
21 A Yes. Yeah.  
22 Q It's not necessarily indicative of any  
23 trauma.  
24 A Nope.  
25 Q But it could show that there is something

1 happening.  
2 A Yes.  
3 Q In the brain.  
4 A Yes.  
5 Q Right? So, when we talk about something  
6 like a limb fracture, when you look at an MRI or an  
7 X-ray, I guess, for a limb fracture, it's going to  
8 look the same whether that bone was broken on --  
9 jumping off a trampoline or if someone purposely broke  
10 it. Right?  
11 A Oh, yes.  
12 Q The actual image itself is not affected by  
13 what caused it.  
14 A That's correct.  
15 Q They look exactly the same.  
16 A Yes.  
17 Q And there was a skeletal survey done on DJ.  
18 A Yes, ma'am.  
19 Q And that was done before your involvement.  
20 A I'm not sure, but --  
21 Q If you want to look at your report, I'll  
22 tell you what page.  
23 A I can -- yeah, I can find the date.  
24 Q It was done on February 14th.  
25 A Okay. Yes. Before I got involved.

1 Q Right. And that was four days after he was  
2 in the hospital.  
3 A Yes, ma'am.  
4 Q And there was no evidence of an acute  
5 fracture.  
6 A Nope.  
7 Q No evidence of a healing fracture.  
8 A No, ma'am.  
9 Q And what that means is there was no bone  
10 that was currently broken, healing.  
11 A Correct.  
12 Q And no sign that a bone had been broken and  
13 already healed.  
14 A Yes, ma'am.  
15 Q After you saw Darryl there was a second scan  
16 done for broken bones.  
17 A Yes.  
18 Q And that was done on February 24th.  
19 A Yes, ma'am.  
20 Q Ten days after this prior scan.  
21 A Yes.  
22 Q And you noted that it was unremarkable --  
23 that it was an unremarkable study.  
24 A Yes.  
25 Q And that means, because it showed no acute

1 fractures.  
2 A Right.  
3 Q And no healing of fractures.  
4 A No, no -- no healing fractures.  
5 Q It showed neither acute fractures --  
6 A Yes.  
7 Q -- and it did not show healing fractures.  
8 A Correct.  
9 Q Meaning his bones had not been broken.  
10 A Yes. No.  
11 Q That includes his ribs.  
12 A That includes his ribs, yes.  
13 Q Now, DJ had no neck injuries; correct?  
14 A None that were identified.  
15 Q None that were identified.  
16 A Right.  
17 Q Right. And this is a case where they were  
18 looking. There was concerns after the subdural  
19 hematomas were found, they were looking, suspicions of  
20 child abuse.  
21 A Yes.  
22 Q Suspicions of shaken baby.  
23 A Yes. Yes.  
24 Q No neck injuries were noted.  
25 A No, ma'am.

1 Q When you examined DJ, --  
2 A Yes.  
3 Q -- you didn't notice any neck injuries.  
4 A No.  
5 Q But he was whiplashed so violently that his  
6 brain was damaged.  
7 A Yes.  
8 Q He was whiplashed so violently that his eyes  
9 were damaged.  
10 A Yes.  
11 Q That his brain bled.  
12 A Yes.  
13 Q That his eyes bled.  
14 A Yes.  
15 Q But no injuries to his neck.  
16 A Right.  
17 Q And as we talked about, Dr. Chaudhary, who  
18 you're familiar with, does note spinal ligamentous?  
19 A Ligamentous.  
20 Q Is that -- ligamentous abnormalities --  
21 A Yes.  
22 Q -- are in a very high percentage of abusive  
23 head trauma victims.  
24 A Yes.  
25 Q And none existed in this case.

1 A No. By imagine.  
2 Q Or your findings.  
3 A Imagine is not 100 percent to identify those  
4 lesions.  
5 Q No one diagnosed DJ with neck injuries.  
6 A Correct.  
7 Q Looking for specifically child abuse.  
8 A Correct. By imaging and on physical exam he had  
9 none.  
10 Q Under no examination did he have neck  
11 injuries.  
12 A No.  
13 Q The finding of abusive head trauma is  
14 essentially a biomechanical finding. Right?  
15 A No.  
16 Q Well, it's acceleration and deceleration  
17 forces causing these injuries.  
18 A It's a clinical diagnosis.  
19 Q But it's from biomechanics.  
20 A No.  
21 Q Why is that no?  
22 A Because biomechanics have not yielded anything  
23 conclusive regarding trauma.  
24 Q Right. They haven't proven your premise.  
25 A They haven't proven any premise.

1 Q Well, they haven't proven the premise that  
2 acceleration and deceleration caused these injuries.  
3 A Oh, no, they have proven that. Whiplash causes  
4 injuries. They've proven that in monkeys, --  
5 Q They --  
6 A -- but that's about it.  
7 Q They didn't prove these injuries though.  
8 A That's correct. Only --  
9 Q They prove --  
10 A -- concussion.  
11 Q -- neck injury. And concussion?  
12 A Concussion is --  
13 Q And neck injuries.  
14 A -- the only one.  
15 Q And not subdural hematomas.  
16 A Correct.  
17 Q And not retinal hemorrhages.  
18 A Not retinal hemorrhages.  
19 Q They proved neck injuries.  
20 A They proved tiny subdurals in the craniocervical  
21 and the surface of the brain, and concussion. The  
22 study was about concussion.  
23 Q Right. And a small percentage had those  
24 subdural hematomas.  
25 A Small percentage, yes.

1 Q And none of them had retinal hemorrhages.  
2 A I don't know if they ever looked for that, but  
3 yes.  
4 Q That we know of, none were found.  
5 A No.  
6 Q Right?  
7 A Yes.  
8 Q And so when we talk about biomechanics, your  
9 opinion is based on acceleration and deceleration of a  
10 baby.  
11 A Correct. Of the head.  
12 Q Of the baby's head.  
13 A Yes.  
14 Q Causing retinal hemorrhages.  
15 A Yes.  
16 Q Causing subdural hematomas.  
17 A Yes.  
18 Q Can you explain what acceleration and  
19 deceleration forces are?  
20 A It's just movement of the head in different  
21 planes inside the intracranial cavity.  
22 Q Okay. And can you explain them?  
23 A More than that?  
24 Q Yeah.  
25 A No.

1 Q Okay. You write that the tearing of the  
2 bridging vein in DJ's brain caused his subdural  
3 hematomas.

4 A That's the mechanism --

5 Q Okay. What study --

6 A -- in his skull.

7 Q -- shows that there is a tear of a bridging  
8 vein?

9 A No study shows that here is a tear in a bridging  
10 vein. There -- the assumption is, for conditions such  
11 as BESS, that the increased diameter of the  
12 subarachnoid spaces places tension on those bridging  
13 veins -- that's all we know about bridging veins --  
14 and causes them to rupture on the dural end of the  
15 membrane.

16 Q So what study shows that subdural bleeding --  
17 or pardon me -- subdural hemorrhage bleeding can  
18 happen?

19 A So, subdural hemorrhages has been shown in  
20 studies where macrocrania has been evaluated and the  
21 incidental finding is subdural blood. But that is  
22 only in the minority of cases, 2.5 to 5 percent.

23 Q Right. And there is no study that shows the  
24 tearing of the bridging vein; right?

25 A No.

1 Q And you don't know what force level would do  
2 that.

3 A No. We don't know injury thresholds for infants.

4 Q And that's what we're dealing with.

5 A Correct.

6 Q Injury thresholds of an 11-month-old.

7 A Correct.

8 Q A premature 11-month-old.

9 A Yes.

10 Q And you are not an expert on biomechanics,  
11 as we discussed; right?

12 A No.

13 Q You don't have any training in biomechanics.

14 A And -- no, ma'am.

15 Q And -- or on impact.

16 A No, ma'am.

17 Q But your findings are based on shaking.

18 A On the medical literature, yes.

19 Q Your medical evaluation is based on the  
20 premise of shaking.

21 A Yes.

22 Q When you conducted the evaluation of DJ, you  
23 didn't notice any grip marks on his body; right?

24 A No.

25 Q The doctors who evaluated DJ prior to you

1 didn't notice any grip marks on him.  
2 A No, ma'am.  
3 Q And what I mean is if a baby was grabbed  
4 around the rib cage and shaken, there were no marks  
5 there.  
6 A Correct.  
7 Q Okay. When you did your physical  
8 examination of DJ, you didn't notice any bruises on  
9 him.  
10 A Correct.  
11 Q None -- no bruises on his arms.  
12 A No.  
13 Q On his neck.  
14 A Nowhere.  
15 Q His body.  
16 A Nope.  
17 Q Anywhere on his bod -- on his -- anywhere on  
18 the body, I should say. His face.  
19 A No.  
20 Q Okay. And from the scans that were done,  
21 the radiologist, the neurologist didn't notice any  
22 other brain injury; correct? We discussed the  
23 subdural hematomas.  
24 A Correct.  
25 Q And no --

1 A But other brain injury? No.  
2 Q His brain wasn't injured in any other way.  
3 A No, ma'am.  
4 MS. RUE: Judge, I don't know if you want to  
5 break now before I -- it's 2:15.  
6 THE COURT: You're not going to finish;  
7 right?  
8 MS. RUE: No, but I -- I think we'll be done  
9 by 12 on --  
10 THE COURT: Okay. Well, I think Tuesday --  
11 MS. RUE: -- Tuesday.  
12 THE COURT: I think Tuesday we'll start at  
13 9, so --  
14 MS. RUE: That's -- so, Judge, I'm coming  
15 from Essex County. Can we start at 9:30? I think  
16 we'll still be --  
17 THE COURT: We'll start when you get here.  
18 MS. RUE: Thank you. I'll get here as  
19 quickly as I can.  
20 THE COURT: And as we're doing today, we're  
21 finishing when I'm leaving, so --  
22 MS. RUE: Understood, Judge. That makes  
23 sense.  
24 THE COURT: All right.  
25 MS. RUE: I think that's a good place to

1 stop.  
2 THE COURT: Doctor, 9:30 Tuesday?  
3 THE WITNESS: Yes, Your Honor.  
4 THE COURT: Okay. Now, look. You're still  
5 under oath.  
6 THE WITNESS: Yes.  
7 THE COURT: Don't talk to anybody from the  
8 state about this case, nothing of that nature. Okay?  
9 THE WITNESS: Yes, Your Honor.  
10 THE COURT: All right. Thank you.  
11 MS. RUE: Okay.  
12 THE COURT: You heard that, Ms. Craveiro?  
13 All right. Everyone, I'll see you on Tuesday.  
14 MS. RUE: Thank you, Judge.  
15 MS. BIELAK: Thank you, Judge.  
16 THE COURT: Before you go, if you have those  
17 items, those S items, --  
18 MS. CRAVEIRO: Yes.  
19 THE COURT: -- that are in evidence, leave  
20 them on this desk.  
21 Okay, Em. We're off.  
22 (Hearing adjourned at 2:12 p.m.)  
23  
24  
25

1 CERTIFICATION  
2

3 I, TERRY L. DeMARCO, the assigned transcriber, do  
4 hereby certify the foregoing transcript of proceedings  
5 recorded on CourtSmart, Index Nos. from 10:45:54 to  
6 12:26:45 and 12:46:03 to 2:12:25, is prepared to the  
7 best of my ability and in full compliance with the  
8 current Transcript Format for Judicial Proceedings and  
9 is a true and accurate compressed transcript of the  
10 proceedings, as recorded.  
11  
12  
13

14	<u>/s/ <b>Terry L. DeMarco</b></u>	<u>AD/T 566</u>
15	Terry L. DeMarco	AOC Number
16		
17		
18	<u>KLJ Transcription Service</u>	<u>09/28/20</u>
19	Agency Name	Date
20		
21		
22		
23		
24		
25		