

SUPERIOR COURT OF NEW JERSEY
LAW DIVISION, CRIMINAL PART
MIDDLESEX COUNTY
INDICTMENT NO. 17-06-01785
APP. DIV. NO. _____

STATE OF NEW JERSEY, :
 :
 vs. : TRANSCRIPT
 :
 : OF
 DARRYL NIEVES, :
 :
 : FRYE HEARING
 Defendant. :
 :

Place: Middlesex County Courthouse
56 Paterson Street
New Brunswick, NJ 08903

Date: September 30, 2020

BEFORE:

HONORABLE PEDRO J. JIMENEZ, JR., J.S.C.

TRANSCRIPT ORDERED BY:

CAROLINE V. BIELAK, ESQUIRE, A.D.P.D. (Office of
the Public Defender, Middlesex Region)

APPEARANCES:

VANESSA I. CRAVEIRO, ESQUIRE, A.P. (Monmouth
County Prosecutor's Office)
Attorney for the State of New Jersey

CAROLINE V. BIELAK, ESQUIRE, A.D.P.D.
DANICA L. RUE, ESQUIRE, A.D.P.D. (Office of the
Public Defender, Middlesex Region)
Attorneys for the Defendant

Transcriber: Tammy DeRisi, AD/T 518

Agency: KLJ Transcription Service, LLC
P.O. Box 8627
Saddle Brook, NJ 07663
(201) 703-1670
www.kljtranscription.com
info@kljtranscription.com

Digitally Recorded
Operator - Noemi Ramsammy

I N D E X

<u>WITNESSES FOR</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
<u>THE STATE:</u>				
JULIE MACK, M.D.				
By: Ms. Rue	9			
By: Ms. Craveiro		97		
By: Ms. Rue			126	
By: Ms. Craveiro				130
By: The Court	132			
By: Ms. Craveiro				135
 <u>EXHIBITS</u>			<u>IDENT.</u>	<u>EVID.</u>
D-8	C.V. of Dr. Julie Mack		24	24

1 (Hearing commenced at 10:45 a.m.)
2 THE COURT: All right. Let me know when
3 we're ready to continue with this witness.
4 MS. RUE: Begin with Dr. Mack, Judge?
5 THE COURT: Yes.
6 MS. RUE: Okay.
7 THE COURT: And Dr. Scheller is coming back
8 on the 15th, right?
9 MS. RUE: Yes. And we -- I guess we'll wait
10 for Ms. Craveiro to come in. I'm just wondering if we
11 should -- because the next witness was supposed to be
12 October 13th. He is also available the week after, so
13 I don't know if the Court wants --
14 THE COURT: Wait. You have three doctors?
15 MS. RUE: Three doctors.
16 MS. BIELAK: Yes.
17 THE COURT: Well, we're going to have to keep
18 rolling it.
19 MS. RUE: Okay. So we can finish Dr.
20 Scheller on the 15th, and do Van Ee on the 15th, just
21 for continuity, so to not have it broken up too much.
22 Or we could --
23 UNIDENTIFIED SPEAKER: Do you want me to get
24 her?
25 MS. BIELAK: Yes.

1 MS. RUE: Yes. Thanks. I'm letting our
2 witness know to join that waiting room.
3 THE COURT: Well, Dr. -- all right.
4 MS. BIELAK: This is what we were thinking,
5 Judge. We were talking about it last night.
6 THE COURT: Mack. Dr. Julie Mack?
7 MS. RUE: Dr. Julie Mack. Yes. And then,
8 Judge, she -- I sent to -- she sent a PowerPoint this
9 morning, just demonstrative images, mostly that are in
10 her report. I don't believe the State has an
11 objection. She can address it, but we don't even have
12 to enter it into evidence. It's more so for
13 understanding of anatomy and things.
14 THE COURT: All right.
15 MS. RUE: But I have a color copy of her
16 report for the Court, just to help --
17 THE COURT: Okay.
18 MS. RUE: -- see the images a little better
19 than --
20 THE COURT: We're going to straight until one
21 o'clock today. All right?
22 MS. RUE: Okay. Thank you.
23 (Pause)
24 THE COURT: This is the exhibit that you're
25 going to use?

1 MS. RUE: This is her report, Judge. I just
2 was --
3 THE COURT: Oh. All right.
4 MS. RUE: -- e-mailed this morning the
5 PowerPoint that she sent this morning for demonstrative
6 purposes of the anatomy. It's -- almost every image is
7 in that report.
8 THE COURT: So this was --
9 MS. RUE: This would just be on a PowerPoint,
10 so this is just for the Court's reference.
11 THE COURT: Okay.
12 MS. RUE: The only thing I believe she added
13 were a couple of different things that are words on
14 this -- to explain anatomy. She says she doesn't have
15 to go into them. If there is any objection. But also
16 there's an added image of what the tearing of a
17 bridging vein looks like in the PowerPoint, just for --
18 THE COURT: Okay.
19 MS. RUE: -- demonstrative purposes when she
20 testifies to that. In terms of scheduling I don't know
21 what the Court's preference or the State's preference
22 is. Obviously we aren't continuing this morning with
23 Dr. Scheller because of his scheduling conflicts.
24 THE COURT: Right.
25 MS. RUE: The next time available for him to

1 appear in person was at nine o'clock on October 15th.
2 THE COURT: Yup.
3 MS. RUE: We had scheduled Dr. Van Ee for the
4 13th, obviously anticipating we would have concluded
5 those other testimonies prior to that.
6 We confirmed with Dr. Van Ee this morning
7 that he is available the week of the 19th if -- if we
8 wanted, for continuity's sake, to finish Dr. Scheller
9 before we began with Van Ee, or we can do Dr. Van Ee on
10 the 13th. It was really whatever was --
11 THE COURT: Dr. Scheller can't come before
12 the 15th?
13 MS. RUE: Correct. So we could -- what we
14 were saying is we can conclude with Dr. Van Ee in its
15 entirety versus having it broken up even further than
16 it already is.
17 THE COURT: Right. Dr. Van Ee starts on the
18 13th.
19 MS. RUE: Okay.
20 THE COURT: Roll until either the end of the
21 day or you're done with her, whichever comes first.
22 MS. RUE: Right.
23 THE COURT: And then the 15th we'll finish
24 Dr. Scheller. If you want Dr. Van Ee to make herself
25 available for the 15th so we can roll into her after

1 Dr. Scheller, that's up to you.
2 MS. RUE: Okay. So --
3 THE COURT: So -- but then there was another
4 date scheduled after the 15th?
5 MS. RUE: There is not.
6 MS. BIELAK: No.
7 THE COURT: Okay.
8 MS. RUE: The 13th was intended to be the
9 end, it was just more so --
10 THE COURT: Not a problem with that.
11 MS. RUE: -- the issue was breaking it up
12 more. I was just --
13 THE COURT: Yes. Yes.
14 MS. RUE: -- proposing that as an option,
15 Judge.
16 COURT CLERK: Judge, Dr. Mack is in the
17 waiting room, so whenever you're ready I can bring her
18 in.
19 THE COURT: All right. Let's do it, then.
20 (Pause)
21 COURT CLERK: Can you hear us, Dr. Mack?
22 DR. MACK: I certainly can. Can you hear me?
23 COURT CLERK: Yes, we can. Thank you.
24 THE COURT: All right. Dr. Mack, good
25 morning. Thank you for being with us. Doctor, I'm

1 going to swear you in in a second, and then we're going
2 to proceed with the attorneys' examination of you.
3 Okay?
4 DR. MACK: Sounds great. Thank you.
5 THE COURT: All right. The first thing I
6 need to do is identify that this is the continuation of
7 a 104 hearing that we started on the 25th, I believe.
8 I'll double check that. I'm making sure with the
9 clerk.
10 MS. RUE: The 24th.
11 THE COURT: 9/24. September 24th. The
12 matter of State v. Darryl Nieves. The Indictment is
13 17-06-785 on File 17-837. Let me just have everyone
14 put their appearances on the record.
15 MS. CRAVEIRO: Good morning. Vanessa Craveiro for
16 the State.
17 MS. RUE: Good morning, Your Honor. Danica
18 Rue and Caroline Bielak on behalf of Darryl Nieves, who
19 is in the hallway. I believe your officer is going to
20 grab him now.
21 THE COURT: All right. Ms. Bielak, are you
22 putting your appearance on the record?
23 MS. BIELAK: Ms. Rue did, but Caroline Bielak
24 on behalf of Darryl Nieves.
25 THE COURT: Okay. So, the record should

1 reflect Mr. Nieves is here. All right. Who is
2 conducting the direct?
3 MS. RUE: I am, Your Honor.
4 THE COURT: All right. Thank you.
5 MS. RUE: Thank you.
6 DIRECT EXAMINATION BY MS. RUE:
7 Q Good morning, Dr. Mack.
8 A Good morning.
9 Q Can you hear me okay?
10 A I can.
11 Q Okay. Great.
12 A If you hold that tone of voice, I can. Otherwise
13 it's a little muffled.
14 THE COURT: Okay.
15 Q Okay.
16 THE COURT: Ms. Rue, probably so that you can
17 take advantage of a microphone, you'll probably even be
18 able to move over here, closer to that one, or hold
19 that one up --
20 MS. RUE: Okay.
21 THE COURT: -- to amplify your voice.
22 MS. RUE: Or -- I can --
23 THE COURT: Whatever you prefer.
24 MS. RUE: I hate to stay seated. I guess --
25 I guess for now --

1 THE COURT: No, you don't have to -- you can
2 stand right over there, but use that microphone.
3 MS. RUE: Yeah.
4 THE COURT: Turn it around.
5 MS. RUE: I'll stay seated for now, Judge,
6 and --
7 THE COURT: Are you sure?
8 MS. RUE: -- we'll see how that goes. Thank
9 you.
10 THE COURT: Okay.
11 BY MS. RUE:
12 Q Good morning, Dr. Mack. Where did you go to
13 school?
14 A Can I ask a question? Was I supposed to be sworn
15 in?
16 THE COURT: Oh, yes. I didn't do that? Yes.
17 I said I was going to do that, too. All right.
18 J U L I E M A C K, M.D., DEFENSE WITNESS,
19 SWORN/AFFIRMED.
20 THE COURT: Okay.
21 MS. RUE: Thank you.
22 BY MS. RUE:
23 Q Dr. Mack, where did you attend medical
24 school?
25 A I attended medical school at Harvard Medical

1 School in Boston.

2 Q And when did you complete schooling there?

3 A I graduated 1990 is when I got my M.D. degree from
4 there.

5 Q And are you licensed to practice medicine
6 currently?

7 A Yes, I am.

8 Q Where did you do your residency?

9 A I was at Baylor University Hospital in Dallas,
10 Texas.

11 Q And what does a residency entail?

12 A So, a residency is training in a particular part
13 of medicine. So my training was in radiology, which is
14 the interpretation of images in the context of patient
15 care.

16 Q And when you say images, what are you
17 referring to?

18 A Any medical imaging, so chest x-rays, abdominal x-
19 rays, CT scans, MRIs, ultrasounds.

20 Q And are you -- I'm sorry. Where are you
21 licensed to practice medicine?

22 A Pennsylvania now.

23 Q And are you board certified?

24 A Yes, I am.

25 Q What board certifications do you hold?

1 A I hold a board certification by the American Board
2 of Radiology, which is the general certification for
3 radiologists. And then I have an additional
4 certification called a Certificate of Added
5 Qualifications in pediatric radiology.

6 Q And can you explain a little further what
7 that means, that added certification?

8 A So, a board certification, general board
9 certification is required most places just to practice
10 in the field of radiology. The additional
11 certification is not required, but is undertaken for
12 those physicians who may have a particular interest or
13 a desire to practice in a particular area. It's just
14 an additional step also given by the board, but that
15 particular certification requires recertification, so I
16 -- I participate in recertification under something
17 called a maintenance certification, which is not a
18 board -- there are actually weekly questions they send
19 me.

20 Q It's weekly questions? And those are sent
21 from whom?

22 A The Board of Radiology.

23 Q The --

24 A I don't have to answer them every week. I just
25 have to answer so many a year.

1 Q Okay.

2 A Sorry. I usually let them pile up and then answer
3 a number at a time.

4 Q So it's an annual amount of questions that
5 you have to answer to remain that certification?

6 A That's right.

7 Q And you've done that?

8 A I -- yeah. I'm maintaining that certification.
9 And you can find that on line by searching my name
10 under the American Board of Radiology (indiscernible).
11 It will give you that information.

12 Q And do you practice in pediatrics?

13 A Radiology. I practice in pediatric radiology, not
14 pediatrics.

15 Q Where do you work now?

16 A Penn State Hershey Medical Center.

17 Q And what are your job responsibilities there?

18 A I work part-time. I'm currently the Division
19 Director of Breast Imaging, and then (indiscernible)
20 the Breast Center, which is joint (indiscernible)
21 breast imaging and breast surgery.

22 Q And how long have you been in that position?

23 A As Director, Division Chief, since late 2018, I
24 believe.

25 Q And what did you do prior to that job

1 responsibility? What was your title at that point?

2 A Well, I was in the Breast Imaging Division. So I
3 came to Hershey about -- I think it was 2006, late
4 2006, September, October, and then in that role when I
5 first got there I rotated through two divisions. I
6 rotated in -- through the E.R. and something called
7 Abdominal Imaging and Breast Imaging. But about five
8 years after I got to Hershey I moved only to Breast.
9 They needed me in Breast to cover the service, so for
10 about ten years I've been just doing breast imaging
11 there. Breast imaging and intervention. We do
12 biopsies, too.

13 Q Okay. So if your responsibilities, your day-
14 to-day -- pardon me. I should say what are your day-
15 to-day responsibilities?

16 A So, I interpret imaging studies is what
17 radiologists do. In the context of breast imaging it's
18 discussing those results with the patients,
19 recommending additional testing, performing some of the
20 additional testing (indiscernible), biopsies where
21 findings may require biopsy -- quarterly has biopsies
22 with Pathology. That's a huge part of our job, to make
23 sure they match, that we've explained why the imaging
24 looks the way it does. And then because it's a
25 teaching institution I teach both medical students and

1 residents.

2 Q And how long have you been a teacher of that?

3 A Since coming to Hershey.

4 Q And that was in 2006?

5 A '06. Yeah.

6 Q Okay. And so if your day-to-day
7 responsibilities don't entail pediatric radiology, why
8 do you maintain that added certification, and why do
9 you keep up with that?

10 A So, I still have an interest in pediatric
11 radiology. I still -- I've published in pediatric
12 radiology. That's actually most of the publications
13 that I think are particularly meaningful in that field.
14 And I still consult in pediatric cases using the
15 knowledge of anatomy that I've gained both in training
16 and in research, to help whomever is asking understand
17 more about what imaging can and cannot do, what
18 underlies -- what's the matter that underlies the
19 imaging (indiscernible). And that I do to this day.
20 And that maintenance -- I would say first it actually
21 requires me to maintain or participate in what's called
22 maintenance of certification. Mine is in peds, so --
23 I'm also doing what the consortium requires me to.
24 That's part of my requirements. Yes.

25 Q Okay. Great. And I believe you said this,

1 but do you participate in research?

2 A Yes. In both breast imaging, and then the
3 anatomic research is -- it has -- so a lot of the
4 research from the papers that I've written are actually
5 delving back into the literature that already exists,
6 really looking at things in parts so I can better
7 understand, or people will understand why I was seeing
8 the things that I was seeing that weren't well
9 explained.

10 Q And so in that research does that entail
11 research into the pediatric radiology that you've
12 discussed?

13 A Well, the research which I've published and given
14 lectures in has to do with the anatomy, so that -- of
15 the meninges or the covering surrounding brain, the
16 things that drain the brain, so yes, that -- that
17 intersects with pediatric radiology. It's only a small
18 part of it, but that's -- that's in pediatric patients.
19 The same anatomy exists in adults. It's not like it's
20 limited to pediatrics, but the anatomy is what sparked
21 my interest.

22 Q And you said you've been published before,
23 correct?

24 A Yes.

25 Q What -- can you list some of your

1 publications that would be of relevance to this
2 hearing?

3 A Well, starting with a publication in 2009, that
4 was just an ad hoc review of what is known and not
5 known about the anatomy and physiology of human
6 injuries of coverings that surround the brain. A
7 couple more papers specific to dural anatomy, which is
8 one part of the meninges. And what lives there, what
9 we can -- what we -- what could have -- what that
10 anatomy could mean in terms of physiology.

11 (Indiscernible) onset, were there vessels that were
12 there, what are the things that could be related to the
13 lymphatic system? So those are the kinds of things
14 that -- that usually apply when I'm asked to discuss
15 anatomy relevant to dural collections, as in this case.

16 Q And what has your research into subdural
17 hemorrhages shown you?

18 A So, I think the biggest thing it's shown is that
19 what I was taught, which was subdural hemorrhages are
20 caused only by bridging vein rupture, that's incorrect,
21 that there are other structures, anatomic structures,
22 that can give rise to subdural fluid collections other
23 than bridging veins. It's not that bridging veins
24 can't cause subdurals, they can. But what you can't
25 do, so that the outcome of that research is you can't

1 look at a subdural and presume bridging vein rupture.
2 That's the big thing. Because I was taught that that's
3 what you could do, because that's the only thing that
4 could cause them, so then that's what you knew had
5 happened is that bridging vein had ruptured. That's
6 incorrect. The anatomy shows that that's incorrect.
7 Well, pathology does, too.

8 Q And to be clear, when you say that's what you
9 were taught, are you referring to medical school?

10 A Well, medical school and in my fellowship in
11 pediatric radiology. That's -- that was predominant.
12 I think it's often relied on even today, even though
13 the anatomy is more complex than that.

14 Q And what specific research has shown you that
15 bridging vein ruptures are not to be presumed when
16 there's subdural hemorrhages?

17 A That there's another source within the dura
18 proper, so the dura is the membrane closest to the
19 skull, and I was taught, and many still are taught that
20 that's just this kind of benign, fibrous membrane, dura
21 mater actually just means tough matter. That's what
22 we're taught. That's where I got the name. But that's
23 not what the dura is. The dura is full of little
24 vessels, much more in a baby, or an infant, or a young
25 child, compared to an adult's, full of nerves, capable

1 of producing fluid on its own. We call them subdural
2 effusions.

3 So, the bridging vein goes across that
4 membrane. It goes across the dura on its way towards
5 the dural sinus, which is a big vein (indiscernible)
6 big veins that carry blood back to the heart. So we
7 never want to forget the bridging veins. They exist,
8 and we know that. But we also don't want to ignore
9 this very complex anatomic -- these structures within
10 the dura that can give rise to some of the things we're
11 seeing on imaging.

12 Q Have you spoken at conferences before?

13 A Yes.

14 Q Can you list any of them that would be
15 relevant for this hearing?

16 A So, they're in my C.V., but it's been in the U.S.
17 and overseas. So, Sweden was, I think, the most recent
18 one I was overseas. I think Illinois was the most
19 recent one in the U.S.

20 Q And what were the topics that you were asked
21 to speak about?

22 A I was -- we were talking about the anatomy and
23 physiology of the dura and membrane surrounding the
24 brain, that (indiscernible) in fact, what we're seeing
25 on review.

1 Q Was it related --

2 A And how that should (indiscernible). Go ahead.

3 Q I apologize, Dr. Mack. Continue.

4 A So, the conference I spoke at in Sweden was a
5 pathology conference, because of course radiology is
6 just having a shadow of what you would see as a
7 pathologist. It's -- it's an image of the person who
8 is still living, obviously, but that conference,
9 radiology and pathology are so tied together, that that
10 conference was actually to a group of pathologists who
11 were at a pathology meeting, so --

12 Q And was that related to pediatrics or just
13 pathology in general?

14 A I beg your pardon?

15 Q Was the conference that you spoke to -- spoke
16 at in Sweden, was that pathology generally or
17 pediatrics specifically?

18 A So, actually I don't think I named the conference,
19 but I'd have to look at my C.V.

20 Q Okay. That's -- that's --

21 A I can look that up.

22 Q That's fine. And --

23 A I was specifically asked to speak about this topic
24 because it -- it was important for the things they were
25 discussing at -- I think it's their yearly pathology

1 meeting.

2 Q And when you say this topic, what are you
3 referring to, Dr. Mack?

4 A The topic of anatomy, so dural collections, veins
5 that traverse the dura. So the -- this was the annual
6 meeting of the Swedish National Board of Forensic
7 Medicine. And the title of my talk was SBS/AHT, or
8 abusive head trauma from a radiologic perspective.

9 Q So you have lectured and written about AHT,
10 abusive head trauma, and shaken baby syndrome before,
11 correct?

12 A About the radiologic findings that gave rise --
13 that can be present in patients who either have been
14 identified as abuse victims, or those that are alleged
15 to have been abused.

16 Q And are you a member of any professional
17 societies?

18 A Yes. Several. Also on my C.V. Standard
19 radiologic organizations.

20 Q Are any of them pediatric radiology
21 organizations?

22 A Yes. I still maintain my membership on the
23 Society of Pediatric Radiology.

24 Q Have you testified as an expert before?

25 A Yes, I have.

1 Q Do you know approximately how many times?

2 A I know how many -- about how many times I've
3 testified in criminal court. I don't keep track of the
4 amount of times, but it's about 32 to 35 times in
5 criminal courts.

6 Q Do you know what states you've testified in?
7 I mean, if you have an idea?

8 A I have -- so -- well, multiple. Florida,
9 Illinois, Pennsylvania, New Jersey, New York. I'd have
10 to go back -- I keep having a running list. It's not
11 fully accurate, but multiple states, and overseas.

12 Q And when you've testified that's been as an
13 expert, correct?

14 A Expert.

15 Q In what field?

16 A Radiology and pediatric radiology.

17 Q And have you testified solely for defense
18 counsel?

19 A (Indiscernible) more recently that's all. You
20 know, those are the only parties who reach out to me.
21 So in Lancaster I was pediatric radiologist on staff so
22 Children and Youth could reach out to me with
23 questions, investigators would reach out to me with
24 questions there, and I from time to time testified in
25 Family Court on behalf of the Children and Youth in

1 Lancaster.

2 Q And, I'm sorry, I believe the State --

3 MS. CRAVEIRO: Just the beginning portion of
4 your answer?

5 Q I believe you said in your career you have
6 not just testified for defense counsel?

7 A Oh, yes. So, the beginning portion I said since
8 2009, about the time that I published that paper on the
9 anatomy, I have been contacted by defendant
10 (indiscernible) almost exclusively. An investigator
11 contacted me not too long ago (indiscernible). I did
12 testify from time to time on behalf of Civil Courts in
13 Lancaster. Children and Youth would be the entity that
14 called on me for testimony.

15 Q And in your understanding is that an
16 organization similar to what's formerly known as DYFS
17 in New Jersey, or what's currently known as DCP&P?

18 A Yes.

19 Q Okay.

20 A That's correct.

21 MS. RUE: At this time I would like to offer
22 Dr. Mack as an expert in the field of radiology and
23 pediatric radiology.

24 MS. CRAVEIRO: No objection.

25 THE COURT: Objection?

1 MS. CRAVEIRO: No.

2 THE COURT: All right. Give me one second.
3 Julie -- is it D? Julie A. Mack. Julie A. Mack.

4 MS. RUE: And Judge, I have Dr. Mack's C.V.
5 that she referenced marked as Defense Exhibit 8.

6 MS. CRAVEIRO: I assume you're putting it in
7 evidence? Or no?

8 MS. RUE: If the Court wants that in evidence
9 --

10 THE COURT: We might as well.

11 MS. RUE: Okay.

12 THE COURT: You said field of radiology,
13 right?

14 MS. RUE: In the field -- and pediatric
15 radiology.

16 THE COURT: And pediatric radiology. All
17 right. I'm going to accept the proffer. The State is
18 not objecting. And Dr. Mack, I'll allow you to testify
19 as an expert in the field of radiology and pediatric
20 radiology. And, Ms. Rue, her C.V. is coming in as --
21 correct?

22 MS. RUE: D-8.

23 THE COURT: D-8. Have you got stickers?

24 MS. RUE: We do, Judge.

25 (D-8 admitted into evidence)

1 THE COURT: All right. Use those stickers,
2 and --
3 MS. RUE: Great.
4 THE COURT: Ms. Craveiro, what are you using?
5 Are you using the pink -- who is using the pink
6 stickers?
7 MS. CRAVEIRO: I have pink and red. I may
8 have used both.
9 THE COURT: All right. Well, anything non-
10 blue.
11 MS. RUE: No blue.
12 THE COURT: You guys use the blue.
13 MS. BIELAK: We're blue.
14 MS. RUE: Yes.
15 THE COURT: Oh. And put it on the back, the
16 last page.
17 MS. RUE: Okay.
18 THE COURT: Or actually, put it on the -- you
19 can leave it there. That's fine. No problem. Yeah.
20 Put it on the front, please. All right. Your witness,
21 Ms. Rue.
22 MS. RUE: Thank you, Your Honor.
23 BY MS. RUE:
24 Q Dr. Mack, you've prepared a PowerPoint
25 presentation for today? Is that right?

1 A I have images specific to Darryl's imaging. That
2 is a PowerPoint specific to anatomy. So I have those.
3 Q Great. I want to start with the anatomy of
4 the brain. I don't know if now would be a good time to
5 access that. If you could share your screen? Or if
6 you would prefer to just testify to it first? Whatever
7 your preference is.
8 A I can -- I think I can share the screen with
9 (indiscernible). I don't know if you can see it there.
10 THE COURT: Yes, we can.
11 THE WITNESS: Okay. Now I'm going to press
12 my slide show, and then see if that -- can you still
13 see my screen?
14 MS. RUE: Okay. It looks like it's receiving
15 the content. There's a bit of a lag.
16 THE COURT: Yes.
17 MS. CRAVEIRO: Yeah. That's what it did to
18 me. It -- it doesn't actually show the screen. Oh.
19 There it goes.
20 MS. RUE: We see that, Dr. Mack.
21 THE COURT: Okay.
22 MS. RUE: It says dural anatomy.
23 THE WITNESS: Yes. So that's just the title
24 of it. We can jump directly to the imaging files. I
25 do have some Word slides in here because this is part

1 of some of the talks that I give, and -- you know, I'll
2 explain why, but this is -- this -- the reason why I go
3 over this in talks and the reason I -- that sometimes
4 it's relevant for the Court is we're talking about a
5 collection that occurs between the dura and the
6 arachnoid. They call it subdural (indiscernible).
7 And --

8 THE COURT: Doctor? Can -- Doctor, can you
9 hold on for a second? If you would do me a favor,
10 because on our end we can see your slide, but we have
11 no idea if it's Slide Number 1, 2, 3 or 4 of your
12 presentation. I'm assuming that --

13 THE WITNESS: Okay.

14 THE COURT: -- all of your slides are coming
15 from the same PowerPoint program that you're
16 presenting. You have a copy of it, Ms. Rue?

17 MS. RUE: Judge, I believe the one that I
18 have that I provided to the State was related
19 specifically to Darryl's anatomy.

20 THE COURT: Okay.

21 MS. RUE: This -- this has the images from
22 her report, along with the Word -- so the State has the
23 images from the report. The only thing that's missing
24 from Dr. Mack's report that's on the PowerPoint are
25 these --

1 THE COURT: Okay.

2 MS. RUE: -- the PowerPoint with words.

3 THE COURT: Okay. My point is this. Doctor,
4 if you wouldn't mind just identifying for us when you
5 are referencing a slide or when you're showing us a
6 slide, what slide number that is, so that way we can
7 keep track of it.

8 THE WITNESS: (Indiscernible).

9 THE COURT: So right now you have Slide
10 Number 2. We can see that it's Slide Number 2. Okay?

11 THE WITNESS: So what -- I'll just keep it in
12 this mode. I won't do it in presentation mode. I'll
13 just keep it in this mode so that you can see the
14 slides that I'm showing.

15 THE COURT: Okay. Whatever you prefer,
16 Doctor. But counselor, your responsibility, both your
17 responsibilities will be keep the slide number because
18 somebody has got to document it for -- for appellate
19 purposes.

20 MS. RUE: Absolutely, Judge.

21 THE COURT: Okay?

22 MS. RUE: So --

23 THE COURT: Because at some point also you're
24 going to have to retrieve copies of these and make this
25 part of whatever record you want to establish.

1 MS. RUE: Right. Understood, Judge.
2 THE COURT: Thank you, Doctor. I appreciate
3 it.
4 MS. RUE: Thank you, Dr. Mack.
5 BY MS. RUE:
6 Q So, it looks like on this PowerPoint, this is
7 related to a general anatomy of the brain. Is that
8 correct?
9 A Of the meninges, the things that slid around the
10 brain and structures that pass through them.
11 Q Okay. And so I just meant that these aren't
12 specific to this case?
13 A Not -- they don't have any specific images of
14 Darryl. That's correct.
15 Q Okay. We'll get to those. Thank you,
16 Doctor. So, what is the dural anatomy?
17 A The dural anatomy is just a description of a
18 structure that lives next to the skull, around the
19 brain. And one of these I understand was discussed
20 already. This is one that was in my report. It comes
21 out of the paper that I wrote. I'll take you through
22 it. This is of course --
23 Q Yes. Can you just give me one moment, Dr.
24 Mack?
25 A -- (indiscernible).

1 Q Sorry. There's a bit of a lag here. So,
2 we're on Image -- or Slide 3 of the PowerPoint, and
3 this was also, I believe, referenced by Dr. Medina just
4 in discussing the general anatomy of the meninges. So
5 this was already seen by the Court. It's in Dr. Mack's
6 report. I apologize. Go on, Dr. Mack.
7 A All right. So, again, it's pictorial drawing of
8 the anatomy. The brain is the beige stuff down here.
9 The bridging vein, all that means is there's a vein
10 that travels from the surface of the brain up through
11 the subarachnoid space, which is this green trabeculae,
12 and into this dural sinus, which is the big triangle.
13 And eventually that dural sinus gives the blood back to
14 the heart. So the significance of the dural sinuses is
15 -- is and the bridging veins is those are the -- kind
16 of the last portion of blood that enters the skull.
17 That's their exit zone. So they exit, all exit through
18 the dura. So the dura is not just a fibrous membrane,
19 it's actually the primary conduit of all the blood
20 that's leaving the brain to get it back to the heart.
21 So, it's important, number one.
22 Next to the dura is the arachnoid barrier
23 membrane, which is yellow here. And the dura and
24 arachnoid are adhered to one another. They're actually
25 cell to cell interfaces. There's no space there, not

1 until one is created by the collection.

2 And the subarachnoid space is noted in green,
3 and that's filled with cerebral spinal fluid. So we'll
4 see that in the dura. The pia is just the membrane
5 closest to the brain.

6 So this is a pictorial drawing. This is
7 Slide 4. It is not Darryl. It is just to show that
8 the bridging veins are large. So this is a cadaver.
9 The bridging veins have been injected with blue latex.

10 And when you look at imaging, and when you
11 look obviously at autopsy, these are visible. So when
12 I say the things are large, I mean they're visible and
13 you can measure them, so they're not microscopic. The
14 amount of blood they carry, again, all of the blood
15 exits the brain through these -- through the pleura, a
16 lot of it through these bridging veins up at the top,
17 so they are -- they're large. You can see them. They
18 carry a lot of blood. They average about six to 12
19 per side when we're talking about the top of the brain.
20 And we know they're strong. They can be tested. This
21 is actually an infant, not Darryl. This is Slide 5.

22 And you can see that the bridging veins can
23 be stretched at autopsy if you're careful and identify
24 them. And they don't break easily. So normal bridging
25 veins are strong. And good thing, since they're

1 carrying so much blood, and are responsible for keeping
2 that blood outside the brain.

3 So this is Slide 6. These are
4 (indiscernible). They're large caliber. The blood
5 flow through them has been measured. If you're talking
6 about trauma and you have normal veins, then a rupture,
7 a true rupture where the vein is, you know, broken into
8 two pieces, that requires force. And then
9 (indiscernible) the ones that I've showed, those are
10 responsible for draining a large amount of blood from
11 the cortex to the brain.

12 So this is -- this is why -- on Slide 6, this
13 is why I was taught, what we know all these things, and
14 we look at a subdural hemorrhage, then we can
15 reasonably say if bridging veins are the only origin,
16 then that took force. So that's where we get into the
17 assumption that a (indiscernible) subdural force must
18 have taken place.

19 And in fact you can get bridging vein
20 rupture. This is an example. This is not Darryl.
21 It's Slide 7. This was a bridging vein rupture
22 confirmed at surgery. So, acute bridging vein rupture
23 is a surgical emergency. There's a lot of blood
24 flowing through that, and they often, you know, shift
25 the brain over to the side. So bridging vein rupture

1 is true, you know, breaks in the vein, are a surgical
2 emergency.

3 Q And what would cause --

4 A So --

5 Q I'm sorry, Dr. Mack.

6 A Go ahead.

7 Q If I may for a moment? What would cause a
8 bridging vein rupture? I believe you just testified
9 that force would?

10 A Right. So if you accept all those anatomic facts,
11 if the patient is normal, you know, quote, unquote,
12 normal, they don't have a collagen disease, they don't
13 have a known disorder that would cause them to
14 hemorrhage from a vein without trauma, like a
15 significant bleeding disorder, hemophilia or something,
16 so if all those things are absent, then if you truly
17 have bridging vein rupture then you can say, well, that
18 took trauma. You can't say how much trauma, because it
19 can be different depending on how old they are, and how
20 -- you know, how -- you can't make any -- without being
21 where we can ask a biomechanical engineer to model what
22 forces might, that you can't say I know how much trauma
23 occurred, all you can say is, well, this bridging vein
24 rupture would require trauma.

25 So, the next kind of anatomic fact

1 (indiscernible), that's -- they're not theoretical,
2 they're -- here's the problem, and this is where I
3 first got interested in it, is -- so we can accept all
4 those things. The problem is you see subdural
5 collections without trauma and without predisposing
6 conditions like collagen diseases, or severe bleeding
7 disorders.

8 So I know -- this is the second number in
9 Slide 8, is BESS, or BEHS, that's been described as an
10 anatomic variation. You see subdural collections in
11 this. This is just a list of some of the things that
12 we can see subdural collections in that don't require
13 trauma. And this is how I got interested in the
14 anatomy because I worked with a group of patients in
15 Lancaster, with a clinic and saw patients that got
16 subdurals without trauma. So that's my -- I kind of
17 backed into this abusive head trauma controversy
18 because I was interested in this non-traumatic
19 collection.

20 Q So I want to interrupt you really quickly,
21 Dr. Mack. So, I'm sorry, which slide is this, just to
22 --

23 THE COURT: Eight.

24 Q Slide eight, correct?

25 THE COURT: Yes.

1 A Slide eight.

2 Q So, when you describe subdurals you're
3 referring to subdural hemorrhages?

4 A Anything that occurs that -- that disrupts the
5 connection between the arachnoid and dura. So it can
6 be fluid. It can be old blood. It can be new blood.
7 Or it can be a combination of blood plus fluid.

8 Q Blood plus fluid. And --

9 A Yes.

10 Q -- what is a subdural hemorrhage?

11 A So -- yes. So that term is used any time there's
12 a collection because -- because oftentimes when you see
13 a subdural, you know, if you're a radiologist sitting
14 in a chair and you've got the thing coming in, you
15 think, oh, subdural hemorrhage (indiscernible) there's
16 trauma, hemorrhage.

17 Q I'm sorry. Can you repeat that just a little
18 bit slower?

19 A So, hemorrhage is sometimes used as a catch all.
20 But you have to go back and look at the data and say,
21 okay, how much of this is hemorrhage, how much of it is
22 fluid, and can you tell the difference, you know, using
23 the imaging modality that you've chosen? You can. You
24 can tell the difference between hemorrhaging and fluid
25 usually. The problem becomes when it's only hemorrhage

1 it can look like fluid, like old, months old, not like
2 a couple of days old, but months old. That's called a
3 chronic subdural, and that used to have blood in it,
4 that used to have blood cells in it, but those blood
5 cells have broken down and the body is starting to
6 remove them so that it's now a fluid collection.

7 Q Okay. And, Dr. Mack, if you don't mind, if
8 you could just slow down a little bit, so -- just so we
9 can follow all of the terminology, which is, as
10 lawyers, a little bit confusing, I think, sometimes.
11 So if you don't mind just slowing down a little bit so
12 we can understand.

13 A Sure.

14 Q I want to ask you, you just -- you said you
15 became interested in this in your study of a population
16 regarding these subdural collections. Can you explain
17 what you were referring to?

18 A Yes. I think it's one of the first presentations
19 in my C.V. There's a clinic in Lancaster called the
20 Clinic for Special Children. Lancaster has a high --
21 has a big population of Amish and Mennonite families,
22 so there's a particular condition that occurs in the
23 Amish called glutaric aciduria. It occurs in more
24 patients than just the Amish. It just happens to occur
25 more commonly --

1 Q What is it called again?

2 A -- that (indiscernible).

3 Q I'm sorry. What was it called again?

4 A Glutaric aciduria -- GA-1.

5 Q Okay.

6 A Capital G, capital A, and then one. Glutaric
7 aciduria. So, Darryl doesn't have that. That's -- so
8 it's not really relevant. It's relevant to the idea
9 that you have subdurals without trauma, because those
10 children, the children with GA-1, do not have an
11 underlying collagen abnormality, and do not have an
12 underlying bleeding disorder. So they have a metabolic
13 condition that predisposes them to hemorrhages without
14 trauma, or collections without trauma, some of which
15 are hemorrhagic.

16 Q And so when you learned that, when you
17 determined that, can you just explain how that, for
18 lack of a better way to put it, sparked an interest in
19 subdural collections without trauma?

20 A Yes. So it was interesting you asked that,
21 because I was taught that in, you know, residency, and
22 fellowship, yeah, you know, subdurals equal trauma,
23 except in these very rare conditions. And I said,
24 okay, that's fine. And I really didn't think about how
25 that was not congruent (indiscernible). I just said,

1 okay, that's a fact. I'll take that and put it in my
2 brain. But when I started working with this
3 population, the director of the clinic said, so, why?
4 And I went, what do you mean, why? And he says, why?
5 Why (indiscernible)? You know, I need you to tell me
6 why, because that's my reason for being here is why do
7 they have the things that they have? And I said, oh, I
8 don't know why. Let me think about that. Let me start
9 looking.

10 And I started, so, kind of embarrassingly, I
11 hadn't -- it hadn't really occurred to me that there
12 was a problem, that we can't invoke rigid vein rupture
13 when it's non-traumatic. So that's why I went looking.
14 That's what sparked my interest. I'm like, oh, good
15 question. So I spent six months looking into
16 (indiscernible) and what lives there, what else could
17 be bleeding, what do we know about this, what's in the
18 literature? And then I happened to coincidentally run
19 into some pathologists who were also studying the dura
20 in infants and newborns. So it kind of came together,
21 those two fields intersected. And the paper was
22 written by me and two pathologists.

23 Q So have you since learned that obviously the
24 special population had a specific condition that sounds
25 pretty specific to them, you've learned that -- is it

1 fair to say that you've learned that other non-
2 traumatic events can cause subdural collections?

3 A Well, again, I had always known this in the back
4 of my mind because, you know, it was taught, but, you
5 know, the occasional kids with meningitis had subdural
6 collections, and I was taught that. This whole concept
7 of benign external hydrocephalus, I was taught that,
8 and that was part of my training. I just never -- I
9 just never asked why. I knew that in BEH or BESS the
10 theory is that, oh, it's -- you know, these veins are
11 stretched across this space, and therefore they're at
12 greater risk for trauma. I don't think it's that
13 simple. (Indiscernible) predispose. But I don't
14 think the anatomy suggests that -- the anatomy demands
15 that we be more careful about saying that we know the
16 cause. We know that they occur together. And we know
17 that they are non-traumatic. But we have to be careful
18 about saying I know what happened to give the subdural
19 collection. I can tell you the physiology that could
20 participate in that. And I don't think that the
21 stretching is not related. It may well be. But it's
22 not the only possibility whereby you can get subdural
23 collections.

24 Q What --

25 A Because you can see (indiscernible) there is no

1 stretching.

2 Q What would bridging vein rupture look like in
3 an infant's brain, or a baby's brain?

4 A So -- yes. So I'm on Slide 7. This is documented
5 bridging vein rupture, not hypothesized. Documented.
6 This baby came in, went into surgery, single frontal
7 bridging vein is ruptured. And that clot was evacuated
8 the vein coagulated, or, you know, the bleeding stopped
9 during surgery. So that's a -- this is just data. It
10 was a single bridging vein rupture. When you look at
11 how much blood is carried through a single vein this
12 makes sense. This is what it should look like. And
13 there are -- actually I have given a lecture about --
14 quoting some of the literature that showed what you
15 expect in volumes in bridging vein ruptures. Acute
16 hemorrhage. So this is a CT scan with acute
17 hemorrhage. Not fluid, but blood. All of this white
18 stuff is blood. That's what is definitive about this
19 -- about CT, but also in this case because he went to
20 surgery. So blood comes out of the veins, and when
21 it's acute it looks like on this study. If it were
22 fluid, it would look like this, this black color. So
23 that's what bridging vein rupture looks like. And it's
24 not a hypothesis, but actually a documented bridging
25 vein rupture.

1 Q What would -- strike that. What is BESS?

2 A What?

3 Q What is BESS? I believe you just testified
4 that that was another alternative explanation of why
5 there would be a subdural collection.

6 A Yes. So it is an anatomic variation that's poorly
7 understood. The initials, BESS, stand for benign
8 enlargement of the subarachnoid space. But it has many
9 different names. That's just one of them.

10 Q And when you --

11 A It's a very (indiscernible) anatomy. It's more
12 fluid than you usually see around the brain. Sometimes
13 in the subarachnoid space, but sometimes in the
14 subdural space.

15 Q And when you say it's poorly understood, what
16 do you mean by that?

17 A We don't understand why some kid get it and others
18 don't, why it tends to be limited, like only occur in
19 infants, and usually resolves by a year or so of age.
20 We know that it's more common in certain subgroups of
21 infants, males. For some reason it's more common in
22 males. Nobody can tell us why that is, but it is more
23 common in males. Sometimes but not always is
24 associated with a big head. It is more common in
25 premature infants. And there's some -- you know,

1 there's been some hypotheses about why that may be so.
2 So we don't know all the time why it occurs and why it
3 goes away. Sometimes we have to treat it. Some of
4 these kids present with findings that require
5 treatment, in other words, a shunt or two to drain the
6 fluid, but not all of them do. Some present with
7 seizures. So that's what I mean by poorly understood.
8 It's a spectrum of presentations. And of course we
9 don't even know how many patients out there that don't
10 develop symptoms since it resolves on its own, and we
11 don't image anybody except those who come in with
12 symptoms. But we're only seeing potentially the tip of
13 the iceberg on that one.

14 Q And other than seizures are there other
15 symptoms that a baby with that anatomic variation of
16 benign enlargement of the subarachnoid space, are there
17 other symptoms that a baby may show other than
18 seizures?

19 A There's a whole spectrum of things. Any symptoms
20 related to increased pressure. Many of these kids
21 actually present with changes in the eyes, lethargy.
22 Any time you have an imbalance in the micro environment
23 around the brain you can get symptoms related to the
24 brain. The brain requires a very stable environment.
25 It is -- it's actually an electrical organ. It's

1 passing electrical signals back and forth between
2 neurons and glia. So any time you alter that micro
3 environment you can present with findings that suggest
4 there's something going on. And that's why they get
5 imaged. Otherwise we don't pluck kids off the street
6 without symptoms. We image because they have symptoms.

7 Q You said -- you reference that there might be
8 symptoms in the eyes. Why would that be?

9 A If there's increased (indiscernible). So I'm not
10 talking about retinal hemorrhages. That's something
11 that we look into (indiscernible) the kid presents with
12 downward gaze. That's when -- that's an exhibited sign
13 of increased (indiscernible) pressure, and then they
14 get imaged, and we find a variation of this anatomy.

15 Q Doctor, as an expert in this field if you
16 were to hear that -- what do you think of the premise
17 that a child that presents with BESS would have a
18 rupture in the bridging vein with very little trauma?

19 A So, I would agree -- sorry -- I would agree that
20 you can get subdural collections in the ESS with very
21 little trauma. When, again, we talk about ruptured
22 veins it's a large vessel. It's carrying a large
23 volume of blood. So I would not agree with the word
24 rupture. I think you could argue that the stretching
25 could produce some leakage, maybe. But not rupture.

1 Rupture is -- you saw the slide where the scar was
2 being stretched away. Those veins don't rupture, truly
3 break, without trauma. But the stretch can, you know,
4 cause other things to happen, including leaking, a clot
5 forming in the vein because the stretch could produce
6 changes in the lining of the vein. We call that the
7 endothelium. And those can lead to subsequent events,
8 including seizures. So there are things that can
9 affect the bridging veins directly because they're non-
10 stretch, but I think rupture would be the wrong word to
11 use.

12 Q Is there anything about the infant brain
13 specifically that make them more vulnerable to
14 bleeding?

15 A To bleeding in the brain or around the brain?

16 Q I'm sorry. I didn't catch that.

17 MS. BIELAK: Bleeding in or around the brain.

18 A So I think the question was is there more --
19 something about the infant brain that makes them more
20 susceptible to bleeding? Is that your question?

21 Q Yes. In or around the brain.

22 A Well, the -- so infants have a particular anatomy
23 in the dura that make them more susceptible to dural
24 bleeding as non-infants. They have more vessels in the
25 dura than an adult. I don't know that I could say

1 overall that infants compared to adults are more likely
2 to bleed. That is a general statement.

3 Q Right. I --

4 A The dura are more likely to bleed than adults
5 because there's more vessels. So blood comes from
6 vessels, they've got more of them. And the vessels I'm
7 talking about in the dura are not something you would
8 pick up the dura and could easily see. These are
9 microscopic vessels. These are little capillaries.
10 Capillaries are the tiny little end vessels that can
11 leak with heart trauma, et. al.

12 Q Dr. Mack, can I ask you to go back to that --
13 I believe it's Slide 2, which is in your report, the
14 anatomy of the brain, the meninges. I'm sorry. That's
15 Slide 3. Pardon me. Can you explain your answer about
16 the vascularized infant brain, just referencing Slide
17 3, where that would be?

18 A Well, it's -- actually I have a better slide of
19 it, but it's in the dura. I don't have the vessels in
20 there because this was just meant to show what
21 (indiscernible) dura looks like by this gray thing.
22 So it's actually later on. I think it's actually the
23 next couple of slides --

24 Q Do you want to show us that?

25 A -- where I show the -- this is Slide 11. It's the

1 same as Slide 2, but it shows the vessels and the dura.
2 So the dural plexus is what I'm referring to.

3 Q Okay. And what is the dural -- pardon me.
4 What are dural plexus?

5 A Well, the plexus is not a name I gave this. This
6 is a name that the anatomists who first described it
7 gave. It gets this -- plexus of small vessels,
8 capillaries that invest the dura, and one of the things
9 that everybody was asking by the time that these were
10 first discovered and still ask to this day is why are
11 they there? Because the dura itself is a fibrous
12 organ. It's not like the heart, or the brain, that
13 requires a lot of vessels just to survive. So these
14 aren't vessels that are there just to support the
15 metabolic needs of the dura. But nevertheless they
16 live there. So that's one really interesting question
17 is why? What are they doing there? So -- but the fact
18 is they live there. And they are much more diverse in
19 babies than they are in adults. They live within the
20 dura proper. And when they bleed you -- the end result
21 of that bleeding if the volume of blood is great enough
22 can be subdural bleeding, subdural hemorrhage.

23 So this is Slide 12. And I believe this is
24 also in my report. Both Slide 11 and 12 are in my
25 report. If the dural plexus bleeds with enough volume

1 you will see subdural hemorrhage. So unrelated to
2 (indiscernible) things. And this bleeding can occur
3 without trauma. So when we have these conditions where
4 bleeding can occur without trauma, if this vessel is
5 normal we can't (indiscernible) there because that
6 vessel has to be strong. We really won't survive
7 (indiscernible) if we didn't have strong bridging
8 veins. Those are really -- those are important vessels
9 that take all the blood and bring it back to the heart.

10 Q And by that I --

11 A Those other ones --

12 Q Just to interrupt --

13 A Sorry.

14 Q -- quickly, because you're pointing with the
15 arrow to the B.V., the bridging vein, when you're
16 saying that vessel you're referring to the bridging
17 vein?

18 A Yes. Yes. And the bridging vein is the strong
19 one. The plexus can bleed without trauma. Certainly
20 trauma can cause bleeding. And in anything if you have
21 an impact to the head and that's enough to disrupt this
22 vascular plexus, there will be bleeding. It's just
23 like any capillary. If there's impact it will bleed.
24 But it can bleed without trauma, whereas the bridging
25 vein, if the bridging vein is normal, normal thickness,

1 normal wall thickness, it shouldn't rupture without
2 trauma. That doesn't make any sense. But these can
3 bleed without trauma.

4 Q And if you can just elaborate for the Court,
5 what are some of those reasons that a dural plexus
6 could bleed without trauma?

7 A Yes. That's the -- that's --

8 Q The slide you showed before.

9 A Yeah. No.

10 Q Okay.

11 A We know the associations, and I can go into, you
12 know, the (indiscernible) of why this is there just
13 based on how the brain grows and how the dura
14 accommodates that growth. But the bottom line is we
15 don't know for certain why kids get benign external
16 hydrocephalus, or (indiscernible), BESS, or, you know,
17 whatever name you want to give it. We don't really
18 understand why they accumulate fluid and meningitis.
19 We don't understand why they get fluid and blood and
20 metabolic diseases, but they do. Autoimmune diseases,
21 there are a couple of autoimmune disease that
22 (indiscernible). So we don't understand that. And I
23 can go through the anatomic structures that live there
24 that could be contributing, but we don't -- we can't
25 possibly determine cause, like A causes B from a

1 physiologic standpoint when we don't even understand
2 why those vessels are there, where they don't need to
3 be there to split the membrane, but they are clearly
4 there for a reason, or we would have lost them in the
5 course of evolution. So they clearly are performing a
6 function.

7 And I understand some of those functions,
8 but, you know, that's probably more than we want to get
9 into, the physiology of how the cerebral spinal fluid
10 gets absorbed in all of that, or they participate in
11 that.

12 Q Okay.

13 A So I think relevant to ask about why, you know,
14 you can get fluid or bleeding without trauma, you
15 really do have to think about fluid, because that --
16 because (indiscernible) fluid in a subdural
17 compartment, it's only that tinged, so not nearly
18 bleeding as we think those capillaries are rupturing.
19 You just get fluid. And that we call a hygroma. But
20 that's definitely what's happening with meningitis.
21 That's fluid coming from the dura in the presence of
22 meningitis. So meningitis affects the pia and the
23 arachnoid, and (indiscernible), that's how meningitis
24 (indiscernible) that space.

25 Q And the pia is --

1 A The response of the dura is in some cases to
2 produce fluid.

3 Q Okay. And the pia on that diagram is the
4 dark green?

5 A The dark green.

6 Q Okay. Dr. Mack --

7 A So --

8 Q Go ahead.

9 A Go ahead.

10 Q No, no. Continue.

11 A So, yeah, so the dura is -- belongs -- I should
12 have said this in the beginning, this arachnoid and pia
13 and the brain, that actually belongs to a very
14 protected space called the -- it's behind the
15 blood/brain barrier, and I call it the protective brain
16 space. That space has to maintain a very unique
17 equilibrium for the brain to function well. A little
18 bit of blood in this space and we could run into
19 trouble, we can start seeing some. Whereas the dura
20 can bleed and you don't have any symptoms at all. Most
21 newborns who have subdural bleeding don't have
22 symptoms.

23 The same with fluid. You could have fluid in
24 that space and you wouldn't know it. You know, until
25 you develop symptoms we don't image you. So -- but

1 that's different than the brain space. This actually
2 has cells -- act more like cells in the spaces outside
3 the brain, so more like spaces in the cells around me
4 rather than the kind of -- the way fluid acts in the
5 brain. The bottom line is these vessels are leaking by
6 themselves, just like vessels -- you know, just like
7 your finger can swell through minor trauma, or just
8 because you know, you're sitting on an airplane they
9 can swell. So the dura can (indiscernible) with
10 trauma, too, because the vessels are like the vessels
11 in the rest of the body.

12 Q And again, that's in the dural space?

13 A The dural space, which is -- the dural space is
14 systemic. It's not brain space. It's actually part of
15 the systemic circulation, which is (indiscernible) the
16 rest of the body. So the vessels participate -- the
17 vessels in the dura are separate from all the vessels
18 that invest the brain.

19 Q So if a patient exhibited subdural
20 hemorrhages why would it be important to look for the
21 presence of fluid in the subdural compartment?

22 A For two reasons. You don't want to inadvertently
23 call subdural fluid hemorrhage, because it's not. And
24 second of all, when you do have hemorrhage, remote
25 hemorrhage in the brain, sorry, in the dura, over the

1 long term, meaning weeks to months, you can actually
2 develop fluid as part of a chronic process that occurs
3 after leaving the dura. So a chronic subdural
4 hemorrhage, all of the fluid that you see in those is
5 not from that episode of bleeding, it's from a
6 combination of the initial blood and then the body
7 trying to heal that -- that disruption of the two
8 compartments. So when you disrupt the dura and
9 arachnoid and you put blood there the response of the
10 body, the systemic circulation is to go in and say,
11 okay, this is a problem, let's try to heal it.

12 Well, in some patients (indiscernible) of
13 fluid and re-bleeding becomes part of it. So you can
14 get this progressive increase in the size of the
15 subdural fluid compartment because (indiscernible) bled
16 a little bit months ago. Again, not all patients get
17 that, but some patients do.

18 Q What are re-bleeds?

19 A So, re-bleeds are -- I think I have to -- I don't
20 think I have it in the slide part, but I have it in the
21 report. Let me see if I -- I have to pull up my -- my
22 report. I can share that, I think, with you.

23 MS. BIELAK: I don't know if we mentioned,
24 you're on Slide 13.

25 MS. RUE: I think she said it. I think she

1 was putting them on the record each time.

2 THE COURT: No. She --

3 THE WITNESS: I can actually point you to
4 Court (indiscernible). If you go to -- I'm slow here.
5 If you go to Page 5 of the report, this is just a
6 published image. I talked about the small vessels that
7 invest the dura normally. They live there normally.

8 When you have previous bleeding part of the
9 repair response of the dura is to produce something
10 called neomembrane. So neo, just new, membrane. So in
11 addition to the dura there's this additional thing
12 there, neomembrane. Within that neomembrane by design
13 are increased vessels, because the vessels are part of
14 the repair process. So those tiny vessels, just like
15 vessels in the dura can bleed. And that's where we can
16 get, you know, this production of a chronic membrane.
17 Not everybody who has a dural bleed gets chronic
18 collections, but all form a membrane before they heal.
19 The neomembrane is part of the pathologic human
20 process.

21 BY MS. RUE:

22 Q Dr. Mack, what is macrocephaly?

23 A Large head. Macro is just large.

24 Q Okay. And how does that relate to what you
25 have been testifying about this morning?

1 A Because some patients who have a large head, this
2 is just, you know, infants with large heads, a subset
3 of those have this condition called BESS, particularly
4 males with large heads. A large head doesn't mean you
5 have it, but it's one of the things associated with it.
6 However, you can have -- particularly in the premature
7 population, you can have expanded spaces, fluid spaces
8 around the brain if you have a large head. And part of
9 the reason for that may be that the premature brain has
10 some atrophy associated with it just from the insult of
11 being born early. If you have atrophy, so lack of
12 appropriate growth, you still have a discordant size of
13 the brain versus size of the skull when you have this
14 enlarged space that makes up the difference.

15 So macrocephaly can be associated with BESS,
16 but it's not a requirement for the diagnosis of BESS.

17 Q In your practice -- can you describe what the
18 scientific method is, Dr. Mack, as a scientist? What
19 is the scientific method?

20 A So, in general the scientific method is how you
21 observe. So we're saying it's observation,
22 investigation, and then drawing from that a hypothesis,
23 and then testing that hypothesis using experiments.
24 And then if the hypothesis fails to confirm, or the
25 testing fails to confirm your hypothesis, then you

1 modify your hypothesis and you try again. It's the
2 classic scientific method.

3 Q Do you utilize that in your practice?

4 A Yes. Well, medicine and science are related, but
5 they're -- because obviously you can't perform
6 experiments. We do make observations, obviously.
7 That's part of our job is we make an observation. We
8 make -- so our differential diagnosis could be a
9 hypothesis. This finding, A, could be caused by, you
10 know, B, C, D and E, and I favor C based on this data.
11 So that would be a hypothesis (indiscernible), and then
12 we would do testing. So, actually let me make this
13 (indiscernible), because this is (indiscernible). I
14 see a mammogram. There's something (indiscernible)
15 doesn't belong. I say, ah, that's abnormal. I need
16 more investigation. I'll get an ultrasound. The
17 ultrasound shows a mass, something that doesn't belong.
18 And I'll say, ah, that could be A, B or C, so, benign,
19 malignant or infection. So let's do a biopsy. That
20 would be the test to confirm whether -- if I thought it
21 was benign, not cancer, I would do a biopsy to confirm
22 my hypothesis that it was benign. If I thought it was
23 cancer I would do a biopsy to confirm that. So the
24 confirmation process, the testing, when you have that
25 confirmatory test, that's obviously critically

1 important. You wouldn't treat for breast cancer unless
2 we had that confirmation test, that positive pathology.

3 Q Is there a confirmatory test that you know of
4 for abusive head trauma, or shaken baby syndrome?

5 A No. The confirmatory test I think would have to
6 be courts, since abuse is a crime. So -- but there's
7 not like a test you can order and say this -- you can't
8 order a test and say I know intent. And, you know, a
9 test to say it was a crime --

10 Q So, can you --

11 A -- (indiscernible) medicine.

12 Q Can you explain what you mean by that?

13 A (Indiscernible) you know, we can code it as abuse,
14 but that's not the gold standard. Just because we code
15 it as an abusive head trauma case, that's not the gold
16 standard of how you determine whether or not a crime
17 was committed.

18 Q So, can you elaborate on what you mean by
19 that in terms of we code it as something? You mean a
20 medical code?

21 A Yeah. It's a billing code. (Indiscernible)
22 saying is there a medical diagnosis. Yeah. There's a
23 medical diagnostic code for abusive head trauma. But
24 that's -- if that were the final outcome then we
25 wouldn't need the courts. Obviously it's not. It's

1 the way you code it. It does unfortunately affect the
2 literature because if I'm a medical doctor or I am a
3 child abuse pediatrician, if I want to do a
4 retrospective review of how many abusive head trauma
5 cases came through the hospital with retinal
6 hemorrhages, the only data I have access to is through
7 the children that I have labeled abusive head trauma.
8 And I can look into those. But I can't go back on that
9 data set and say to the courts and say which one did
10 the court actually say was abuse, which one of the
11 parents, which ones were -- did the court say it was --
12 met the legal threshold or the standard, whether a
13 Family Court or Civil Court. That (indiscernible). So
14 really the literature, when you're talking about the
15 medical literature, it's got a built in confirmatory
16 bias. You know, if you're going to search for the
17 things you already coded for, but not for the -- not
18 for the gold standard, which is the legal outcome.

19 Q And so if you were to hear that there's a 96
20 percent accuracy rate of retinal hemorrhages in
21 diagnosed abusive head trauma, what are your thoughts
22 on that?

23 A So I think the -- it's usually called specificity,
24 which is how specific it is. Although more commonly
25 positive predictive value, if you -- because -- because

1 no one imaging (indiscernible) can be specific for
2 intent. No, it can't do that, but positive predictive
3 value. So, positive predictive value is the true
4 positives over the true positives plus the false
5 positives. And therein lies the problem. Positive
6 predictive value for a test should really never be
7 above 50 percent, or you're missing the false
8 positives. (Indiscernible) missing the false
9 positives. And that's what we just talked about. If
10 the false positives are only discovered in the
11 courtroom, because that's the kind of test, then all of
12 those are absent from the kind of research that gives
13 those that level of positive predictive value.

14 Basically we look at positive predictive
15 value of 98 percent of the group basically defined your
16 disease by your definition. Because otherwise you need
17 false positives, and that 98 percent (indiscernible)
18 false positives, which is impossible. That's just now
19 how (indiscernible) works.

20 Q Can you explain what you mean by that, by --
21 can you explain what you mean by missing the negatives,
22 Dr. Mack?

23 A False positives or false negatives?

24 Q I'm sorry. If it's 96 percent I believe you
25 testified, you said then you would be missing the false

1 negatives, or false positives.

2 MS. CRAVEIRO: At this point I'm going to
3 object. She's here to testify as an expert in
4 radiology, and I think we're getting off course as to
5 what her expertise is, and I don't see any relevance to
6 her testimony, either.

7 THE COURT: Are you saying that her testimony
8 is exceeding the realm of her expertise?

9 MS. CRAVEIRO: Yes. And is not relevant.

10 THE COURT: Ms. Rue?

11 MS. RUE: Well, Judge, Dr. Mack is testifying
12 as a pediatric radiologist, and specifically her
13 knowledge of abusive head trauma and shaken baby
14 syndrome, which was outlined pretty extensively in the
15 voir dire process. Her knowledge of that, speaking on
16 it, lecturing, teaching, this is related to the
17 testimony that the State has proffered as proof that
18 shaken baby syndrome exists with a 96 percent
19 specificity of retinal hemorrhages in abusive head
20 trauma -- children that have suffered that. So I'm
21 asking this doctor whether she sees any problems with
22 drawing such a specificity in her expertise.

23 MS. CRAVEIRO: That's also mischaracterizing
24 the testimony. And that was a different witness,
25 Judge.

1 THE COURT: I'm going to allow this question
2 to stand. She can respond to it. I'll allow you the
3 opportunity to address it or to reevaluate it in cross.
4 So I'm going to overrule the objection for now.

5 MS. RUE: Thank you, Judge.

6 BY MS. RUE:

7 Q So, Dr. Mack, if you could just explain, you
8 said if you hear that 96 percent predictive value of
9 retinal hemorrhages in child abuse -- pardon me,
10 abusive head trauma, shaken baby syndrome victims, that
11 96 percent demonstrated retinal hemorrhages, can you
12 explain what you were referring to when you said that
13 you're missing false positives, or you're missing the
14 negative results I guess is another way to put it?

15 A Yeah. Basically if your positive predictive value
16 is at high (indiscernible), when we're doing these
17 positive predictive (indiscernible) in breast imaging
18 all the time. If it's that high over any type of any
19 disease process you're using -- the definition of the
20 disease is -- is part of how you're putting the
21 patients into buckets. So if you start with retinal
22 hemorrhages equal child abuse, and then you go back and
23 query how many times is child abuse found based on my
24 definition of child abuse (indiscernible), the positive
25 predictive value would be a hundred percent. So it's a

1 circular argument kind of thing.

2 Now, the false positives are basically where
3 -- where we were wrong. We don't really have access to
4 that data as a medical doctor. And you do in other
5 areas. Like I have access to all my false positives,
6 patients for whom I recommended biopsy who didn't have
7 cancer. I know what my positive predictive already is.
8 I know those numbers every year.

9 Q You described as a radiologist you read
10 imaging, correct?

11 A That's correct.

12 Q If an E.R. doctor saw a subdural hemorrhage
13 on an image is it appropriate for that doctor to pass
14 that on -- I should say in an infant, is it appropriate
15 for that doctor to pass that on as a concern for abuse?

16 A No. If he's a mandated reporter, which most
17 physicians are mandated reporters, anything that that
18 reaches the threshold of suspicion should be
19 documented, or they should follow a (indiscernible)
20 pattern that's set up in the hospital to contact
21 Children and Youth, or whatever state agency is in
22 charge of making investigation.

23 Q So it's fair to say that you are a mandated
24 reporter, as well?

25 A I'm a mandated reporter. Yes.

1 Q Okay. And so when I say pass that on, as a
2 mandated reporter, what does that mean to you?

3 A So, to me specifically as a radiologist I read the
4 images, but I don't typically have access to the
5 patient. So while I am a mandated reporter by law,
6 what I would do in the setting of the E.R. is I would
7 call the E.R. physician who is taking care of the
8 patient, let them know that there's subdural
9 hemorrhage, try to collect some history, and then an
10 investigation would begin pending the clinical
11 circumstances. So the E.R. physician would have to
12 decide if the history provided by the parents was
13 sufficient so that it didn't reach his threshold, his
14 or her threshold of suspicion. Once it hits a
15 threshold of suspicion, which is a low bar, then it
16 should go on.

17 So radiologists rarely report directly to
18 Children and Youth, or the state agencies, but we do --
19 we are mandated reporters, and it would be important
20 that we would get the information, usually in verbal
21 form, to the members who ordered the test.

22 Q And is it correct to say that for a non-
23 ambulatory child seeing a subdural hemorrhage would
24 raise some concern at that point?

25 A Well, that -- in many if not all (indiscernible),

1 whether or not they would be referred would depend on
2 the clinical history and analysis (indiscernible).
3 Because the threshold is different for each physician.
4 But it wouldn't be uncommon for a subdural hemorrhage
5 to be referred to Children and Youth.

6 Q Okay. So there would be an assumption of
7 abuse at that point?

8 A Um -- so, well, you're opening a can of worms.
9 Yes, they're not legal assumptions. You can't make a
10 presumption of abuse from a legal standpoint, but from
11 the safety of a child, that's where you come in -- as a
12 physician I have to presume the worst possible scenario
13 to keep any patient safe, so if I see a mass on a
14 mammogram even though I'm not that suspicious, the
15 safest thing to do is biopsy it in most cases, even
16 though my suspicion may be low. It's still the safest
17 thing to do because missing it would be -- you know,
18 could cause harm. So that's where I -- that may help
19 you understand, you know, what the threshold is. You
20 want to make sure you're not missing something.

21 Q Right. So the -- so if I understand what
22 you're saying correctly, it's not -- it is appropriate
23 to investigate any possible concern that abuse caused
24 such injury, is that fair to say?

25 A Right. Absolutely.

1 Q Okay. What about if the child also had
2 retinal hemorrhages?

3 A Well, so -- so when you have a subdural hemorrhage
4 that appears and nobody is exactly sure why, the next
5 step is retinal hemorrhage. This gets into the
6 circularity. And the next step is to look for retinal
7 hemorrhages, whereas if the child came in with
8 (indiscernible) they might not get an ophthalmology
9 consult. There's nothing to treat, but the
10 ophthalmology consult is ordered after the subdural.
11 And not always, but sometimes retinal hemorrhages are
12 seen in the context of subdurals.

13 Q So, what should happen at that point?

14 A In most cases the children are referred onto
15 Children and Youth for investigation. And if there's a
16 child abuse pediatrics program they are called in to
17 help with the investigation.

18 Q But what would that child abuse pediatrician
19 do? What would the appropriate, from your perspective,
20 for that child abuse pediatrician to do with the data
21 that they had?

22 A I think they should confer with -- would confer
23 with the radiologist. And again, I'll speak from a
24 radiology standpoint. I think direct verbal
25 conversation, and review of the imaging studies would

1 be important. I can't testify to what else she might
2 do, she or he might do. But I do know that radiology
3 -- understanding of radiology, all of it, would be very
4 important. There are things that could be on the scans
5 that may not be reflected in the report.

6 Q Do you ever determine that injuries on --
7 that you see as a radiologist are as a result of
8 trauma?

9 A You can see evidence of trauma on scans. Sure. I
10 mean, you can see fractures. You can't tell whether or
11 not they're intentional trauma. But you can say a
12 fracture is the result of trauma. And you can't say
13 (indiscernible). You can't say how it occurred, but --
14 scalp swelling is often (indiscernible) a reflection of
15 trauma. Skull fractures are obviously a reflection of
16 trauma. Subdural hemorrhage can be a reflection of
17 trauma, but certainly not always, because it can occur
18 without trauma.

19 Q Now, you just --

20 A But, so definitive trauma signature on a scan
21 would be with a fracture or soft tissue swelling that's
22 correlated with scalp hemorrhage that you can see
23 visibly, you know, bleeding under the skin, that would
24 be definitive evidence of an impact injury.

25 Q What about any other injuries to the brain?

1 A So, if you had -- well, sure, you could have
2 penetrating injuries, where there's this, you know,
3 scalp (indiscernible) and there's bleeding in the
4 brain, and it looks like it was a penetrating injury.
5 Whether or not you saw the bullet or the knife or
6 whatever you'd say, well, that's traumatic.

7 The problem with brain swelling is -- it can
8 -- certainly can occur in trauma, but it is not
9 specific to trauma. You can see brain swelling without
10 trauma. So it's -- so, yeah. So radiologists can
11 confirm trauma, and can show trauma when, you know --
12 so, some of the cases I've been involved in they say,
13 you know, parents deny any trauma, and imaging is sent
14 to me and I've said, well, there's trauma here, there's
15 a skull fracture, there's soft tissue swelling. I
16 can't tell you exactly how old it is, but it's probably
17 within the last week or two. So, talk to your client.
18 They may not be aware of the trauma, but trauma did
19 happen.

20 So I have seen those cases, that's why I can
21 be definitive about trauma. Whether or not there's
22 underlying subdural, I can be definitive about trauma
23 in cases like that.

24 Q And you just testified that you do not
25 qualify any images that you see as inflicted trauma as

1 opposed to you have diagnosed the injuries as relating
2 to coming from trauma. Can you explain why you don't
3 find inflicted trauma specifically?

4 A Well, because you can't determine intent from a
5 radiologic image, but also I'm -- my role in court is
6 much different than my role would be in the E.R. We
7 are reading -- in an E.R. my role would be to, you
8 know, if it met the threshold of possible trauma I
9 would refer. Not that I could make a diagnosis of -- I
10 mean, possible inflicted trauma I would refer. Not
11 that I would make a diagnosis of inflicted trauma. I'm
12 not saying -- I can't tell intent. There's nothing
13 about CT or MRI that can tell you intent.

14 But my role in the courtroom is to tell
15 (indiscernible) what evidence on the scan is specific
16 for trauma, you really have to be careful. Why I can
17 say the things I do based on anatomy, and while you
18 can't say certain things based on anatomy, or imaging
19 are basically the limitations of that study. And
20 because no studies are perfect. So that's -- it's a
21 different role than say, a child's pediatrician gets
22 called to investigate. My role in court is to -- we
23 already hit the threshold of suspicion. Nobody is
24 arguing that that threshold wasn't met. I perform a
25 different role now. And (indiscernible) can say look,

1 I'm not the trier of fact. I'm helping the Court
2 understand what's there and what we can and cannot say.

3 Q So you know you've just testified that you
4 have consulted with attorneys and courts before, right?

5 A I have consulted with attorneys before. Yes.

6 Q What do you do in your role as an expert in
7 consultation in the legal realm as a doctor?

8 A I tell them what the imaging shows. I
9 (indiscernible) review what you can and cannot say
10 about it. Sometimes I tell them I'm not your expert.
11 This really requires, you know, a surgeon or something.
12 And so it's really just information. I'm not --
13 because attorneys are not physicians, they're not
14 radiologists. They often need to know what the imaging
15 shows and how to understand that imaging in the context
16 of their case.

17 Q And what is -- do you review neurosonograms
18 as a part of your consultation?

19 A Yes. Actually, I used to perform them all the
20 time. They are so fun and so -- you have a probe
21 basically right on the brain. They are just a great
22 exam for an open fontanel. The fontanel is a soft
23 spot.

24 Q I think we have a different --

25 A Because the probe is literally on the skin and

1 dura. So it's a beautiful exam limited to the space
2 that the probe can see. But they're wonderful exams.
3 Q Okay. So I know a neurosonogram is done on
4 an infant because they have that soft spot, is that
5 right?

6 A Yeah. You can do them on other infants to look
7 for (indiscernible) soft spots, or to look for
8 different things. (Indiscernible) vasculature. But,
9 yeah, in the context of a young infant with an open
10 fontanel we put the transducer right on the soft spot
11 and look -- peer into the brain that way.

12 Q Okay. And were you asked to consult on a
13 finding of abusive head trauma related to Darryl
14 Nieves, Jr., or also referred to as D.J.?

15 A Yes, I was.

16 Q Did you write a report about the findings
17 that you made?

18 A Yes, I did.

19 Q What documents or what did you review in
20 generating your report?

21 A So I had -- initially I had an ultrasound that was
22 performed at the time that he was admitted for his VSD
23 comparison back in July.

24 Q And VSD is --

25 A And then I had the MRI --

1 Q Sorry. To be clear, Dr. Mack, Doctor, VSD is
2 what?

3 A Oh. Ventricular septal defect, a heart defect
4 that we repaired.

5 Q Okay. Continue. I'm sorry.

6 A And then I had the MRI that was performed when he
7 came in with a suspected seizure event and he was
8 unresponsive. And then later on you provided me with
9 additional ultrasounds that were performed earlier in
10 his life, so those were all the things I -- I think
11 within my report I outlined what I had.

12 Q So, where did you begin in your looking over
13 this case?

14 A So, I always begin with imaging. So if you
15 haven't -- if you have not saw the imaging I -- I'm not
16 -- I've only had one case where I just opined generally
17 about anatomy, because I didn't have imaging. That's
18 where I start. I take a look at the imaging to see
19 what I can and cannot say, and how much is there, and
20 how much, you know, whether or not I can help interpret
21 the imaging. So if it was a -- if they needed me to
22 interpret a cardiac MRI I'm not your expert. When it
23 comes to, you know, the head and meninges, I feel
24 confident of my knowledge of the anatomy to talk about
25 that, to help you understand it.

1 Q And there were no sonograms for D.J.,
2 correct?

3 A Yes. There was one. And again, that would be
4 about 7/22. So I think his surgery was 7/20.

5 Q Do you want to pull that up, Dr. Mack? It's
6 -- I know it's referenced in your report. I --
7 whatever you're comfortable --

8 A I believe -- you know what I think I'll do, since
9 we have the report, I'm going to try to screen share my
10 report. Let me see if I can do this. Because that
11 will be easier to follow along with. So can you see my
12 report directly?

13 Q Not yet.

14 A Does it fill your screen, or a part of your
15 screen?

16 Q Yes. I believe it will fill the screen.
17 We'll let you know as soon as we see it. There's a
18 lag.

19 MS. CRAVEIRO: I couldn't get her report on.
20 How is everything working for --

21 THE COURT: I can see it now, Doctor.

22 MS. RUE: Yes, Judge. Pardon me, Dr. Mack.
23 It's smaller. It's not the full screen, the image.

24 THE WITNESS: The image isn't, but do you see
25 the rest of my desktop in the background?

1 MS. RUE: We do.

2 THE WITNESS: Or do you just see the -- the
3 document?

4 MS. RUE: We see the desktop.

5 THE WITNESS: Okay. So let me see if I can
6 -- how about that?

7 MS. RUE: Oh. Pardon me. That made it
8 smaller. Now we see the full Word heading for
9 Microsoft.

10 THE WITNESS: So, that made it smaller?

11 MS. RUE: Right. Before we didn't --

12 THE WITNESS: It should --

13 MS. RUE: I'm sorry. Before we didn't see
14 the full heading bar on Microsoft Word. Now we see the
15 full toolbar I guess it's called. Is it possible to
16 zoom in?

17 THE WITNESS: Yes. So let me do this. I
18 think I -- I guess what I was asking is did you see --
19 could you see just my -- my Word document? Because I
20 can make that bigger (indiscernible) see my desktop.
21 Is that just the Word document you're seeing?

22 MS. RUE: Yes.

23 THE WITNESS: Okay. And I can make the
24 images bigger for you. One second. Now I just zoomed
25 the Word document so it's bigger.

1 MS. RUE: What page of your report are you
2 on, Dr. Mack?

3 THE WITNESS: I am on Page 6.

4 MS. RUE: Okay. And again, this is S-11, I
5 believe.

6 MS. BIELAK: Yes.

7 MS. RUE: S-11, D-8.

8 MS. BIELAK: Does the Court have a copy?

9 MS. RUE: Judge, you have the color copy,
10 correct?

11 THE COURT: Yes.

12 MS. RUE: Okay.

13 BY MS. RUE:

14 Q Okay. So, do you want to just explain what
15 we're looking at right now, Dr. Mack?

16 A Yes. So this is basically an information page
17 provided by the technologist who did the scan. It's a
18 (indiscernible) ultrasound portable, (indiscernible)
19 portable. 4M, M means four month male. And the
20 indication for the exam is dropping hematocrit, HCT,
21 and platelet NP. I don't know what NP means. The
22 (indiscernible) looks like it was (indiscernible).
23 Platelets are platelets, but I'm not sure what she
24 means by NP.

25 So that was the reason for the exam. Again,

1 the exam date is on the bottom 7/22. My understanding
2 is the surgery was on 7/20. So some time after the
3 surgery he had a drop in his hematocrit, and that can
4 be a sign that internal bleeding occurred, so they did
5 this quick and easy ultrasound. It doesn't require
6 anything but an ultrasound probe, and they can do it in
7 (indiscernible) in the ICU.

8 Q Okay. So --

9 A So this next -- now I'm on Page 6, there's the
10 next image. It should say -- it should have something
11 in the middle of the image. If you see that, let me
12 know.

13 Q We see that.

14 A Okay. So this is -- the front -- the front
15 (indiscernible) is at the top of the screen. That's
16 where the probe is. The ultrasound probe is sitting
17 right there. This gray stripe across there is a
18 combination of skin, scalp, and dura. It's right under
19 the -- so there's (indiscernible), and there's, you
20 know, the structure of the scalp. But we're looking
21 basically right on top of the dura. So these black
22 spaces here are fluid. And this curved linear
23 structure right here, that's the cortex of the brain.
24 So that transducer is, you know, within an inch or so
25 of the brain. It's just a beautiful window into it.

1 So what the technologist has done here is
2 measure the space between the brain and the dura, which
3 is pressure in the subarachnoid space. And if you look
4 down on your left hand corner you'll see that the
5 distance she's measuring between the little cursors
6 that look like this, with the little dot in the middle,
7 that distance is one centimeter, ten millimeters. It's
8 11 millimeters. And the distance on the other side is
9 about nine millimeters. And then the distance between
10 the hemispheres is about seven millimeters. So this,
11 by definition, was expansion of the spaces around the
12 brain, subarachnoid space.

13 Q Can you explain what you mean by that?

14 A I can speak -- what?

15 Q Can you explain what you mean by that? What
16 the significance of those measurements are to you?

17 A So they're part of that variation of normal. I
18 mean, it's -- normally fluid exists in the subarachnoid
19 space. That's normal. But the distance between the
20 thickness of that space, or the depth of the space
21 between the brain and the dura is usually not four
22 millimeters. Usually about four, most people would
23 call that expansion. By the time it gets to ten I
24 don't think there's anybody that would say that's not
25 expanded. Some people argue whether it's four or five

1 that are, you know, not a variation of normal, that's
2 just normal. So this falls into that subcategory of
3 expanded. So, expanded subarachnoid space.

4 Q So it's --

5 A The limitations of this exam is I can't tell
6 because I only have a small window, how much of this
7 space is subarachnoid and whether or not any of the
8 space actually is space in the subdural. I can't tell
9 that for sure. So it's really good -- ultrasound is
10 really good at seeing things next -- on the surface
11 next to the brain, but it's not particularly good,
12 unless they're finding small subdurals. And again,
13 that's uncontested. That's been shown in the
14 literature. It's not saying anything new there.

15 Q And, I'm sorry, again, that ultrasound was
16 from July of 2016?

17 A Yeah. July 22nd, 2016.

18 Q And it's -- the fact that it was seven
19 millimeters, is that something that would be considered
20 abnormal?

21 A Well -- so, in terms of how you use the word
22 normal. If it's all subarachnoid space it's variant
23 normal. It's bigger than usual. It fits the criteria.
24 And it's not seven, it's ten. You know, the distance
25 between the cortex and the brain on this side in here

1 is eight millimeters. So between seven and ten would
2 be fine. You could say that, because it is slightly
3 variable depending on where you measure.

4 But if it's all subarachnoid then -- fluid
5 belongs there. You haven't disrupted tissue. But it's
6 clearly bigger than average. So it's within this
7 normal variation. We categorize this variation as
8 benign external -- a benign expansion of the
9 subarachnoid space. It's not a disease. We don't
10 treat it. You know, nobody is putting a tube in this
11 space, subarachnoid space, to try to drain it. But it
12 is --

13 Q And why would that be?

14 A It's not what we would accept as average. It's
15 enlarged.

16 Q It's enlarged? And why would that not be
17 treated, Dr. Mack?

18 A Well, generally it doesn't cause any symptoms. It
19 can be associated, which we talked about, with
20 subdurals that occur spontaneously or under trauma.
21 But when it's asymptomatic -- I mean, we just found
22 this because he had a drop in hematocrit. He didn't
23 have symptoms (indiscernible) to his brain that -- at
24 least that they noticed. So the image that
25 (indiscernible) drop in hematocrit, they find this

1 variant of normal that puts him in a category of
2 expanded fluid, but there's no real treatment. I mean,
3 the treatment would be worse than the quote, unquote,
4 disease. To put a tube in the baby's subarachnoid
5 space, well that's, you know, surgery, that's injury.
6 He's not acting off, so you wouldn't treat it. It's
7 not a surgical condition.

8 Q Right.

9 A You might follow it, you know, make sure that it
10 resolves, but you don't treat it.

11 Q Is that the only neurosonogram that you
12 reviewed in relation to D.J.?

13 A Initially it's the only one. And then I asked you
14 to provide -- to see if you had the other ones, because
15 there were other ones. And I'm going to go to those so
16 you can see -- and I think that's helpful when we look
17 at this over time. So, here's -- I am on Page 10 of
18 the report. And these -- I've listed the ultrasounds
19 that I was provided after I issued the report. And
20 they were really early on in his life, in March, April
21 and June.

22 So these are fascinating for a couple of
23 reasons. So, these -- this one is really shortly after
24 birth. It's 3/22/16. And again, the same thing. It's
25 a different probe, and this is the fontanel. And black

1 is fluid on ultrasound. So his brain is much less
2 mature. This is an immature brain. And the distance
3 between the brain and the dura is 2.5 millimeters, so
4 well within that range of what we consider average or
5 normal.

6 Q Okay.

7 A That's his initial one on March 22. And then in
8 April it's starting to look a little bit more plump,
9 which is four millimeters. The brain is starting to
10 show some more advanced development. You've got more
11 -- you can see the curves, and the sulci and gyri of
12 the brain. And -- but the space is enlarged a little
13 (indiscernible). They're still within the range that
14 most would consider kind of average. However, by June
15 of '16, now we're -- it's increasing. So now it's
16 getting to the range, it's almost seven. Normal is
17 (indiscernible). And it's been progressive.

18 So this -- these -- we know he's a premature
19 -- a very premature baby. We would have to correlate
20 with head size to know if he had a big head at this
21 point, and then -- we could postulate he didn't have a
22 big head, but he had too much fluid around his brain,
23 that maybe his brain wasn't growing as rapidly as -- as
24 a kid who wasn't premature. Because usually the brain
25 grows, you know, matches the skull growth.

1 So the skull is out here, but the brain is
2 down here, we've got that excess space occupying the
3 place where usually the brain lives. So I don't think
4 you can diagnose atrophy, but I know that
5 (indiscernible) preemie that that's one of the things
6 you consider is -- you know, the skull is growing a
7 little too fast, and -- which we can tell by head
8 circumference measurements.

9 If their head circumference is big, then the
10 brain may not be small. But if the head circumference
11 is still small, then the brain isn't growing as rapidly
12 as the skull, because we've got this difference
13 (indiscernible), and the brain (indiscernible) in
14 fluid. So that was in June. And then, you know, about
15 20 days later -- less than 20 days later you have that
16 one in July that I showed you. So it was a progressive
17 increase over time.

18 Q And what --

19 A Not a sudden increase over time.

20 Q What does that mean to you, that progressive
21 increase, as a radiologist?

22 A It's -- you know, I say it's fascinating because
23 we don't -- because we often only get a chance to image
24 these patients once, you know, when they come in with
25 these expanded spaces (indiscernible) have subdural

1 collections. And we can postulate that it's something
2 that happens slowly over time, but the only data we can
3 use to support that would be, you know, slow head
4 growth at the time. And here is a case where I
5 actually have imaging. You know, he was being imaged
6 as part of his prematurity.

7 So I have sequential images showing, oh, in
8 this case benign expanded subarachnoid space developed
9 over time, slowly over time. And that's just from a
10 physiologic standpoint kind of fascinating, because we
11 often don't get a window into the brain on multiple
12 occasions. We see it -- you know, we just see it
13 culminating in the symptoms.

14 So this kind of expansion is not uncommon in
15 premature infants. And nobody is -- you know, we can
16 postulate whether the brain is growing fast. We can
17 postulate that maybe the brain is atrophying, that
18 there was some kind of minor insult to the brain that
19 was found, life that began too early outside the womb.
20 But again, the data is very clear, it's expanding
21 slowly over time.

22 Q And did you look at images from February of
23 2017?

24 A So those would be the images from the MRI. So he
25 didn't have a CT, he had an MRI.

1 Q Right.

2 A So now I'm on Page 7. And this is -- the reason I
3 chose these two images is they're -- MRI is a very
4 powerful tool. It sees soft tissues really well. It's
5 -- the images are not from using a -- from an x-ray.
6 They're actually -- you put the child (indiscernible)
7 large magnet, and you put these pulses in, and then you
8 receive information via radio frequency waves. But
9 depending on how you set up the protocol you can
10 investigate issues.

11 So at the top of the scan on the left, just
12 so we're on the same page, when you look at any kind of
13 head imaging, and it's an axial, so it kind of slices
14 the brain, you know, from the eyes straight back.
15 That's what we call axial slices. By convention the
16 patient's right is always on your left, and the
17 patient's left is always on your right. So this is a
18 T2 rated exam. And the reason we use T2 rated is to
19 look for fluid. So these large -- the butterfly shaped
20 thing in the middle, that's the ventricles of the
21 brain, and they normally contain fluid. They contain
22 cerebral spinal fluid.

23 So the T2 rated image shows that that fluid
24 inside the ventricles. The ventricles are a little bit
25 (indiscernible). That could go with atrophy, the brain

1 not growing as fast as you want it to. And then
2 there's a lot of similar signal, the same color,
3 outside the brain in the subdural compartment. Full
4 size (indiscernible).

5 In addition, you have normal fluid in the
6 subarachnoid space, so this space will look slightly
7 more gray. That's subarachnoid. That fluid belongs
8 there.

9 This fluid does not. So then the next
10 question becomes, well, is it blood or is it fluid?
11 When I say fluid, I don't mean that it's -- I'm
12 describing fluid as something you look as
13 (indiscernible), and this is bright red. And the
14 reason why I know that is because of a sequence over on
15 the right. It's called T2 (indiscernible). And the
16 reason we use this sequence is to look specifically for
17 blood products. And when I say blood products I mean
18 red blood cells that contain the molecule hemoglobin,
19 because hemoglobin has iron, and iron causes the
20 magnetic field to change, to alter, so you can see it.
21 But when you look at the T2 (indiscernible) sequence
22 what you're looking for is evidence of red blood cells
23 that have iron that change the magnetic field.

24 What I can say on this T2 (indiscernible)
25 sequence is the majority of the fluid we're seeing, all

1 of this stuff at the top, is just fluid. If you had
2 done it in a test tube it would not have red blood
3 cells.

4 Towards the back is a little bit more gray,
5 so it's possibly it's blood tinged. But it's --
6 remember I showed you that scan that shows you that big
7 white blob on the side of the baby's brain that went to
8 surgery?

9 Q Right.

10 A This would not be dense. It would not be.
11 Because if it were dense on CT it would be just a
12 horrible shade of black here because there's a lot of
13 red blood cells in dense things. So the majority of
14 what I'm looking at is fluid. There's a little bit of
15 blood in it, probably. This could also be protein.
16 But it's predominantly fluid, so expanded
17 (indiscernible) fluid collection. So that's the
18 diagnosis. And then you can talk about how they got
19 there. (Indiscernible) --

20 Q Can I ask you one question?

21 A -- physiology, how they got there.

22 Q Before you get there, Dr. Mack, do you see
23 subdural hemorrhages, or can you diagnose them from
24 that scan?

25 A Well, it all depends on how you -- how you define

1 hemorrhage. So, this has a little -- probably a little
2 bit of red tinge in it. If you want to call that a
3 hemorrhage, then we say hemorrhage. But what I am
4 trying to help you understand is this is not like the
5 baby that I showed you where it was bridging vein
6 rupture.

7 Q Right.

8 A So -- and that's where you go into well, what is
9 it, how did it get there, could it be old, a remote
10 hemorrhage from months ago? Yes. It could be.
11 Because old hemorrhages eventually turn to white on
12 this scan. Now, that takes -- it can take months. The
13 problem is you can't look at the image and say, oh,
14 that definitely is old hemorrhage. You can say it has
15 a little bit of blood tinge in it, but what you can't
16 say is that this is similar or the same as the true
17 hemorrhage I showed you on that CT scan. It's not the
18 same animal at all. It doesn't have (indiscernible)
19 single characteristics. So --

20 Q Okay. And you were about to --

21 A -- (indiscernible), you would I guess call it a
22 hemorrhage, well, it's not the kind of hemorrhage that
23 you would say, oh, this acute bridging vein rupture.
24 That's just not represented (indiscernible), be on a
25 signal.

1 Q And you were going to say, before I
2 interrupted you were going to testify about how they
3 got there. If you want to get into that, please?

4 A Yes. So the answer is -- the best word to use is
5 (indiscernible). Unless you know the mechanism, I was
6 there when I saw it happen and (indiscernible) right
7 after. You know, we have -- the data we have is slowly
8 expanding subarachnoid fluid spaces, and now a scan
9 that shows predominantly fluid in the subdural
10 compartment with a little bit of blood. Well, how did
11 they get there? Okay. Well, one of those
12 possibilities is that there's some kind of trauma in
13 the past, minor in the case of the subarachnoid
14 (indiscernible). So go look for trauma. Was there any
15 sign that he had bruises (indiscernible). And you'd
16 look for evidence of trauma. Then you can look for
17 evidence of trauma on the scan. Is there soft tissue
18 swelling? Is there any evidence of skull fracture?
19 But if the answer to those are no and no, then the
20 correct answer is (indiscernible). But we see this
21 sometimes.

22 Q So if you see --

23 A (Indiscernible).

24 Q I apologize.

25 A (Indiscernible).

1 Q Dr. Mack, if I may? As you look at this scan
2 do you see any trauma evidenced on these images?

3 A Not direct trauma. No, there's no soft tissue
4 swelling. It's not the best exam for skull fracture.
5 A CT would be better. But I don't have any evidence of
6 soft tissue swelling. (Indiscernible) with an acute
7 skull fracture soft tissue swelling is almost always
8 present. Not always, but almost always present. No
9 direct evidence of trauma.

10 One thing you don't have is any abnormality
11 within the brain itself. That's helpful. If you had
12 some abnormality within the brain, those two things are
13 likely related. And then you can hypothesize how they
14 could be related. In this case I have other than the
15 brain being a little -- it looks a little small,
16 ventricles are too big, small cerebral (indiscernible),
17 I don't have any evidence of direct injury or insult to
18 the brain. The brain otherwise looks normal.

19 Q What is the significance of D.J.'s medical
20 history to the way you view these images?

21 A I think -- you know, when you say what caused
22 this? I use the word cause carefully, because we don't
23 know exactly (indiscernible).

24 Q I'm sorry. I missed the last part of --

25 A But we have --

1 Q -- that.

2 A So we have data that the subarachnoid space was
3 slowly expanding to the point where when he was
4 hospitalized for his heart surgery it was up at ten
5 millimeters. So I have data to support that these
6 fluid collections occurred in the context of. It's not
7 caused by, as it taught, but in the context of this
8 very (indiscernible) called the benign expansion of
9 subarachnoid space. And the reason I strongly favor
10 that is because, number one, we have literature to
11 support that association occurs, number two, I don't
12 see any injury (indiscernible) soft tissue swelling,
13 and number three, it's mostly fluid, which is what we
14 see in that condition. But I have not been aware of a
15 case of benign extra axial fluid that presented with
16 findings that support bridging vein rupture. With the
17 child I showed you that image is consistent with a
18 bridging vein rupture, not a drying hemorrhage outside.

19
20 And this is fluid, a little bit of blood in
21 it, probably, but not a lot. And these benign extra
22 axial collections tend to give rise to subdural fluid
23 collections, often (indiscernible), sometimes slightly
24 muddy. And that's what I think these are. I mean, we
25 have data to support that, sequential data saying, and

1 the -- remember the last scan was done in July, in the
2 hospital. He didn't leave the hospital until October.
3 And as far as I know he didn't have another scan
4 between then. But if I -- if we do, we can take a look
5 at it.

6 Q Do you have the measurement of the space? I
7 apologize if I missed it, the measurement of that
8 subarachnoid space in February? I recall in July it
9 was ten millimeters. Was that measured in February?

10 A Okay. So the -- actually on Page -- this is
11 another unique thing about this case, this doesn't
12 always happen, this is Page 8. This is a different
13 type of image, so on this image the cerebral spinal
14 fluid is black. These are the ventricles, and this is
15 (indiscernible), and I'm looking at the baby in the
16 front. These are ears down here.

17 Q Right?

18 A So the slice is actually from top down. It's
19 called coronal. When you have subdural collections, so
20 on the patient's right there's all this gray is that
21 subdural fluid. All the black is subarachnoid fluid.
22 But on the patient's left the subdural fluid hasn't
23 completely effaced, or compressed for lack of a better
24 term, in the subarachnoid space. So I can still
25 measure it. So existing in the background in this

1 child is a subarachnoid space that measures nine
2 millimeters to me. I mean, that's -- that's what it
3 measured in July. And now superimposed on top of that
4 expanded arachnoid space I'm having these subdural
5 fluid collections, (indiscernible) on the right than he
6 left, not compressing the brain. But basically in
7 place of subarachnoid fluid on one side I now have
8 subdural fluid.

9 So that's what BEH looks like. Benign
10 external hydrocephalus is the other name. And this is
11 subdural fluid collections in the context of enlarged
12 subarachnoid spaces. It's got a lot of different
13 names. And it's mainly -- you know, sometimes a
14 neurosurgical condition. And you image them because
15 they've become symptomatic, often with seizures, but
16 sometimes with non-specific, you know, lethargy, or
17 sleepiness, or not eating well. So that's the only
18 reason that we've imaged. As I said, the only images I
19 usually see are symptomatic.

20 Q What --

21 A But this is a patient with no evidence of brain
22 injury. Some evidence of brain atrophy, or a brain
23 that's not growing as quickly as his skull. And the
24 subdural fluid collections in the context of expanding
25 subarachnoid space.

1 Q How does the fact that D.J. has seizures or
2 presented with seizures affect your opinion?

3 A I know that's how he -- why he got imaged, he had
4 symptoms that suggested something going on in or around
5 the brain, so BEH or -- (indiscernible) the patient I
6 guess (indiscernible). It doesn't change the anatomy.
7 It doesn't change the findings. It doesn't change the
8 sequence of events that you documented (indiscernible).
9 It just helps understand how he presented. It's
10 helpful that, you know, he's -- the only thing that I
11 can say is (indiscernible) with. I don't see brain
12 injury in his -- if they were even seizures to begin
13 with they eventually stopped. Because they didn't
14 (indiscernible). My understanding is that
15 (indiscernible) seizures. So that's great. That means
16 that the analysis, the things that we see on imaging in
17 brain injury, that's evidence that there doesn't seem
18 to be any long-term effects, the seizures were not
19 ongoing.

20 Q And, Dr. Mack, I'm just going to ask you to
21 keep your voice up. It's so hard when we can't
22 actually see you in person. If you don't mind just --
23 just keeping your voice up so that we can hear you?
24 What about the fact that D.J. had retinal hemorrhages,
25 was diagnosed with having those? How does that affect

1 the opinion that you came to?

2 A Well, it doesn't change the data that we have. It
3 doesn't change the fact that he had enlarged
4 subarachnoid spaces. It doesn't change the fact that
5 there were some fluid collections and not all
6 hemorrhage. So none of that is changed for the retinal
7 hemorrhages. I can point you to literature that shows
8 that BEH was -- and again, I'm sorry for the problem
9 with the language, because different authors call these
10 different things. But in the literature retinal
11 hemorrhages have been associated with this condition,
12 sometimes severe. There's two -- I think I referenced
13 both articles in my report that talk about the
14 association.

15 Q Are you referring to the article by Dr.
16 Piatt?

17 A Not (indiscernible). Those describe retinal
18 hemorrhages. Piatt happened to describe severe ones.
19 (Indiscernible) described retinal hemorrhages and he
20 says we have some patients that they took out of his
21 category -- he called it spontaneous subdurals --
22 spontaneous subdurals of infancy was his name for the
23 condition. He said, yeah, those were severe retinal
24 hemorrhages we took out of this bucket, and I'm going
25 to acknowledge that that was a problem with circularity

1 with the paper. His -- his description was spontaneous
2 subdurals that occurred in the context of -- he also
3 had a different name, arachnoid (indiscernible),
4 expanding (indiscernible) arachnoid (indiscernible).
5 And he saw some retinal hemorrhages in the contact of
6 this. So it's probably based on pressure. Again, the
7 presence of retinal hemorrhage doesn't change the other
8 data that I have.

9 Q What about the article you referenced by Dr.
10 Piatt? How does that relate to this -- this is an
11 article that you read, I assume?

12 A Yes. So that article is interesting in that they
13 have follow up. So this is a child who was young, and
14 they were kind of bouncing the kid up, and he fell
15 backwards in the presence of multiple (indiscernible).
16 And they did a full social service investigation, and
17 he passed a lie detector test, and he -- the reason he
18 published it is because the assumption that severe
19 retinal hemorrhages are specific for abuse have become,
20 you know, kind of a sticking point. Some people said
21 yes, some people said no. What he said is be very
22 careful, because here I have this case that I think is
23 very well documented, where the child had BEH, benign
24 extra cerebral collections, had a minor trauma
25 witnessed, and developed subdural collections,

1 seizures, these are (indiscernible), and they had
2 severe (indiscernible). So he says, you know, we can't
3 -- we can no longer make the claim that they're
4 specific for abuse. And I think that -- when you start
5 saying something is specific for, one -- one thing that
6 doesn't fit, one example, one documented example
7 disproves the hypothesis (indiscernible).
8 (Indiscernible) any other, you know, data disprove --
9 if your hypothesis is these are specific for abuse
10 (indiscernible).

11 Q And --

12 A And I think --

13 Q I apologize. This article that you said by
14 Dr. Piatt, do you know the title of it? Or if I read
15 it to you would it refresh your recollection?

16 A No. (Indiscernible). I would need to read it
17 (indiscernible).

18 Q Is it "A pitfall in the diagnosis of child
19 abuse external hydrocephalus, subdural hematoma and
20 retinal hemorrhages"?

21 A Yes. That's it.

22 Q And that's the article you were just
23 referencing?

24 A That's correct.

25 Q And that sounds correct to you as

1 relationship to your findings regarding retinal
2 hemorrhages and suspected abuse of head trauma?

3 A Yeah. I mean, I can tell you how -- I mean, I
4 look at the brain. I don't look into retinas, so
5 (indiscernible). But I can tell you how I would
6 respond to a pediatrician who had an infant
7 (indiscernible) --

8 Q Can you repeat that? Can you repeat that,
9 Dr. Mack? You're kind of falling out. Can you repeat
10 your last answer? It was -- you were -- we couldn't
11 hear you.

12 A Oh, sure. So I'm not an ophthalmologist. I'm not
13 a neurologist. So I'm never looking to the retina.
14 That's why I'm hesitating answering too many questions
15 on retinal hemorrhages. What I can -- I mean, I'm
16 happy to share with you what I would tell the
17 pediatrician who walked into the reading room where I
18 had the scan and said to me, yes, that there are
19 retinal hemorrhages, and I can tell you how he would
20 respond to that. But I can't tell -- you know, I'm not
21 an ophthalmologist.

22 Q Oh, yes. No. I was just referring to the
23 article. If that's -- that informs your practice, is
24 that right?

25 A Well, it tells me that it can't be -- it tells me

1 retinal. I mean, it's not that we don't -- you know,
2 because retinal hemorrhages are not specific
3 (indiscernible) an intentional act. We see retinal
4 hemorrhages, severe, in things that are not a result of
5 shaking. And you don't know the -- we have to really
6 understand the mechanism by which they occurred, so we
7 can't use them to say I know what happened.
8 (Indiscernible) to say I know what happened.

9 Q So what would you say to the pediatrician
10 that came to you in scans such as this case and said,
11 yes, but he had retinal hemorrhages?

12 A I would say that you cannot use that data and
13 ignore the rest of the data, which is no brain injury,
14 enlarging subarachnoid space over time, fluid
15 collection that absolutely fit the criteria of ADH. If
16 you throw this child into the abuse bucket you're using
17 a completely circular argument and ignoring the data on
18 the imaging and sequence of films. So that's how I
19 would respond to that.

20 Q Thank you, Dr. Mack.

21 MS. RUE: I have no further questions.

22 THE COURT: Ms. Craveiro?

23 MS. CRAVEIRO: Judge, can we take a five-
24 minute break? I really need to use the ladies' room.

25 THE COURT: Five minutes, and then we've got

1 to get back on because you'll have 55 minutes before --
2 MS. CRAVEIRO: Oh. Fifty-five? I thought we
3 were ending at two. Okay. I just need --
4 THE COURT: No. Ending at one today.
5 MS. CRAVEIRO: -- to use the ladies' room
6 real quick.
7 MS. RUE: Dr. Mack, if you need to take a --
8 step away for five minutes? Okay.
9 THE WITNESS: Thanks.
10 MS. RUE: Thank you.
11 (Recess from 12:01:14 p.m. to 12:07:55 p.m.)
12 THE COURT: Your witness, Ms. Craveiro.
13 MS. CRAVEIRO: Thank you, Judge.
14 CROSS EXAMINATION BY MS. CRAVEIRO:
15 Q Doctor, you've never conducted a forensic
16 child abuse evaluation, have you?
17 A No, I haven't.
18 Q And in this case you weren't asked to do that
19 either, were you?
20 A No.
21 Q And in fact, you've never actually treated a
22 patient, isn't that correct?
23 A Radiologists don't treat patients. We --
24 Q So that's correct, then?
25 A Yes. No, I don't treat patients.

1 Q Okay. So that means you've never actually
2 treated an infant either, correct?
3 A Correct.
4 Q I'm sorry?
5 A That's correct.
6 Q Okay. And you've never been on a pediatric
7 child protection team, correct?
8 A No.
9 Q You have no subspecialty or board
10 certification in child abuse, isn't that right?
11 A That's right.
12 Q And you haven't even worked at a pediatric
13 hospital since that one year you spent in Children's
14 Hospital in Dallas, correct?
15 A Two years at Children's Dallas, but that's
16 correct.
17 Q Okay. And that was back in '96, correct?
18 A '96 through '98, I believe.
19 Q I'm sorry. If you could just speak up? I'm
20 having trouble hearing you.
21 A Yes. It's on my C.V. Two years beginning in
22 1996.
23 Q Okay. And you also -- so your entire career
24 has been radiology-based, correct?
25 A Yes.

1 Q And you're not a neurologist, correct?
2 A Yes.
3 Q And you don't have --
4 A No. No. That is correct.
5 Q Yes. Thank you. I just had to think about
6 that for a second. Okay. You don't have any board
7 certifications in neurology, correct?
8 A That's correct.
9 Q You're not an ophthalmologist, correct?
10 A That's correct.
11 Q You don't have any board certifications in
12 ophthalmology, correct?
13 A That's correct.
14 Q And you've never actually seen a child's
15 brain in person either, other than an autopsy, right?
16 A I've never -- you said I've never seen a brain in
17 person?
18 Q Yes. A child's brain in person other than
19 that autopsy in 2007?
20 A No. I see -- so when you scan like with
21 ultrasound you're actually in the room. I usually
22 (indiscernible) scan --
23 Q Okay.
24 A -- so (indiscernible) right onto the brain. I
25 don't hold the brain in my hand during surgery. Images

1 are obviously of the brain.
2 Q Okay.
3 A I'm physically present when the brain is being
4 ultrasound.
5 Q Okay. And currently -- you say you're
6 working part-time at Hershey, correct?
7 A That's correct.
8 Q And so how many hours do you put in there?
9 A So I -- technically I am at point seven. I'm
10 there (indiscernible). So what that translates to is
11 our day usually begins at about 7:30, so I arrive at
12 about seven. And if I work all day I'm gone by five to
13 5:30. But some of my days are assigned academic, so on
14 Tuesday mornings I teach in the medical school. And so
15 I'm not in clinic. And then I have unassigned days
16 where -- today is an unassigned day. I am not assigned
17 to Hershey, I owe nothing to Hershey on this day. I
18 have a day-and-a-half unassigned as a point seven.
19 Q Okay. So then five-and-a-half days you work
20 there, correct?
21 A It would be three-and-a-half.
22 Q I'm sorry. Three-and-a-half?
23 A Yes.
24 Q Okay.
25 A Three-and-a-half.

1 Q Okay. And that time is spent in just cases
2 involving breast disease, correct?

3 A Yes. Breast disease and intervention.

4 Q And so your patients in those cases are all
5 adults and not infants, isn't that right?

6 A Very rarely an infant comes into breast imaging.
7 We do see adolescents that come in. There's mainly
8 those patients in their 30s and above.

9 Q And so, the consulting work that you're doing
10 here today, you said that's outside of your work from
11 Hershey?

12 A Yes, it is.

13 Q And you -- the reports you generate aren't on
14 Hershey letterhead, isn't that right?

15 A That's right.

16 Q And that's done at the request of your
17 supervisors, isn't that right?

18 A I've got to believe it's a hospital policy. My
19 supervisors have no role in that, on that policy.

20 Q And so your opinions here today aren't backed
21 by the hospital then, correct?

22 A The hospital wouldn't have any access to these
23 images. I wouldn't give them to them, so -- I don't
24 know what you mean by that. You know --

25 Q Okay. The consulting work you do, your --

1 every time you've testified has been for the defense,
2 correct?

3 A As I mentioned in direct I had a few years where I
4 was consulting with Children & Youth. But since 2009
5 the only attorneys who have reached out to me have been
6 defense attorneys.

7 Q And you did mention two conferences that you
8 spoke at. Those were way back in 2014 though, isn't
9 that right?

10 A So I'll pull up my C.V. I haven't gone to -- I
11 haven't spoke about (indiscernible) anatomy in the last
12 year, except to (indiscernible) other physicians. And
13 I don't (indiscernible). Do you want me to do that?
14 I'm happy to do that.

15 Q So, wasn't -- so, wasn't the --

16 A In 2014 it was Illinois, and then also in Sweden.

17 Q Yes.

18 A That was the last time I spoke.

19 Q So, those were the last time you spoke were
20 roughly six years ago, correct?

21 A Yes.

22 Q Okay. And speaking about this case, you were
23 only asked to review those images that you were
24 discussing, correct? Meaning you didn't --

25 A I think the request came, can you evaluate Dr.

1 Medina's conclusion in the context of the imaging?
2 That's how the request was given to me.

3 Q Okay. So you weren't reviewing as far as it
4 goes for whether or not the infant was actually --
5 whether he actually had abusive head trauma or not,
6 correct?

7 A (Indiscernible) was I (indiscernible) to decide
8 whether or not (indiscernible) him, or -- or a work up?
9 Or -- I'm not sure I understand.

10 Q Well, you testified on direct that if you're
11 a radiologist and you notice these types of
12 abnormalities you would have referred him, isn't that
13 right?

14 A I would call the E.R. and say, look, this kid has
15 subdurals. Any history that I can help you with or
16 that you can give me to further understand why? And
17 then they would take it from there. A radiologist
18 generally doesn't directly report. But I have no
19 problem that this child's initial phase was reported as
20 a potential abuse case. That was, I think,
21 appropriate.

22 Q Okay. And abusive head trauma, to your
23 knowledge, is generally accepted in the medical
24 community, is it not?

25 A In the context of can children be abused, and

1 abused around their head, absolutely. I don't think
2 anybody would argue with the notion that the statement
3 does child abuse exist. Absolutely.

4 Q But I'm talking about abusive head trauma in
5 and of itself. That is generally accepted in the
6 medical community, correct?

7 A I'm not sure what you are -- what you mean. If
8 you mean child abuse involving the head, yes, that is
9 accepted. Absolutely.

10 Q Okay. And it's recognized by even your
11 discipline of radiology, isn't that right?

12 A Well, I think all professionals recognize --

13 Q Along with --

14 A -- child abuse involving the head.

15 (Indiscernible) --

16 Q Along with pediatrics, correct?

17 A -- sure.

18 Q Okay. And it's also been publicly recognized
19 by several different medical societies, correct?

20 A Yeah. I don't know any society that wouldn't
21 acknowledge child abuse exists in the form of abuse
22 involving the head.

23 Q And even you agree that shaking is dangerous
24 and can cause injuries, correct?

25 A Shaking, particularly (indiscernible) neck I think

1 would be very dangerous, and I would agree
2 (indiscernible).

3 Q Okay. And so, in coming to your conclusions
4 here did you review any of the medical records?

5 A I have a couple of pieces of medical records, but
6 the history as provided by Dr. Medina, I relied on
7 that.

8 Q Okay.

9 A I took that to be a true representation of the
10 medical records. That's his report I reviewed.

11 Q What do you --

12 A I summarized the medical (indiscernible).

13 Q And what do you mean when you say you had a
14 few pieces of the medical records?

15 A Let's see. I had -- her report was in the medical
16 records, and then I was sent some medical records after
17 the incident to briefly look at. And then I think I
18 had a few isolated -- I have to go back and look in my
19 files, I think I had a few isolated reports. But it
20 wasn't the whole medical record file. And, you know,
21 it may have been sent to me, but if it was sent without
22 the images I wouldn't have downloaded it. I wouldn't
23 (indiscernible) until I saw the images.

24 Q Okay. So in this case though what did you
25 actually review to come up with your opinion here?

1 A Well, the imaging. That's what I -- that's how I
2 reach my conclusion is based on the imaging.

3 Q Solely on the imaging, correct?

4 A In the context of the history provided by Dr.
5 Medina.

6 Q And you --

7 A Not (indiscernible) historical collection of
8 facts.

9 Q And you accepted all of Dr. Medina's other
10 conclusions in her report, correct?

11 A I didn't accept her conclusions. I -- I accepted
12 her outline of the facts and presentation of when the
13 -- when the ophthalmologist came, what happened next.
14 But the conclusions are her own. I don't -- you'd have
15 to ask me which specific conclusion and I can tell you
16 whether or not I agree with (indiscernible).

17 Q And you didn't think it would be better for
18 you to actually review all of the medical records in
19 this case?

20 A Well, in the context of the question I was asked,
21 for this (indiscernible) I thought it was sufficient.
22 In the context, if I was asked to do more, for instance
23 in the case of a criminal trial I would ask that those
24 records be sent to me. If there were a specific
25 question I was asked by counsel was an imaging

1 question.

2 Q Okay. So, you didn't go through a majority
3 of his medical records? You didn't consult with Dr.
4 Medina either, did you?

5 A No.

6 Q And you didn't consult with any of the
7 radiologists that looked at his -- that looked at his
8 eyes either, did you?

9 A I -- I didn't have access to any of their names,
10 nor would they be able to talk to me under HIPAA rules.
11 Unless you arrange that conversation they can't talk to
12 me under HIPAA rules. (Indiscernible) institution, the
13 studies were performed there, I'm allowed to talk to
14 somebody else.

15 Q And you mentioned head circumference, and
16 that if his head grew that would affect your opinion in
17 this case?

18 A Well, it would be helpful to understand whether
19 the space outside the brain was present because the
20 skull was growing too fast versus whether the brain
21 wasn't growing fast enough, because we have a
22 discordant brain to skull ratio. The brain is too
23 small relative to the skull, or the skull is too big
24 relative to the brain. So it would have helped discern
25 which one of those was present, maybe, but it doesn't

1 change the imaging data.

2 Q Okay. But you also didn't --

3 A Whether his head was big or not doesn't change the
4 imaging data.

5 Q You also didn't ask for that data, did you?

6 A No. I don't -- I -- it typically doesn't change
7 the appearance on imaging.

8 Q Okay. And so if that data --

9 A (Indiscernible). But I don't rely on head
10 circumference to determine whether or not the space
11 around the brain is (indiscernible).

12 Q You also didn't review any of the medical
13 record from the hospital stay in February, correct,
14 other than --

15 A I relied on Medina's summary.

16 THE COURT: I'm sorry, Doctor. What was that
17 answer?

18 THE WITNESS: I relied on Dr. Medina's
19 summary of the hospital stays.

20 BY MS. CRAVEIRO:

21 Q And the images that you looked at, the
22 radiology images, you didn't attempt to consult with
23 the radiologist who reviewed those scans, did you?

24 A Well, again, they wouldn't be able to talk to me.
25 Those images would be found in their institution. And

1 unless they got granted permission or some
2 (indiscernible) by the Court they can't discuss those
3 with me. So I wouldn't have attempted to call them
4 because I know that. I know that exists. And I don't,
5 in most cases. I'm happy to talk to them. If you
6 think that's important, then you can -- can arrange
7 that.

8 Q Well, in direct --

9 A I'm always happy to talk to other radiologists.

10 Q In direct you said it would have been
11 beneficial for Dr. Medina to talk to all of the
12 radiologists to figure out if this infant did, in fact,
13 have abusive head trauma, isn't that right?

14 A Well, it would have been important for Dr. Medina
15 to talk specifically to the radiologists who reviewed
16 the images with them since she is not a radiologist.

17 Q In making a determination, isn't that right?

18 A Did I make a determination that she is not a
19 radiologist?

20 Q No. In making her conclusions in this case
21 you testified on direct that it would be beneficial for
22 her to speak to other radiologists. Not other
23 radiologists, the radiologist.

24 A So, it has always been my opinion if your
25 clinician, and you are -- you have a data set that

1 includes imaging, yes, you should speak to the
2 radiologist, in my opinion.

3 Q And so you're not a clinician?

4 A I'm not a clinician. I'm a radiologist.

5 Q And because you're not a clinician you didn't
6 think it was -- would be important to you to speak to
7 any of the other radiologists in this case, correct?

8 A I don't see -- I mean, I'm always happy to talk to
9 radiologists, but it's not going to change the imaging
10 data. So I didn't need them to tell me how big the
11 space is between the brain and the skull, or --

12 Q Well, you understand --

13 A -- (indiscernible). But I'm always happy to talk
14 to other physicians.

15 Q You understand that there was at least four
16 other radiologists who looked at these images, correct?

17 A I don't know. I don't know how many radiologists
18 looked at the images, no. I don't have that data.

19 Q And you said that your findings were at least
20 different than one of them, didn't you?

21 A No. I don't have the reports, so I don't know if
22 it was different or the same as mine.

23 Q Okay. In the films that you saw in March
24 22nd, April 11th and June 9th there were no abnormal
25 fluid collections that you observed, correct?

1 A So, in March -- I believe this is in the addendum
2 to my report, the fluid collections were --
3 (indiscernible) there's always fluid around the brain,
4 but in March they were very small, two millimeters, so
5 within the range of average, acceptable, not considered
6 enlarged. Yes.

7 Q I'm sorry. They were considered acceptable,
8 or were not?

9 A Yes. Well, two millimeters is acceptable.

10 Q Okay.

11 A Below four millimeters is acceptable. So,
12 two millimeters, absolutely. That would be probably
13 not even commented upon.

14 Q And in June?

15 A The next one would be April. There were four.
16 Then in June they were approaching what some would call
17 abnormal. There were nearly seven, six to seven.

18 Q But not all would call them abnormal,
19 correct?

20 A I think all would recognize that they were
21 emerging over time. I mean, that's not self evident on
22 images. But the spectrum of normal is obviously
23 variable. Some who will -- pediatric, premature
24 infants may consider it in the context of prematurity
25 it's not uncommon to see (indiscernible). They would

1 say, well, at a 25-week preemie this is probably not
2 bad. You know, the skull -- the brain is
3 (indiscernible). It's (indiscernible). If you look at
4 the articles that say what's normal, what's abnormal,
5 you realize there can't be an abrupt cutoff. But six
6 to seven in those articles would approach --
7 (indiscernible) about four. In sum, about five and
8 others -- so in the context of prematurity not uncommon
9 to see this level, but it's clearly increasing. I
10 don't think you'd have a radiologist retrospectively
11 look at this and say they're the same from March to
12 April to June. They're clearly increasing.

13 Q And I believe you testified on direct that
14 when you're looking at these images, even the February
15 13th image, you can't -- or I'm sorry, when you're
16 looking at the February 13th image you can't tell
17 whether or not what's occurring there is accidental or
18 inflicted, correct?

19 A That's right. No image can determine intent.
20 That's impossible.

21 Q And that would be asking too much of you,
22 correct?

23 A For any radiologist.

24 Q And --

25 A You can't look at it and say, depending on what

1 the findings are, if it was a broken bone you could
2 say, well, trauma occurred. But in this case I am
3 looking at the same thing. Trauma is (indiscernible),
4 and then this could all be natural disease.

5 Q And as a radiologist you also agree that
6 hemorrhages in the subdural compartments are more
7 common with trauma, correct?

8 A Well, it is one of the more common causes of
9 hemorrhages, trauma.

10 Q And because you're not a retinal specialist
11 you don't know the different types -- you haven't been
12 taught about the different types or patterns of retinal
13 hemorrhagings, correct?

14 A I have been taught that there are different types,
15 but I would never diagnose retinal hemorrhages and say
16 they are one type or another. So I am aware of the
17 concept of different types, but I don't diagnose them.

18 Q And are you aware -- your view about the
19 bridging veins is in the minority, correct?

20 A What part of my view of the bridging veins? What
21 do you mean?

22 Q About the bridging vein rupture not being
23 able to be occurred by shaking.

24 A I don't remember testifying to that, but I don't
25 agree with that statement. I don't think that there

1 has been good evidence that shaking can cause bridging
2 vein rupture. I know that's the hypothesis that's out
3 there. But there's no good evidence that that occurs.
4 (Indiscernible), or based on the -- you know, the
5 biomedical mechanical events of the tolerance of those
6 veins.

7 Q And -- but there's literature that suggests
8 that it can, correct?

9 A There's literature that makes the presumption that
10 it does. But I don't know any good literature that
11 says that the experiment that they performed in an
12 animal shows bridging vein rupture. I'm aware of two
13 different animal studies (indiscernible), and none of
14 them actually address the bridging veins --

15 Q Have you read the --

16 A -- (indiscernible).

17 Q I'm sorry. What did you say at the end?

18 A So I'm aware of two animal studies that attempt to
19 mimic the shaking mechanism thought to occur in the
20 head trauma or shaken baby syndrome, and those animal
21 studies did not document bridging vein rupture. They
22 don't talk about the bridging veins. There was one
23 that showed small subdurals, but they don't address the
24 bridging veins, or what the origin of those subdurals
25 were. So the theory that shaking can produce bridging

1 vein rupture remains a theory. It's not that bridging
2 veins can't be injured by trauma. A hundred percent
3 agree with that. The theory that isolated shaking
4 without impact can actually (indiscernible) the force
5 that is required to rupture a bridging vein, that's the
6 contested piece.

7 Q So have you read the Daubert analysis of
8 abusive head trauma, shaken baby syndrome?

9 A Is that the one by Narang?

10 Q That is the one by -- yes.

11 A I'm aware of its existence. I haven't read it in
12 a long time.

13 Q Okay. So if it says in there that in the
14 event the acceleration/deceleration motion of the brain
15 results in strain upon the cortical bridging vein which
16 exceeds their tolerance levels and subsequently leads
17 to rupture and hemorrhage, subarachnoid and/or
18 subdural, that would go against what you're saying,
19 correct?

20 A Well, I think that's a question for a
21 biomechanical engineer because
22 acceleration/deceleration can be impact, so there are
23 certain impacts (indiscernible) can exceed the
24 tolerance. But once you start talking about tolerance
25 I'm going to give it over to the biomechanical

1 engineer.

2 Q Okay. And your article, you've also written
3 -- you mentioned you wrote, "Anatomy and development of
4 meninges, implications for subdural collections,"
5 correct?

6 A Yes.

7 Q That's also been criticized, isn't that
8 right?

9 A What do you mean by criticized?

10 Q That article has been criticized.

11 A I -- I guess I (indiscernible).

12 Q Your belief that subdural bleeding wouldn't
13 present -- does not precipitate abrupt onset of
14 neurological decline such as found in subdural
15 hemorrhages associated with inflicted trauma. Isn't
16 that right?

17 A Say the sentence again?

18 Q Of the non-traumatic conditions suggested by
19 this author, benign extra cerebral fluid collections do
20 not commonly cause subdural hematomas, the other
21 conditions noted may do so in association with
22 decreased intracranial pressure. If these conditions
23 cause subdural bleeding, however, it is slow bleeding,
24 and it does not precipitate abrupt onset of
25 neurological decline such as found with subdural

1 hematomas associated with inflicted traumatic head
2 injuries.

3 A Well, two things that you mentioned, does BEH
4 cause subdurals? Now, if that article is saying it
5 doesn't, I would disagree with that statement. The
6 second is -- is I'm not sure what the author is getting
7 at, but does bridging vein rupture precipitate
8 neurologic quick neurologic decline? I would agree
9 with that. But does (indiscernible) hemorrhage from
10 the dural plexus (indiscernible) result in abrupt
11 neurologic decline? Probably not. In the context of
12 seizures it can. I would -- I mean, there's so many
13 different pieces to the -- what I think that author is
14 getting at.

15 Q And a radiologist alone can't diagnose
16 abusive head trauma, correct?

17 A (Indiscernible).

18 Q And that's why there's other specialists
19 involved, correct?

20 A A radiologist (indiscernible) imaging studies in
21 the context of a confirmation. We're not going to go
22 investigate the home. That's not part of their work.

23 Q Okay. And so the abusive -- the child abuse
24 pediatricians are the ones who actually diagnose that,
25 isn't that right?

1 A In most hospitals that have those teams, the
2 medical diagnosis (indiscernible) on the chart is child
3 abuse, it's usually by a child abuse pediatrician.

4 Q And they use a thorough diagnostic process to
5 do that, isn't that right?

6 A I wouldn't have access to that information
7 (indiscernible), no, in general. Do all of them? I
8 would hope so. My comment on the do all of them,
9 actually? (Indiscernible).

10 Q Well, you read --

11 A I would hope so (indiscernible) in their
12 evaluation.

13 Q You read Dr. Medina's report here, correct?

14 A Yes, I did.

15 Q And so you know there was several different
16 subspecialties involved, correct?

17 A I mean, there were several different physicians
18 who saw this child. I don't know how many participated
19 in her final conclusion. I don't know that. She
20 didn't say that in her report.

21 Q Well, if they all were involved in the
22 evaluations, wouldn't they obviously be involved in
23 making this conclusion?

24 A Well, I don't know if the ophthalmologist was
25 involved in reviewing the x-rays, and the x-ray

1 (indiscernible) would have been involved in analyzing
2 the retina hemorrhages. So each had an independent
3 role in pieces of the puzzle. But she is the one
4 that's gathering all of the information, and it's her
5 decision. I don't think the radiologist or the
6 ophthalmologist or even (indiscernible) -- they
7 participate in parts of it, but she doesn't say she met
8 with her team to make her final decision. My
9 assumption was she made it on her own.
10 (Indiscernible).
11 Q Well, does it --
12 A I was under the impression that she was operating
13 based on her (indiscernible) information of others.
14 Q Doesn't her report list that she met -- she
15 -- there was an ophthalmological consultation, correct?
16 A Yes.
17 Q A genetic consultation, correct?
18 A Yes.
19 Q A neurological consultation, correct?
20 A That's correct.
21 Q A genetics consultation, correct?
22 A I think you already said that one. Yes.
23 Q Did I already say that one? A hematological
24 consultation, correct?
25 A Yes.

1 Q A radiology department was also consulted,
2 correct?
3 A Did she use those words? I think she -- I believe
4 imaging, but I don't remember her saying I consulted
5 with radiologists.
6 Q The radiology department --
7 MS. RUE: Can we get a page number, Judge? I
8 apologize.
9 MS. CRAVEIRO: Oh. I'm sorry.
10 MS. RUE: Yeah. I don't know where we are.
11 MS. CRAVEIRO: Page 9 of Medina's report.
12 BY MS. CRAVEIRO:
13 Q And you didn't ask her if she consulted with
14 the radiologist, correct?
15 A I didn't talk to Medina at all.
16 Q And you didn't discuss your difference --
17 your opinion in this case with her, correct?
18 A No.
19 Q You didn't attempt to see if what you found
20 would have changed her opinion in this case, did you?
21 A I never talked to Dr. Medina. No.
22 Q And you also never talked to any of the other
23 radiologists, correct?
24 A Yup.
25 Q And subdural hemorrhages absolutely can be

1 caused by traumatic -- by trauma, correct?

2 A Yes.

3 MS. CRAVEIRO: Judge, if I could just have
4 one minute, I think I'm done.

5 (Pause)

6 BY MS. CRAVEIRO:

7 Q And subdural hemorrhages also have
8 differential diagnoses, correct?

9 A Yes.

10 Q And that means you have to rule out all of
11 the other things that could cause the subdural
12 hemorrhage, correct?

13 A In the ideal situation you would be able to rule
14 out everything.

15 Q Okay.

16 A Fortunately one of the things on the differential
17 is unknown (indiscernible). But that's the general
18 role is you start to exclude possibilities based on
19 testing.

20 Q But like you said, there are certain things
21 that you can look for that help you in doing a
22 differential diagnosis, correct?

23 A Yes.

24 Q And what makes -- and that can help make your
25 differential diagnosis stronger or weaker, correct?

1 A It can help narrow the differential diagnosis.

2 Q And in abusive head trauma that would be
3 findings of specific types of retinal hemorrhages,
4 isn't that right?

5 A I think that's controversial in the literature
6 right now, but again, I'm not the ophthalmologist.

7 Q Okay. So there is literature out there that
8 does say there are specific retinal hemorrhages, a
9 specific pattern that's associated with abusive head
10 trauma, correct?

11 A Yes. But literature on both sides of that
12 subject.

13 Q And --

14 A (Indiscernible) it depends on somebody else --
15 (indiscernible) possible.

16 Q And that view is widely accepted within the
17 ophthalmological community, isn't that correct?

18 A What do you mean? What is widely accepted?

19 Q The -- that there is a pattern of retinal
20 hemorrhages that is commonly associated with abusive
21 head trauma.

22 A I don't think that's widely accepted. And again,
23 I don't know. I'm not an ophthalmologist. And
24 (indiscernible) have ophthalmologists who don't agree
25 with that, so I wouldn't say it's an acceptance of

1 that. I don't know (indiscernible) -- how wide
2 (indiscernible) the ophthalmologist (indiscernible).
3 It's been written about on both sides of the issue,
4 (indiscernible) strongly that it isn't and others
5 strongly that it is.

6 Q Okay. And you -- so even in your opinion you
7 can't say one way or the other anything regarding the
8 retinal hemorrhages, correct?

9 A The retinal hemorrhages no impact on what the
10 imaging data is.

11 Q Okay.

12 A It doesn't change (indiscernible) --

13 Q And you wrote --

14 A -- (indiscernible).

15 Q I'm sorry.

16 A -- on that particular (indiscernible).

17 Q And you wrote a sentence here, retinal
18 hemorrhages are known to be associated with a wide
19 array of non-traumatic causes, including spontaneous
20 subdural collections, hygromas. What medical
21 literature has that? Did --

22 A (Indiscernible), we mentioned Piatt. That's the
23 one where the short fall had severe. We mentioned Bin
24 Chon, whose -- the title of his article is "Spontaneous
25 subdural hemorrhaging, does it occur?" And

1 (indiscernible) several other (indiscernible), but
2 those are the two that I referenced in my report.

3 Q Okay. And BESS is benign enlargement of the
4 subarachnoid space, correct?

5 A That's what that acronym stands for. Yes.

6 Q And so as you were discussing, that's fluid
7 collections around the subarachnoid space, correct?

8 A The acronym is specific for (indiscernible) in the
9 subarachnoid space.

10 Q Okay.

11 A Benign enlargement subarachnoid space.

12 Q And when you reviewed the neurosonograms
13 before February 13th, those were normal, correct?

14 A The (indiscernible) slowly enlarged over time,
15 including the last neurosonogram that I had, which is
16 7/22/16. Now, I wouldn't call those normal because
17 they have findings that pertain to what we saw in
18 February, but they -- but you could reasonably
19 characterize them within a variation of what can be
20 seen in infants. It was benign external -- benign
21 expansion of the subarachnoid space is a finding that's
22 untreated. It's kind of -- it's we see it sometimes.
23 If you want to call that variation of normal, sure.
24 But the data on the films show that there's slow
25 expansion of those cases up to and through 7/22.

1 Q I'm sorry, but there was no -- there was --
2 what you saw, there was nothing in the subdural spaces,
3 correct?

4 A Yeah. The only one I couldn't -- I think on the
5 first three, all of the -- all of the compartments
6 looked like they had subarachnoid -- looked like they
7 had veins in them, so (indiscernible) veins
8 (indiscernible) in the subdural compartment. But the
9 last one, 7/22, a couple of the images I couldn't be
10 confident that the entire space was filled with vessels
11 and trabeculae. So that's why I put that disclaimer,
12 because I couldn't exclude a small component of
13 subdural on that. It's just not the right test to look
14 for small subdurals.

15 Q But the ones before that --

16 A That's a limitation of that test.

17 Q The ones before that were normal, right?

18 A Yeah. Again, normal meaning they're limited to
19 the fontanel and a little bit either side. I -- I was
20 pretty confident that those spaces looked like they
21 were all filled with normal trabeculae and vessels,
22 which you find in the subarachnoid.

23 MS. CRAVEIRO: No further questions.

24 MS. RUE: Thank you, Your Honor. I have some
25 brief redirect.

1 REDIRECT EXAMINATION BY MS. RUE:

2 Q Just to clarify some things, Dr. Mack, the
3 prosecutor just asked you whether you acknowledged that
4 abuse can cause injury to infants, meaning abusive head
5 trauma. I think she was referring to abusive head
6 trauma. Do you accept that as a diagnosis?

7 A So, as a medical diagnosis it exists, because we
8 have a CPT code for it. Patients would be in the
9 hospital with that diagnosis. It exists. And do I
10 accept in general the proposition that head trauma, in
11 an abusive or intentional way, exists as a proposition?
12 Absolutely. I believe that it exists.

13 Q Do you agree with the premise that shaking
14 alone can cause the injuries that the -- that you saw
15 outlined in Dr. Medina's report?

16 A That -- that's where the -- that go -- that's
17 really heated controversy. How strong is the data?
18 It's not the question of does abuse exist, and does
19 abusive head trauma exist? The answer is
20 (indiscernible) yes. I think the real problem is can
21 you use these findings to infer that abuse exists? And
22 that's where the controversy arises. So can abuse
23 result in a brain hemorrhage? Absolutely. Can you
24 infer abuse just by the presence (indiscernible)? Now,
25 each finding is not specific, so (indiscernible).

1 Hemorrhages can occur with trauma or in non-traumatic
2 conditions. Does combining the two make them any
3 better? And the answer is no. That's where I would
4 disagree that you could use any one or several findings
5 to say, infer reliably that this child, this individual
6 child, suffered a particular event (indiscernible). We
7 have the issue of shaking (indiscernible) is about
8 (indiscernible). And mine is more of an anatomic one.

9 Q Okay. But the State did ask you about -- it
10 was qualified as a thorough diagnostic process that was
11 outlined in Dr. Medina's report. Do you recall that
12 question?

13 A Yes.

14 Q Would you, as an expert in the field of
15 radiology, pediatric radiology, relating to that
16 element of Dr. Medina's report, so I'll be in my
17 question, relating to how Dr. Medina had or requested
18 the images to be reviewed, would you describe that as a
19 thorough diagnostic process?

20 MS. CRAVEIRO: Objection. Speculation.

21 MS. RUE: It's her opinion. It's not
22 remotely speculative.

23 MS. CRAVEIRO: She didn't -- she said she
24 didn't know what kind of consultations Dr. Medina had
25 with any of the radiologists.

1 THE COURT: Doctor, are you able to answer
2 that question?

3 THE WITNESS: So, if I understand the
4 question to be --

5 THE COURT: Say the question again.

6 THE WITNESS: -- (indiscernible) Dr. Medina's
7 report --

8 THE COURT: One second. Let me have the
9 attorney repeat that question. Say it one more time.
10 BY MS. RUE:

11 Q So, looking specifically at -- relying upon
12 Dr. Medina's report, which you established on cross
13 examination you relied upon Dr. Medina's report as to
14 the process that she undertook, is that right?

15 A Yes.

16 Q Okay. Referring to the radiology, the images
17 --

18 A Yes.

19 Q -- would you, in assessing what is outlined
20 in the report, would you call that a thorough
21 diagnostic process?

22 A Well, in her report she only refers to the MRI, so
23 because the ultrasounds provide data, that -- that
24 portion of her report is incomplete. So if she did not
25 seek information on the ultrasounds or reviewed them,

1 and then respond to this (indiscernible) of an
2 increasing subarachnoid space over time. In that case
3 (indiscernible). The report does not mention that, so
4 the report is not (indiscernible). I can't speak to
5 what she did outside the report.

6 Q Right. So what's documented in the report,
7 would you qualify that as -- would you characterize
8 that as thorough?

9 A Not in the radiology section, no.

10 Q Was it appropriate to have those prior scans
11 examined in relationship, or neurosonograms, I should
12 say, considered in relationship to the MRI that was
13 done in February of 2017?

14 A We are (indiscernible) the evaluation
15 (indiscernible) to have those available because --
16 because they were there, and, you know, many children
17 don't have that, so we don't have that data. But that
18 is data that needs to be reviewed before coming to a
19 conclusion, (indiscernible) our findings are specific
20 for anything.

21 Q And why would that be? Why is it crucial to
22 review all of those together?

23 A Because it's data. This is a (indiscernible) to
24 the child's head over time since he was a few weeks
25 old, including (indiscernible) he was hospitalized

1 multiple times. And so we want to know what happened
2 before (indiscernible) evaluate what's on the images
3 (indiscernible). What did he look like before? How
4 much of this is old? How much of it is new? So
5 there's -- that's just -- we look at priors. That's
6 how you -- you're a better radiologist if you looked at
7 them prior. So prior relevant images should always be
8 reviewed. Any actual radiology. That's not just
9 confined to past imaging. Cross radiology,
10 (indiscernible), and viewed prior relevant studies.

11 Q Thank you.

12 MS. RUE: No further questions, Judge.

13 THE COURT: Nothing else?

14 MS. CRAVEIRO: Very quickly, Judge.

15 THE COURT: Go ahead.

16 RE-CROSS EXAMINATION BY MS. CRAVEIRO:

17 Q You do agree that shaking can cause injury,
18 correct?

19 A I -- I would agree wholeheartedly that shaking is
20 dangerous, (indiscernible) shaking can be dangerous to
21 a baby.

22 Q And if you were -- if you found out that Dr.
23 Medina did consult with the other radiologists and did
24 have the other radiologists review the ultra -- the
25 prior head ultrasounds, would that make her report more

1 -- or her -- strike that. Would that make her
2 assessment more thorough?

3 MS. RUE: Judge, I'm going to object. That
4 is speculative.

5 THE COURT: Yes. Yes.

6 MS. CRAVEIRO: Judge, it's a hypothetical. I
7 said if that happened.

8 THE COURT: Doctor?

9 THE WITNESS: Yes?

10 THE COURT: You said you relied on Dr.
11 Medina's summary, correct?

12 THE WITNESS: Well, the historical
13 presentation packs and --

14 THE COURT: Okay.

15 THE WITNESS: -- history of the child since
16 birth. Yes.

17 THE COURT: But beyond what's contained
18 within the four corners of that summary you don't know
19 what, if anything, Dr. Medina left out of that report,
20 or why she left it out, is that a pretty accurate
21 statement?

22 THE WITNESS: Yes. That's a correct
23 statement, actually.

24 THE COURT: Okay. And again, because the
25 report is just a summary, not a blow-by-blow transcript

1 of what she did in reviewing the reports she had
2 available to her, is that accurate?

3 THE WITNESS: I -- I don't know whether she
4 considers it a summary or not, but it does --

5 THE COURT: No, no. Doctor, listen to my
6 question. Her report you characterize as a summary,
7 right, in your testimony.

8 THE WITNESS: The historical facts were
9 summaries. Right.

10 THE COURT: Okay. It's not a transcript, a
11 blow-by-blow transcript of every single thing that she
12 did or every single thing that she reviewed in coming
13 to --

14 THE WITNESS: Correct.

15 THE COURT: -- her opinion. Okay. That
16 should be the answer to the hypothetical.

17 MS. CRAVEIRO: Judge, no further questions.
18 BY THE COURT:

19 Q Doctor, you indicated that you have only been
20 contacted by defense attorneys over the course of your
21 career, is that -- did I get that accurate?

22 A No. Since 2009.

23 Q Okay. So --

24 A Since I published that -- it was 2009.

25 Q Okay. All right. Have you ever provided a

1 response or an opinion contrary to the interests of the
2 defense attorneys who have ever reached out to you for
3 a consult?

4 A Yes.

5 Q Can you break it down percentage-wise, to the
6 best of your ability, for or against? Who have you
7 provided an opinion for, who you've provided an opinion
8 against?

9 A Well, sometimes I won't know whether I'm helpful
10 or not because I just tell them what I see. I can give
11 you a breakdown of how many times I've testified versus
12 how many times I've reviewed.

13 Q Okay.

14 A (Indiscernible).

15 Q How many times -- how many times have you
16 testified as a result of a process where somebody has
17 reached out to you for a consult and you've testified
18 on their behalf versus how many times has someone
19 consulted with you and you have not testified for that
20 person?

21 A Well, I only know that data in terms of criminal
22 trials because I don't keep a --

23 Q Which is perfect because this is a criminal
24 trial, so it fits right in.

25 A Well, I have been consulted well over 300 times.

1 Q Okay.

2 A Probably in the four hundreds by now. And I have
3 testified in criminal trials around 34 to 36 times.

4 Q Okay.

5 A You know, a small percentage of the cases of which
6 I've consulted.

7 Q Okay. Doctor, you're familiar with the
8 phrase beauty lies in the eye of the beholder, correct?

9 A Yes.

10 Q If I substituted the word diagnosis for the
11 word beauty, would that statement still stand true? A
12 diagnosis --

13 A A diagnosis would what?

14 Q So, the phrase would be a diagnosis lies in
15 the eyes of the beholder.

16 A Yeah. If you -- if you preface that there was
17 data underlying that diagnosis.

18 Q Right. Assuming. Yeah.

19 A I (indiscernible). Yes.

20 Q Yes. You have the data, just like you have
21 the visual data of looking at someone to assess their
22 beauty, in a diagnosis someone has data, and on some
23 level, to make an assessment. So on some level a
24 diagnosis lies in the eyes of the beholder, right?

25 A In terms of diagnosis that can't be confirmed.

1 Q Okay.

2 A So a pathologic diagnosis is -- is a confirmation,
3 it's a gold standard. So if you're talking about a
4 diagnosis of, you know, something that you can't really
5 test for (indiscernible) syndrome --

6 Q Right.

7 A -- (indiscernible). There's no test to confirm
8 it. Again, that would be in the eye of the beholder.

9 Q Okay. Thank you, Doctor. I appreciate it.

10 THE COURT: Are we over with -- is Dr. Mack
11 released for now?

12 MS. CRAVEIRO: Can I just have one
13 clarification, Judge? I'm sorry.

14 FURTHER RE-CROSS EXAMINATION BY MS. CRAVEIRO:

15 Q The times that -- the Judge asked you about
16 the times that you testified for the defense. In those
17 cases you didn't find that there was trauma, correct?

18 A Sometimes I found there was trauma.

19 Q Okay.

20 A Because the issue was is the -- are the findings
21 reported (indiscernible) the history of trauma
22 provided. And I would provide the neurologic data to
23 show (indiscernible) papers. So sometimes there was
24 trauma.

25 THE COURT: Doctor, I -- can I assume from

1 what you've just -- with regards to the number of times
2 you've testified as you've indicated to us, since you
3 only have testified on behalf of the defense, just to
4 make clear, I'm assuming that the defense called you to
5 testify, and you did testify on their behalf because
6 your testimony was consistent with whatever position
7 the defense was taking. Is that accurate?

8 THE WITNESS: That's accurate.

9 THE COURT: Okay. All right. Doctor, I just
10 want to tell you that I think there are no more
11 questions to ask of you, and so, you know, I probably
12 won't see you again, but nice meeting you. But, you
13 know --

14 THE WITNESS: Nice meeting you.

15 THE COURT: -- and on the chance that we
16 might need to recall you for whatever reason in the
17 future, as a follow up or something, please know that
18 that remains a possibility. Okay? But we will give
19 you way advance notice and accommodate you as best as
20 we can.

21 THE WITNESS: Okay.

22 THE COURT: All right. Thank you very much.

23 THE WITNESS: Thanks.

24 MS. RUE: Thank you, Dr. Mack.

25 THE COURT: All right. So right now we are

1 going to be adjourned until December -- October when?

2 MS. RUE: 13th, Judge. And I don't believe
3 the State has any objection --

4 THE COURT: Is that when Dr. Scheller is
5 coming in?

6 MS. RUE: Dr. Van Ee. That was why we were
7 saying maybe do -- finish Dr. Scheller before we do Van
8 Ee, but --

9 THE COURT: No. Let's do Van Ee.

10 MS. RUE: Okay. And I don't believe the
11 State had any objection to D-11, which was the article
12 referenced by Dr. Mack --

13 THE COURT: Yeah. No.

14 MS. RUIZ: -- of the -- by Dr. Piatt.

15 THE COURT: It's -- I expect to see it. Dr.
16 Van Ee. Yes. Is on research (indiscernible). Okay.
17 10/13. Okay, folks. At least on this we are adjourned
18 until October 13th. Let me have -- let me make sure I
19 have all the exhibits that you put out there.

20 MS. RUE: I think this -- and I gave the
21 Court this --

22 MS. CRAVEIRO: I didn't do any today, so --

23 MS. RUE: -- the -- the C.V., and then the
24 Court has --

25 THE COURT: No. I mean, hold on to

1 everything --

2 MS. RUE: -- S-11. I just gave a color copy,
3 so it's a little bit -- matched what she was showing.
4 But it's D-8 or S-11 --

5 THE COURT: As a matter of fact --

6 MS. RUE: -- which is Dr. Mack's report.

7 THE COURT: Is that the file? Let me have
8 that. Let me have it all. Let me have all of it.
9 Okay. Anyway, I think we're done for today, and I'll
10 pick it up tomorrow, one last time.

11 MS. RUE: And Judge, when you have a moment I
12 just want to ask a scheduling thing. Not on the record
13 or any --

14 THE COURT: All right. What's your question?

15 MS. RUE: Oh. Judge, for -- just on
16 scheduling we want to make sure -- with Dr. Van Ee, he
17 is flying in and out on the 13th, so if we could
18 possibly -- it's an off day. If we could get that full
19 day, so that we get it in in time, and not deal with
20 the --

21 THE COURT: Counsel, I try and provide -- I
22 can tell you it's going to be a full day. Okay?

23 MS. RUE: Right.

24 THE COURT: But this is --

25 MS. RUE: Oh. There will be a calendar?

1 THE COURT: No, no, no.

2 MS. RUE: Oh. Okay.

3 THE COURT: But this is also a courtroom
4 where -- which also serves as a first aid squad.
5 Sometimes there are cases that are emergent in nature
6 that need to come here.

7 MS. RUE: I understand.

8 THE COURT: Or somebody needs to have
9 something on that day. So I can't also outright tell
10 them no. I can balance it. So just -- but I'm
11 apparently dedicating the whole day to -- now, that
12 does not mean that you should lollygag with the
13 questions. Just focused, firm, get in, get out, you
14 know? Instead of a 15-round fight make it a seven-
15 round knockout. Whoever wants to take an opportunity
16 to do that. Okay? But I'm not rushing anybody. I
17 just want to -- you know. Okay? I've got to cover all
18 bases.

19 MS. RUE: We understand, Judge. Yes. Thank
20 you. I appreciate it. Just so we can try to keep it
21 understood that emergent things happen.

22 THE COURT: No, no. More information is
23 better, but -- you know, we'll extract it as best as
24 you can.

25 MS. RUE: Yes.

1 THE COURT: All right.
2 (Proceeding concluded at 1:03:29 p.m.)
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATION

I, TAMMY DeRISI, the assigned transcriber, do hereby certify the foregoing transcript of proceedings on CourtSmart, Index No. from 10:01:00 to 12:07:00 and 12:08:03 to 1:03:29, is prepared to the best of my ability and in full compliance with the current Transcript Format for Judicial Proceedings and is a true and accurate compressed transcript of the proceedings, as recorded.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

<u>/s/ Tammy DeRisi</u>	<u>AD/T 518</u>
Tammy DeRisi	AOC Number
<u>KLJ Transcription Service</u>	<u>10/06/20</u>
Agency Name	Date