

SUPERIOR COURT OF NEW JERSEY
LAW DIVISION, CRIMINAL PART
MIDDLESEX COUNTY
INDICTMENT NO. 17-06-00785
APP. DIV. NO. _____

STATE OF NEW JERSEY, :
 :
 vs. : TRANSCRIPT
 :
 : OF
 DARRYL NIEVES, :
 :
 : HEARING
 Defendant. :
 :

Place: Middlesex County Courthouse
56 Paterson Street
New Brunswick, NJ 08903

Date: October 13, 2020

BEFORE:

HONORABLE PEDRO J. JIMENEZ, JR., J.S.C.

TRANSCRIPT ORDERED BY:

CAROLINE V. BIELAK, ESQUIRE, A.D.P.D. (Office of
the Public Defender, Middlesex Region)

APPEARANCES:

VANESSA I. CRAVEIRO, ESQUIRE, A.P. (Monmouth
County Prosecutor's Office)
Attorney for the State of New Jersey

CAROLINE V. BIELAK, ESQUIRE, A.D.P.D.
DANICA L. RUE, ESQUIRE, A.D.P.D. (Office of the
Public Defender, Middlesex Region)
Attorneys for the Defendant

Transcribers: Terry L. DeMarco, AD/T 566
Sandy Hicks, AOC 711

Agency: KLJ Transcription Service, LLC
P.O. Box 8627
Saddle Brook, NJ 07663
(201) 703-1670
www.kljtranscription.com
info@kljtranscription.com

Digitally Recorded
Operator - Noemi Ramsammy

I N D E XWITNESSES FOR
THE DEFENSE:

	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
Chris A. Van Ee	26	56	--	123
Voir Dire	5	19		

BY THE COURT:

	<u>PAGE</u>
Voir Dire of Dr. Van Ee..	21
Examination of Dr. Van Ee..	110

EXHIBITS

	<u>IDENT.</u>	<u>EVID.</u>
D-14 Report by Chris A. Van Ee, Ph.D.	37	

1 (Hearing commenced at 10:50 a.m.)
2 THE COURT: All right. Emily, are we on?
3 (Extended pause)
4 THE LAW CLERK: Yes, Judge. We're live.
5 THE COURT: All right. So we're back on the
6 record. Darryl Nieves, Indictment 17-06-785 on file
7 17-837. Continuing with testimony in the Horne [sic]
8 hearing.
9 Who is on for today, as far as experts?
10 MS. RUE: Good morning, Judge. We have Dr.
11 Van Ee for today.
12 THE COURT: Okay.
13 THE LAW CLERK: Is Mr. Van Ee appearing
14 virtually?
15 MS. RUE: Dr. Van Ee is here in the
16 courtroom.
17 THE LAW CLERK: Okay.
18 THE COURT: Have him come up. Yeah. Doc?
19 MS. RUE: Emily, just before we get started,
20 he's in the waiting room as well. That's the virtual.
21 I think that might be the confusion. Because he's
22 going to share his screen like Dr. Scheller did two
23 weeks ago. So if we could just let him in? Okay.
24 THE LAW CLERK: Okay. I'll let him in right
25 now.

1 MS. RUE: Thank you. So, yeah, sorry, no,
2 I'm realizing the confusion now.
3 THE COURT: Doctor, I'm going to give you
4 the option. You can testify with your mask on, you
5 can testify with it off, you'll be behind -- but
6 you'll have to remain behind the plexiglass.
7 DR. VAN EE: Yes, sir.
8 THE COURT: What I will ask you to do is
9 also either move that mic closer to you or you can
10 hold it in your hand if you'd like, like -- like a
11 game show host. Because I want to be able to record
12 everything that you say with or without the mask.
13 Okay? So --
14 DR. VAN EE: (Indiscernible)
15 (Extended pause)
16 DR. VAN EE: Your Honor, do you suggest
17 putting it -- holding it or not holding it?
18 THE COURT: You can hold it, if you'd like.
19 You can place it somewhere. I just need you to be
20 able to get your voice. So, if you're not a loud
21 talker --
22 DR. VAN EE: Is this pretty good right now?
23 THE COURT: Yeah, you're perfect.
24 DR. VAN EE: Okay.
25 C H R I S A. V A N E E, DEFENSE WITNESS, SWORN

1 THE COURT: Please state your name for the
2 record and spell your last name, if you don't mind?

3 THE WITNESS: Chris Alan Van Ee, V-A-N space
4 E-E.

5 THE COURT: Okay. Your witness, counsel.

6 MS. RUE: Thank you, Judge. I don't know if
7 you want to -- for us to place our appearances on the
8 record?

9 THE COURT: You can. Yeah. All right.
10 It's five minutes before --

11 MS. CRAVEIRO: Good morning. Vanessa
12 Craveiro for the state.

13 MS. RUE: Danica Rue and Caroline Bielak on
14 behalf of Darryl Nieves, who is present in court
15 seated in the gallery.

16 THE COURT: Okay. Your witness.

17 MS. RUE: Thank you, Your Honor.

18 VOIR DIRE DIRECT EXAMINATION BY MS. RUE:

19 Q Good morning, Dr. Van Ee.

20 A Good morning.

21 Q And if you can't hear me, just let me know,
22 since I've got the mask on.

23 A Will do.

24 Q Where do you work?

25 A I work at a company called Design Research

1 Engineering. And it is located in Novi, Michigan,
2 just outside of Detroit.

3 Q And what do you do there?

4 A I am an engineer for that company. And my
5 specific are is impact biomechanics and mechanical
6 engineering.

7 Q Okay. Do you have an undergraduate --
8 pardon me -- undergraduate engineering degree?

9 A I do. I went to Dordt College, which is in
10 Northwest Iowa, and received a degree in engineering.
11 Mechanical engineering was my study there.

12 Q And do you have any advanced degrees?

13 A Yes. I went on to graduate school at Duke
14 University. I have a Ph.D. in biomedical engineering
15 from Duke University.

16 Q Okay. And what is orthopaedic and impact
17 biomechanics?

18 A So, let me back it up a little bit. So,
19 biomechanics is the application of mechanical
20 principles to biological structures. When you look at
21 orthopaedic biomechanics, it's applications as they
22 relate to orthopaedics. So what types of screws or
23 what types of materials should implants be made out
24 of. Those engineering questions that are related to
25 the implants or the procedures that an orthopaedic

1 surgeon would do. That's orthopaedic biomechanics.

2 I have done some of that, but primarily what
3 I have done is impact biomechanics and impact
4 biomechanics is actually the study of the human body
5 and how it responds to forces or accelerations when
6 they impact the body or when the body is moved and how
7 those forces and accelerations translate into physical
8 loading and property of the tissues of the body and
9 how they become injured. So, if I get hit in the
10 shoulder, how much force goes into my clavicle and how
11 much force can my clavicle take. So, when you're
12 trying to answer whether a certain side air bag or a
13 certain structure of a vehicle is sufficient or is the
14 best we can do, you need to know how strong the
15 different parts of the body are. That's impact
16 biomechanics.

17 Q And that's where you practice.

18 A Yes, primarily.

19 Q Are you a medical doctor?

20 A No, I am not a medical doctor.

21 Q So, what is different about what you do
22 compared to what a medical doctor does regarding
23 impact injuries?

24 A Sure. Well, primarily, I mean, I don't work at a
25 hospital, I don't see patients ever. I mean, that's

1 not a part of what I do. While a medical doctor is --
2 has -- needs to -- like an ER physician needs to
3 identify an injury when it comes in quickly, stabilize
4 that patient, rehab that patient, none of that is any
5 of what I would ever do.

6 However, if you want to understand how to
7 design a dashboard so that you don't break somebody's
8 hip in a frontal crash at, say, 35 miles per hour,
9 then you need to know how the hip breaks, what are the
10 forces that cause it, and how different types of
11 padding affect those forces that get to the hip.
12 That's the area that I work in and that's actually a
13 project that I worked on at University of Michigan.

14 So, all my work is related to understanding
15 the mechanics of how an injury takes place and then,
16 once those under -- once you understand that, you can
17 then evaluate designs or evaluate in this case a
18 forensic history that's given and say can that account
19 for what we see.

20 Q Okay. What kind of things did you work on
21 when you were getting your graduate degree at Duke
22 University?

23 A Sure. So, one of the biggest projects I worked
24 on and was a subject of my Ph.D. dissertation was
25 actually understanding how the frontal air bag

1 interacts with the human either when they're out of
2 position -- so they're too close to the air bag -- or
3 as they're moving into it in a crash scenario if
4 they're initially seated properly. So that involved
5 first off understanding how an air bag interacts with
6 a person, where it touches, and then how does it
7 create forces in the neck?

8 What we saw when air bags first started --
9 so, I started Duke in 1992. Air bags became prom --
10 or universal in all vehicles in '95. So I'm there
11 three years before air bags are in every vehicle. And
12 then -- or in SUVs and trucks I think it was '97 or
13 '98. So I'm there right at this time where air bags
14 are coming in the fleet of automobiles and we're
15 starting to see people who were injured by air bags
16 when they deployed.

17 Particularly one injury. There was an
18 injury where the base of the skull would either break
19 or separate from the top of the neck. And this was a
20 tension injury. And so -- but we didn't know how much
21 force it takes. And so I did tests with human
22 cadavers and I did computer modeling to help account
23 for the differences in muscle tone between a cadaver
24 and a living human to give the Department of
25 Transportation ideas on where to set force levels or

1 injury reference values for crash test dummies when
2 they're evaluating air bags.

3 So, first it was figure out the force it
4 takes to break the neck. And then, once you have that
5 sort of data with a crash dummy, you can then say
6 here's air bag 1, air bag 2, air bag 3, put your dummy
7 in front of each one, measure the forces when the air
8 bag goes off and say are we in a safe region, are we
9 in that transition region, or are we at a high risk
10 for a neck injury.

11 So, I didn't do the air bag testing, I did
12 the work to give some of the foundation that they can
13 use when they evaluated air bags.

14 Q When did you obtain your Ph.D.?

15 A Two thousand.

16 Q And then what did you do after that, work
17 wise?

18 A Sure. My first job out of grad school was at
19 University of Michigan Transportation Research
20 Institute. And I continued my work in impact
21 biomechanics. I did the hip injury project there, I
22 did a neck injury project there. We looked at -- did
23 research on injuries to pregnant mothers and their
24 unborn babies to try to figure out what's the best
25 kind of a seat belt or what's the best way to restrain

1 a pregnant person so that you decrease the chance that
2 they would have an injury to their baby or themselves
3 in a crash.

4 Aorta injury was another big part of it,
5 which is the second leading cause of death in car
6 accidents is a ripped aorta. Behind head injury. So
7 we were trying to do -- with cadavers and computer
8 models, trying to figure out a model how -- what are
9 actually the mechanics that govern when you get a
10 ripped aorta. Because if you don't know if it's
11 overall acceleration, is it distortion of the chest,
12 if you don't know, you can't design so that you limit
13 it or mitigate it.

14 Q And what -- I'm sorry. Where do you current
15 work now? At Novi?

16 A So, Design Research Engineering. It's in Novi,
17 Michigan. And then I'm also an adjunct professor at
18 Wayne State University.

19 Q And what do you teach there?

20 A So, currently I'm not teaching any courses. I
21 have taught graduate level courses in biomechanics in
22 the past. But I still have -- I think it's two right
23 now -- graduate students working on their Ph.D.s that
24 I'm helping mentor. One was looking at blast injury
25 to fighters -- or people in the military in the field,

1 what controls -- what are the important factors to
2 determine when our war fighters get injured in the
3 field when there's an underbody blast. And the other
4 one is looking at -- going to be looking at cervical
5 spine injury and specifically as it relates to some
6 sorts of degeneration that occurs as we get older and
7 may make the cervical spine more vulnerable to injury
8 in a car crash.

9 Q And what is Design Research Engineering, the
10 company you work for? Can you just explain what kind
11 of company it is?

12 A Sure. It's a consulting company. So, it's a
13 bunch of engineers, there's a few naval architects as
14 well, and we try to answer technical questions.
15 Typically, clients are attorneys that call us and
16 sometimes it's industry as well. And they ask us to
17 look at different issues. And if it's in an area in
18 which we have expertise, then we try to offer
19 assistance in answering their technical questions.

20 Q What types of attorneys ask you to do
21 consultant work?

22 A I've been asked by everything from the Air Force
23 JAGs to the Innocence Clinics throughout the --
24 throughout the world, actually, as well -- and -- and
25 so criminal def -- criminal attorneys, criminal

1 defense attorneys, occasionally a prosecutor, and then
2 lots of different attorneys relating to plaintiff or
3 defense cases, and then the other type would be
4 attorneys that work for companies who may be
5 interested in patent litigation or marketing claims
6 and things like that, where they're trying to protect
7 company -- company trademarks or -- or inventions that
8 they've come through, or evaluate a current product
9 that they have for safety.

10 Q And, I mean roughly, what percentage of the
11 consulting work that you do relates to shaken baby
12 syndrome or abusive head trauma would you guess?

13 A Wow. That's a tough question. I -- it depends.
14 Maybe -- maybe a quarter to a third? But it -- it
15 depends on the time.

16 Q Okay.

17 A Sometimes it's -- you know, I won't do any cases
18 like that at all or have any work related to that for
19 two, three, four months in a row, and then I'll have
20 back-to-back cases on something like that where for a
21 given couple weeks that's mostly what I'm looking at.
22 So it just depends on the time.

23 I could say my testimony history is heavily
24 weighted towards criminal cases as it relates to those
25 issues, and that's primarily because my civil cases

1 generally settle and they don't go to -- many times
2 they'll go to depo and certainly not to trial, where
3 these cases almost always go to trial in one shape or
4 another.

5 Q And how many times have you testified as an
6 expert before in the area of biomechanics relating to
7 abusive head trauma or shaken baby syndrome?

8 A It may be -- it may be even greater than 80
9 times. I'm not sure. It's around I would say 70-80,
10 but it may be more than that. It's not a number that
11 I have counted up.

12 Q Okay. And how many states have you been
13 qualified as an expert to testify in this area?

14 A Many, but I -- I -- definitely more than ten.

15 Q How about New Jersey?

16 A I have testified in New Jersey on this topic
17 before, yes.

18 Q You've been qualified as an expert. In
19 biomechanics.

20 A Yeah, the -- yeah, --

21 Q I mean, --

22 A -- I came, I testified as an ex -- and gave
23 expert opinions on a topic related to abusive head
24 trauma.

25 Q Okay. What other non-litigation consulting

1 work do you do?

2 A Sure. So, I'm a reviewer for multiple journals.
3 Journal of Biomechanics, Annals of Biomedical
4 Engineering. I work with the Society of Automotive
5 Engineers. I'm an editor for one of their -- or
6 associate editor for one of their journals. I have
7 worked with the National Institutes of Health
8 reviewing grants to help prioritize which have good
9 scientific merit, in terms of helping them decide what
10 they should fund.

11 I've also worked with the U.S. Army. And
12 that -- for that I was, for a week, I was at Fort
13 Rucker, Alabama working with their -- I think it's
14 called their Head and Spine Unit -- looking at
15 injuries that occur to war fighters in the field and
16 how can we best protect them, and that included both
17 looking at epidemiological data, as well as designs
18 they have come up with to try to protect people.

19 Those are some examples of things that I've
20 done.

21 Q Okay. What is your experience in the
22 biomechanics of traumatic injuries to children?

23 A Sure. So, I began with my training at Duke,
24 where we were trained specifically in the orthopaedic
25 biomechanics class about how the tissues in -- change

1 through maturation. So, you start out as a infant and
2 as you work all the way up to being elderly, how the
3 properties of bone, ligament, muscle, how those things
4 change. And they change not just in terms of
5 geometry, but actually the structure itself, the
6 material itself changes.

7 While I was there, we also -- when we were
8 looking at the air bag injuries, we were also looking
9 at air bag injuries to children and how that was
10 different than adults. And how the injury patterns
11 varied based on their unique -- the unique geometry
12 children as well as their -- the strength of their
13 skeleton and other structural components.

14 So then, after Duke, I was involved in a
15 study while I was at -- actually, this was actually
16 after I was at Design Research Engineering and I went
17 back to Duke and worked with Michael Prange on a study
18 looking at the properties of the infant head, in terms
19 of impact, how stiff is it, and what are the
20 accelerations that are measured when it contacts a
21 hard surface, and then compared that to the crash test
22 dummies that we have that represent children. So,
23 validating that.

24 And then, since then, I have done additional
25 work looking at developing a risk curve for skull

1 fracture for infants based on -- and this is peer
2 reviewed and published -- based on crash dummy
3 responses. And so if I measure, say, 82 Gs on a crash
4 -- on the CRABI 6 crash test dummy, that correlated
5 approximately to a 50 percent risk of skull fracture
6 for an infant. And then for less Gs, then obviously
7 the percent would be less, and greater for greater
8 accelerations.

9 Q Could I just interrupt you for a moment?
10 Can you explain what you mean to us non-engineers what
11 Gs mean?

12 A Oh, sorry.

13 Q Sure.

14 A Sure. So, when we measure acceleration, we -- as
15 we sit here on the world we may -- we have one G of
16 acceleration acting on us. That's what makes us
17 contact the earth or the floor and it -- and that's
18 one G of acceleration. If the floor is taken out from
19 under you, you would begin to accelerate towards the
20 center of the earth. And we'd accelerate at a level
21 of one G.

22 When I'm in a car and I'm coming up to a
23 stop light, I can hit my brakes really hard and slow
24 the car down very quickly and I may get over possibly,
25 if I have really grippy tires, possibly over one G.

1 Typically, it's more like .7, .8. But if I then have
2 my car hit a curb or run into a tree, suddenly I can
3 create acceleration levels that are much greater,
4 there may be 20 or 40 or even 100 Gs of acceleration.

5 And the force that it takes to stop
6 something, to create those Gs, is directly
7 proportional to the acceleration level, the Gs, as
8 well as how much something weighs. So, force equals
9 mass -- how much -- how much mass something has or its
10 weight -- times acceleration. So, if I say something
11 is 100 Gs for a head impact, compared to 50 Gs, I know
12 that the force on the head for the 100 Gs is greater
13 than it was for the 50 Gs by a factor of 2.

14 Q Okay. And you've mentioned that you were
15 published in a peer-reviewed study? What was the name
16 of that study?

17 A Well, I've actually got a large number on my C.V.
18 The one that I was talking about was the -- it was
19 with the American Society of Mechanical Engineers.
20 They have a transactions set where they put their
21 scientific peer-reviewed papers. And so I have a
22 study on skull fracture in there, as well as a study
23 on the production of subdural hematoma and retinal
24 hemorrhaging from an impact.

25 Q And when was that published? Do you recall?

1 A About 2009.

2 MS. RUE: At this time, Your Honor, I would
3 offer Dr. Van Ee as an expert in the area of
4 biomechanics.

5 THE COURT: Any objection?

6 MS. CRAVEIRO: Generally biomechanics?
7 Okay. Just I have one question.

8 VOIR DIRE CROSS-EXAMINATION BY MS. CRAVEIRO:

9 Q Dr. Van Ee, you testified that you have
10 previously -- that about 25 percent to 30 -- to one-
11 third of your cases are involving abusive head trauma
12 and shaking. Can you tell me what percentage of those
13 cases involve just shaking alone as a mechanism of
14 injury?

15 A So, first -- well, let me just clarify two parts
16 first. I think I was -- I was estimating on -- based
17 on time, not number of cases.

18 Q Oh, okay.

19 A So, 25 to 30 is maybe about the amount of time
20 I'm spending on those topics versus other topics.

21 Q So, in what --

22 A And that's a rough estimate. It might be more
23 cases than that, because I usually spend less time on
24 those cases than I do on a typical civil case.

25 Q Okay. So you spend --

1 A (Indiscernible) -- so it may be --

2 Q -- less time on those?

3 A -- more cases than that?

4 Q Okay. And when you say that you spend 25 to
5 one -- a quarter to a third of your time, what range
6 are we talking about? How many years then?

7 A Oh. So, I've been doing consulting since 2002.

8 Q Okay.

9 A I don't know that that number has been the same
10 all the way through, but there certainly have been an
11 element of this abusive head trauma or accident-
12 related scenarios that I have looked at over the
13 years.

14 Q Okay. So, in the cases that you testified,
15 how many of those dealt with shaking alone scenarios?

16 A So, that's a great question and I can't tell you
17 the answer, because we don't know what happened.
18 Right? In most of these cases we don't know what
19 happened. And in some cases it's clear there's
20 trauma. Other cases -- and I think maybe in a case
21 like this that I -- and I'm not involved in much of
22 the medical part of this case, but a case like this we
23 don't actually know if this child was shaken or not.
24 Is the defense position, at least. And I know the
25 prosecution feels differently. But I have no other

1 way to determine whether --

2 So, are you saying or asking are there
3 allegations of shaking without impact?

4 Q Yes.

5 A That's less common. Particularly more recently,
6 given the knowledge that we have in the scientific
7 community about shaking without impact. I don't -- I
8 think that's come up in a couple cases, but even in
9 this case I think I -- I understand there was
10 testimony that there may have been impact into a soft
11 surface, so I -- I can't -- I can't think of a case,
12 as I sit here right now, that there was no discussion
13 of shaking without impact at all.

14 Q Okay. And how many of those cases dealt
15 with short falls?

16 A Many. It's a really rough guess, but -- and it
17 truly is a guess -- but I would say maybe on the order
18 of 70 percent.

19 Q Seventy percent?

20 A And maybe it's more.

21 MS. CRAVEIRO: Okay. No further questions
22 and no objection.

23 THE COURT: Doctor, you're saying all the
24 cases that you reviewed were -- always involved
25 shaking with impact? As far -- as best of your -- as

1 best of your recollection?

2 THE WITNESS: So, through the -- so, this
3 case I thought was a shaking-only case until I read --

4 THE COURT: No. Forget about this case.
5 You're talking about --

6 THE WITNESS: I -- I -- I --

7 THE COURT: -- one-fourth to one-third of
8 the time that you've spent in your professional
9 career, or maybe about that, dealing with cases
10 involving either shaken baby syndrome or abusive head
11 trauma -- phrase it that way -- without an
12 understanding as to what actually occurred, but it's
13 characterized as this kind of case. Now, when you've
14 taken a look at that scenario, and if I understand
15 your testimony correctly, those scenarios that you
16 reviewed or considered in your professional career,
17 did they always involve a shaking with impact that
18 resulted in the injury?

19 THE WITNESS: Your --

20 THE COURT: As opposed to a shaking with no
21 impact.

22 THE WITNESS: I understand your question,
23 Your Honor.

24 THE COURT: Okay.

25 THE WITNESS: Unfortunately, in most of the

1 cases we don't know what actually happened.

2 THE COURT: Okay.

3 THE WITNESS: I have looked at video where
4 there was only a shaking event, but there may have
5 been an impact off video that we didn't see. So I
6 just -- I -- I just can't tell.

7 THE COURT: The aerials [sic] are always
8 different -- the variables are always different then;
9 right?

10 THE WITNESS: They're different and then
11 there's, you know, eight to ten people all
12 hypothesizing different things about what actually
13 occurred, so there's all different -- in every case it
14 seems like -- so --

15 THE COURT: Is the com -- can we narrow it
16 down to one common variable, that being an injury to a
17 child?

18 THE WITNESS: The only thing I would --
19 distinction I would put there is injury kind of in --
20 I would say infers trauma.

21 THE COURT: Okay.

22 THE WITNESS: And so, if it's a traumatic
23 case, then absolutely there's, you know, there's
24 trauma in some cases that I have worked on. The
25 defense's position was that there was no trauma

1 whatsoever to the child and it was a -- a medical
2 event that resulted in the child's collapse.

3 THE COURT: I guess what I'm really -- aside
4 from a shaking, trauma, impact, injury -- when you
5 were asked by defense counsel before about cases that
6 you were consulted on and she used the phrase shaken
7 baby syndrome or abusive head trauma, --

8 THE WITNESS: Yes.

9 THE COURT: -- you indicated to one-fourth
10 to one-third of the time that you have been consulting
11 has involved those kinds of cases.

12 THE WITNESS: Yes.

13 THE COURT: I guess what I'm trying to
14 understand is, with those kinds of cases, was there an
15 injury or something wrong to the child? I don't mean
16 an injury by way of impact, I don't mean -- was there
17 something wrong with the child that you were asked to
18 come in and help decipher whether there was impact, no
19 impact, trauma, no trauma, shaking, no shaking, the
20 one thing is that something was wrong with the child
21 that needed attention. Is --

22 THE WITNESS: Absolutely, except I wouldn't
23 be asked to address the non --

24 THE COURT: Okay.

25 THE WITNESS: -- the medical part of that.

1 THE COURT: No, no. No, but --

2 THE WITNESS: Yeah.

3 THE COURT: -- at least all the children
4 that you were asked to consult in, --

5 THE WITNESS: Ab --

6 THE COURT: -- despite the fact that defense
7 counsel characterized it as a shaken baby case, again,
8 you don't know if there was any shaking, you don't
9 know if there was any impact, you don't know if there
10 was any -- I want to -- I don't want to say trauma,
11 but you don't know the cause, you're just dealing with
12 an end result. And the end result is the child --
13 there's something wrong with this child and they're
14 trying to figure out what -- what -- what the cause of
15 it was.

16 THE WITNESS: That's a good explanation of
17 that whole group of cases, I think.

18 THE COURT: Okay. All right. All right.
19 I'm just trying to get an understanding, because I got
20 lost there with your testimony going back and forth
21 with regards to you saying there's no impact, there is
22 impact, there's no shaking, there is shaking. I just
23 want to be clear. We have a -- we have a child who is
24 -- needs attention, medical attention, and you have
25 been asked to consult in those cases. And counsel

1 characterized it as shaken baby cases, but we're not
2 really sure whether it was shaken baby.

3 THE WITNESS: Right. I would call them
4 cases like that, if there's allegations of shaking.

5 THE COURT: Okay. All right. All right.
6 So I'm going to allow -- I'm going to -- with no
7 objection from the state, you're going to be qualified
8 as an wit -- as an expert witness in biomechanics.

9 All right. Counsel, your witness then.

10 MS. RUE: Thank you, Your Honor.

11 DIRECT EXAMINATION BY MS. RUE:

12 Q Dr. Van Ee, can you -- I know you did
13 briefly on voir dire, but can you just explain the
14 science of biomechanics simply for us again?

15 A Sure. So, as it relates to this case and what
16 I've done, it would be specifically impact
17 biomechanics, and that is looking at the human body as
18 from a mechanical perspective trying to understand
19 what are the forces or accelerations that give rise to
20 injury. And then the forensic aspect of that is, if
21 you have injury that could be identified as trauma,
22 what are the types of things that are compatible with
23 -- with producing that. So, if you have a -- if you
24 have a given history and you have a specific trauma,
25 you can then evaluate is that consistent with what we

1 see or not.

2 Q Who first hypothesized the premise that
3 angular acceleration can cause intracranial injury?

4 A As I have been taught and understand it -- I
5 certainly wasn't alive at the time, but Dr. Holbourn
6 from the U.K. looked at that in the 1940s and his idea
7 was that angular acceleration -- so, how quickly the
8 spin of something changes -- and I can talk about that
9 if you want -- but specifically how quickly the spin
10 of the head changes could give rise to things like
11 subdural hematoma, intracranial hemorrhage, hemorrhage
12 inside of the skull around the brain.

13 Q Okay. Do you want to explain a little
14 further what you mean by that?

15 A Sure. So, it's easier to start out -- we talked
16 about Gs already.

17 Q Mm-hmm.

18 A That's linear acceleration. So, acceleration
19 along a line and it's how quickly you come to a stop
20 or, if you're already stopped, how quickly you
21 accelerate out. But it's always along a line.

22 Angular acceleration is the spin of
23 something. So, if you have a merry-go-round and it's
24 going around and suddenly it is stopped abruptly, how
25 quick that stop occurs is angular acceleration. If

1 the stop occurs fast, there's greater angular
2 acceleration. If it occurs slowly, like you just put
3 your foot against the side of merry-go-round and rub
4 against it and it slowly comes to a stop, that's low
5 angular acceleration.

6 When you have high angular acceleration --
7 think of the kids on that merry-go-round -- all of a
8 sudden it just abruptly hits something and stops, all
9 the kids on the merry-go-round will continue to go
10 forward from their position. Right? The kid on this
11 side is going to forward this way, and the kid on this
12 side is going to go forward this way, if this is a
13 merry-go-round going around like that.

14 If you think of the merry-go-round as the
15 skull and the children as the brain trying to keep up
16 the path of the skull, whenever you have that
17 differential angular acceleration, there's going to
18 tend to be separation unless there's force there to
19 hold the children in place. So, if they're holding
20 onto a bar right around them and you suddenly stop it,
21 they might be able to ride that position down and not
22 get dislodged.

23 So, this idea that the brain has to
24 accelerate due to changes in the skull motion creates
25 sheer forces around the -- as you move away from where

1 the center of rotation is, you move to the outside of
2 the brain, those forces get bigger and bigger to -- in
3 order to stay with the skull.

4 It's thought and it's been shown in studies
5 with both animals and human cadavers, and we have seen
6 it in sports events where a boxer may take a hit or a
7 football player takes a hit, these angular
8 accelerations can cause concussion and they can cause
9 subdural bleeding, and in some cases diffuse axonal
10 injuries or actually breaking or stretching of the
11 nerves in the brain. The axons. You can break those,
12 as well.

13 So, those are the types of things:
14 concussion, subdural hemorrhage, and DAI, those are
15 some examples of things that are associated with
16 angular acceleration.

17 Q Okay. What safety measures -- when that --
18 when that premise first came to be accepted, what
19 safety measures came from that?

20 A So, yeah. I mean, obviously it -- it's always an
21 evolution. That's the 1940s. In the '60s there was a
22 bunch of more studies done by the National Institutes
23 of Health looking at primates in producing subdural
24 hematoma. This was done Ommaya. And they started to
25 understand the role of angular acceleration in

1 combination with linear acceleration, because it's
2 very hard to get -- and this is important.

3 If I'm hit in the head, almost always I get
4 rotation. Like, if I'm hit in the chin, I get a lot
5 of rotation, but my head doesn't move as far, it just
6 more rotates. If I get hit more towards the center of
7 my head, I get more translation and less rotation. So
8 both of those can give rise to injury. And so when we
9 develop air bags, helmets, seatbelts, the interior --
10 all the interior components of a vehicle, they test it
11 by taking a crash dummy head and throw -- and shooting
12 it at, like, the -- the A-pillar, the thing that goes
13 between your windshield and your -- where your door
14 connects up and the hinge --

15 Q Mm-hmm.

16 A -- in the door, that level, they shoot a crash
17 dummy head at that to make sure that that trim on
18 there has enough give that it's unlikely you're going
19 to suffer a head injury.

20 So all head injury, they look at these
21 factors. Now, most of the time, just to make it easy
22 for designers, we look mostly at linear acceleration,
23 but angular acceleration is always associated with any
24 linear acceleration. And if you have both, both
25 contact, angular and linear, that's the situation

1 where injury is most likely to arise. Angular
2 acceleration all by itself, without contact, the
3 threshold for injury seems higher than if you have
4 contact with it.

5 Q So, how does shaken baby syndrome or abusive
6 head trauma relate to biomechanics?

7 A I would say it's more -- biomechanics is a way of
8 looking at that topic. Right? It's a way -- it's the
9 method of science that's been developed by humans to
10 look at the mechanics of injury. And so shaken baby
11 syndrome is a hypothesis that says, if you take a
12 child and hold them by the torso and shake them -- at
13 its simplest form -- the head goes and back and forth
14 and the hypothesis was that you would create these
15 angular accelerations of the head that are sufficient
16 to rip bridging veins and cause injury to a child.

17 Biomechanics would come in and say, well,
18 what are those angular accelerations and are those
19 angular accelerations consistent with what we know
20 causes injury in a car crash or in a fall or are they
21 inconsistent with what we know causes injury. And
22 that's how it's evaluated. So it's a way of looking
23 at that topic. That's the relationship.

24 Q Is it fair to say that it's a way to test
25 the hypothesis of shaking or is that a wrong way to

1 put it?

2 A Yeah, no, that is -- you can use the science of
3 biomechanics to test it, but there's ever -- there is
4 no perfect test ever of anything, but, yes, it is one
5 of the sciences that has been used to test the
6 hypothesis of head -- shaken baby syndrome.

7 Q Okay. And what is the difference, from your
8 perspective -- not as a medical doctor, but as a
9 biomechanical expert, what is the difference between
10 pediatric anatomy and adult anatomy?

11 A Well, as it relates to this topic, --

12 Q Yes.

13 A -- the big issues are the -- the -- the most
14 major issue you think of when you think of a child --
15 if -- a child versus an adult, is the weakness of the
16 neck of the child. Particularly an infant. They have
17 a very heavy head, which represents a large proportion
18 of their body, whereas an adult it's less than 10
19 percent of our total body weight and we have a strong
20 neck that holds it up. A baby can't even hold their
21 own head up.

22 So, you have this really weak neck, this
23 large head, and in cars we learn very early on that if
24 you put a child in a car seat, particularly in an
25 infant, in a forward-facing car seat and they're in a

1 frontal crash, and in a front crash their -- their
2 chest is strapped to the seat, but their head does
3 this. And it stretches that neck. And when they were
4 in crashes of significant magnitude, the upper part of
5 the neck and the head began to separate and they
6 caused spinal cord injuries in young infants.

7 That's why in -- I think it was around the
8 '80s they began to take children and put them in rear-
9 facing -- particularly infants -- rear-facing child
10 seats. And in other countries they actually do it
11 until age four. But they put them in a rear-facing
12 car seat so that you can directly hold the head in
13 that frontal crash. And frontal crashes are the most
14 common type of crash. Because if you go off the road
15 and hit a tree, it's a frontal.

16 Q Mm-hmm.

17 A If you're rear-ended, somebody else had a
18 frontal. Right? So frontal crashes are the ones that
19 are most common, so let's protect the children in the
20 most common crash. You take infants, you put them in
21 a rear-facing child seat where their head is supported
22 in that frontal crash, as opposed to making the neck
23 do the work.

24 So, we know that necks of infants are very
25 weak and vulnerable to injury. Other aspects are also

1 important looking at the thinness of the skull of the
2 infant, as well as the different properties of the
3 brain and other tissues of an infant, those also play
4 a role as well in trying to understand when infants
5 will suffer a head injury or not.

6 Q And maybe you already explained this with
7 that answer, but how do those differences in anatomy
8 affect the injury tolerance of a child or an infant
9 compared to an adult or a full-sized person?

10 A Yeah, I think I explained it. I mean, in short,
11 if you've got a structure that's weaker -- injury
12 tolerance is how strong the structure is. If it's --
13 if it's weak, you need to know that so that you can
14 try to protect that part of the body in a crash.

15 Q Is there a controversy in how biomechanics
16 relate to shaken baby syndrome?

17 A I don't know if there's a controversy in the
18 biomechanical community. I think there's certainly
19 topics of discussion and research that people are
20 looking at. I'm sure -- well, I've seen testimony of
21 physicians who say that biomechanics doesn't
22 necessarily agree with what they understand to be the
23 clinical picture and so there could be controversy
24 there as far as what role that plays.

25 Q You mentioned before I believe the Ommaya

1 study.

2 A Yes.

3 Q Can you explain what the relevance of that
4 study is from a biomechanical standpoint?

5 A To shaken baby syndrome?

6 Q To shaken baby syndrome.

7 A So, as I understand it -- and I was born in 1970.
8 These studies were done in the late '60s and around
9 this same time there was a doctor named Caffey, I
10 think was the last name, proposed that the studies
11 that were done by Ommaya about the National Institutes
12 of Health -- and these are studies done on primates,
13 where they were put in a chair, strapped, and then
14 accelerated so that their head snapped back like that
15 in a really extreme whiplash sort of motion. Some of
16 these primates had subdural hemorrhages and neck
17 injuries associated with that.

18 My understanding is Caffey said that
19 supports this idea that shaking could cause injuries
20 to children. Ommaya came back later and said, wow, I
21 don't know that Caffey understood these represent
22 approximately 30-mile-per-hour crashes. This is not
23 something that a human can generate in shaking. And
24 then wrote about that in a subsequent peer-reviewed
25 article that was published.

1 So I think there was some misunderstanding
2 of the biomechanical study of Ommaya by Dr. Caffey at
3 the time, but I don't know that that fully was
4 realized by physicians, and I think it's still
5 confusing to some physicians still to today.

6 Q Okay. And when you say it's still
7 confusing, what is confusing exactly?

8 A That the Ommaya study supports the idea of shaken
9 baby syndrome. I mean, really loosely, sure, if the
10 head is allowed to -- this free motion, could you
11 create a subdural hemorrhage? Possibly, but the
12 levels of force are far beyond what a person can
13 generate in shaking.

14 Q Okay. What is the difference between a
15 whiplash event and the shaking event?

16 A Well, the Ommaya used a sled or a -- a test
17 contraption that they had it in a laboratory that
18 would accelerate this chair with the primate in it at
19 speeds representative of a 30-mile-per-hour crash. So
20 that's -- that's that whiplash there. Now, some
21 whiplash events, if I'm just sitting at a stop light
22 and I get rear-ended at five miles per hour, I could
23 look at the head acceleration for that and compare it
24 to a shaking event. If it's a -- you know, certainly
25 not a 30-mile-per-hour crash, but I think

1 accelerations in whiplash could be 5 to 10 Gs and I
2 think that's consistent with shaking. In a low speed
3 whip -- or a low speed rear-end sort of accident.

4 Q Would you expect to see subdural hematomas
5 in that kind of level of 5 to 10 G incidents --
6 whiplash events?

7 A We don't typically ever see anything like that.
8 Now, that there's always an exception to the rule. I
9 mean, if somebody is particularly vulnerable. Or it's
10 just like riding a roller coaster. There has been
11 some people that have gotten off a roller coaster and
12 have had a subdural hematoma, but it's a very, very
13 rare that under those sorts of exposures that somebody
14 would suffer a traumatic injury.

15 Q I want to -- you wrote a report relating to
16 this case; correct?

17 A I did.

18 Q And we've marked it as D-14.

19 MS. CRAVEIRO: Oh, yeah. That's fine.

20 MS. RUE: Okay. I just want -- do you have
21 a copy of it or -- I just want to --

22 THE WITNESS: I have it on my computer, but
23 I don't have a physical copy.

24 MS. RUE: Okay. Well, I'll provide you --
25 If I may approach, Your Honor?

1 THE COURT: Mm-hmm.

2 BY MS. RUE:

3 Q I just want to ask you about, on page 4 you
4 wrote that -- regarding the hypothesis that shaking
5 is, quote, "likely to result in injurious angular
6 acceleration/deceleration resulting in direct damage
7 to bridging veins and diffuse axonal injury while
8 simultaneously not injuring the neck or torso cannot
9 be scientifically supported."

10 What does it mean that these injuries --
11 meaning damage to bridging veins or diffuse axonal
12 injury -- what does it mean that those would not exist
13 without neck injuries?

14 A So, in short, we know the neck is very weak and
15 vulnerable to injury. And under that motion, the
16 first place to look for injury, from a biomechanics
17 standpoint, would be the neck. The idea that you can
18 create a subdural hemorrhage by ripping a bridging
19 vein, if that is indeed the mechanism, for a normal
20 healthy child without injuring the neck, the data does
21 not support that, because the angular accelerations
22 that are created in shaking, they are less than what
23 we see in even a one-foot fall. So, one-foot fall on
24 the carpet, we typically don't associate that. If the
25 baby is sitting up and falls over and hits their head

1 on the carpet, they may cry a little bit, but we
2 wouldn't associate that with a subdural hemorrhage or
3 a massive traumatic head injury. But the angular
4 accelerations in that fall have been measured and
5 published in the Journal of Neurosurgery and they're
6 greater than what we see in a shake.

7 So what that means is that -- look, I don't
8 know if shaking can or cannot give you what they call
9 the triad, but the hypothesis that links these two up
10 -- so you have the medical findings and then you have
11 a physical situation -- in this case, an abusive
12 shaking -- but does it match? Do the injuries match,
13 in terms of where the forces are created and what we
14 see? That's the question. And the data that we have
15 in biomechanics says if you start shaking a child like
16 that, that neck should be where injuries should start
17 and we're well below the levels of what angular
18 acceleration is associated with head injury.

19 So that's -- that's the point there, is we
20 don't know if you can get there with shaking for the
21 head, but we know you can get there for the neck.

22 Q And you know that through research; is that
23 right?

24 A Through re -- yes.

25 Q So what research has been done in this area

1 that supports what you're testifying to?

2 A Sure. So, one of them is where do you see
3 injuries in children? Where do they actually -- where
4 -- where we have verifiable -- look, these cases are
5 very difficult, because while there are a few
6 videotaped incidents of both accident and abuse, we
7 don't have much to really know exactly what happened.

8 But if you go to things like car accidents
9 or household falls, you start to get a better idea of
10 a verifiable situation where you have multiple
11 witnesses and say here's what happened and here's how
12 the child responded, you can get an idea of what types
13 of impacts result in subdural hematoma, as well as
14 what types of impacts result in neck injury. And so
15 those data -- that's the beginning. So you get some
16 idea. Then you can do tests with human cadavers or
17 animals to try to explore those relationships further,
18 and then you can do computer models. Those are
19 primarily the main tools that we have available to us.

20 When you're doing tests with cadavers or
21 animals, you may also use a test device that allows --
22 say you just want to focus on one topic, like just
23 what types of head accelerations occur when I slam the
24 head into a bench versus a child sitting on this bench
25 and falls off onto the floor, what are the differences

1 in accelerations. And then you can use things like
2 crash dummies to get an idea of what's going on there.

3 But they're just measuring the acceleration.
4 That's all they're doing. They don't predict injury
5 in and of themselves, because a crash dummy doesn't
6 have a brain or blood or things like that. It's just
7 measuring the acceleration. You still have to have
8 those injury reference values to take that data and
9 make meaningful inferences from it.

10 Q Okay. Can you tell us about the Prange
11 study? I believe you mentioned it before. And I
12 don't know if you want to reference --

13 A Oh, sure.

14 Q -- the PowerPoint that you -- it's in the
15 report.

16 (Extended pause)

17 A I'm trying to share my screen. I'm not sure --

18 MS. BIELAK: There's usually -- there's
19 usually a bit of a lag I think for a minute.

20 MS. RUE: Yeah, I think there's a couple --
21 it lags for a few seconds.

22 (Extended pause)

23 UNIDENTIFIED MALE: (Indiscernible)

24 MS. RUE: Great.

25 BY MS. RUE:

1 Q And can you describe or just, you know,
2 outline for the Court what this study was?

3 A Sure. So, this was done at the University of
4 Pennsylvania. Michael Prange was the lead author.
5 This was published in 2003 in the Journal of
6 Neurosurgery. What the authors did in this study is
7 they developed a crash -- a test device that
8 represented the weight and relative size of a one-and-
9 a-half-month-old infant. And then they took this test
10 device and they had people shake it and they had
11 people slam it onto a piece of foam that represented a
12 crib mattress -- so a soft surface -- and then they
13 also dropped it onto concrete or carpeted floor or the
14 crib mattress from one foot, three feet and five feet,
15 and then they, finally, they also slammed it against a
16 wooden bench and then a wooden bench with carpet on
17 it. So they did all these different things.

18 And what they were trying to understand is
19 what's the head acceleration that's happening under
20 these situations. Then they -- they varied this along
21 with a study that was done earlier, looked at the
22 properties of the neck, as well as skull stiffness, to
23 figure out are those big factors. And so they tried
24 to do their best to envelope the response between a
25 really stiff neck or a really soft neck, so it

1 envelopes the response of a real infant. And these
2 are the results that they got.

3 Q If you could just explain them --

4 A Yes.

5 Q -- to us.

6 A So, let's first look at this plot. The bottom is
7 called peak change and angular velocity. That is how
8 fast the head is spinning or not spinning. So that's
9 the speed of the spin. And then, on the Y axis is
10 actually how fast that speed changes.

11 So if I'm driving in my car and I am going
12 40 miles an hour, and I hit my brakes when I get to a
13 stop light and I slow down slowly, you can think of
14 the 40 miles per hour would be what's on the X axis.
15 It's how fast I am moving. And if I hit the brakes
16 slowly, I am not going to rise very high on the Y
17 axis, because I have a low level acceleration. If I
18 hit a brick wall, I am still at the same place on the
19 X axis, but I would go really high up on this graph,
20 because I have really high acceleration. Now, that's
21 linear acceleration.

22 This is the same thing, except it's a
23 spinning effect. So, when the head is shaken, it
24 changes direction from one direction to another
25 direction as it's being shaken, and so there's

1 acceleration and deceleration at each point. When the
2 head is falling, while it's in the air, the head
3 probably isn't really spinning at all, but upon impact
4 it quickly rotates in response to the big force that's
5 created on impact.

6 Q And that -- and pardon me. That would be
7 not just shaking, but shaking onto different surfaces
8 that you just outlined before that are listed.

9 A Right. For any of the -- so, if you shake -- if
10 you think of a slam onto this wooden bench compared to
11 a slam onto a mattress, the overall head speed might
12 be very similar, but the change in that speed is going
13 to be very different. Because if I hit this, that
14 speed changes almost instantaneously. If I hit a
15 mattress, it slowly comes to a stop and then begins to
16 accelerate back out. So, how fast that speed changes
17 is what makes -- what determines whether you go high
18 up on this graph or just across laterally.

19 So, what we have here in the grey spot --
20 can you see my cursor? Yes. So, right here is
21 shaking. That's this grey circle I'm looking at. The
22 angular velocity -- in other words, the speed of the
23 head is actually it's greater than what we see here
24 with a one-foot fall onto foam or carpet. Right?
25 There the head doesn't spin that much. But the

1 acceleration, the change in that spin, is actually
2 very low, how fast that spin is changing, and that's
3 why it doesn't go up very high on this plot at all.
4 And as you move higher up and further right, the risk
5 of injury goes greater.

6 Q So what --

7 A Particular as you move higher up.

8 Q Okay. So what was the -- what were the
9 findings? If you could just --

10 A Sure.

11 Q -- explain them.

12 A So, here's shaking. Here is a one-foot fall onto
13 carpet and here is a one-foot fall onto concrete. So,
14 both the one-foot falls onto concrete and carpet have
15 greater exposure, have greater head angular
16 acceleration than that is achieved during shaking.

17 Now, if you look at right here we have one-
18 foot fall onto foam. That's a very low exposure. And
19 then here with the triangle is a five-foot fall onto
20 foam. Again, very soft, you don't have much rotation
21 or spin and you don't -- and it doesn't change
22 quickly.

23 But shake you have more spin, but it doesn't
24 change quickly either, but as soon as you start to get
25 impacts with the head hitting something, those angular

1 accelerations start to rise and then they really rise
2 as you start to move up into three- and five-foot
3 impacts onto these surfaces.

4 Q And those would be the hard surfaces, the
5 concrete -- the one-foot concrete, three-foot concrete
6 and five-foot concrete.

7 A Right, as well as the five-foot carpet --

8 Q Five-foot carpet.

9 A -- are way up there. And there's another one
10 they did. They also looked at -- in addition to what
11 we talked about, what -- what about if you slam into a
12 soft surface? And that's what we see right here.
13 There is the -- the slam into a soft surface. So you
14 still get the same head speed you have during shaking
15 -- very similar; right? -- but when you hit that soft
16 surface that speed doesn't change that quickly. It
17 changes faster than it does during a shake, but not as
18 fast as it changes in even a one-foot fall onto carpet
19 or concrete.

20 So that's what-- that's what Prange found.
21 And at the end of their study they said, look, we
22 still don't have any data that says that shaking can
23 give rise to the injuries associated with it and they
24 -- and be -- they actually said that the term shaking
25 should not be used in legal settings, is their

1 conclusion and what they wrote in the Journal of
2 Neurosurgery.

3 Q And why did they say that?

4 A Because there's not a scientific basis from
5 biomechanics to support this idea.

6 Q And that was with the size of a one-and-a-
7 half-month baby approximately?

8 A That's right.

9 Q So how would the analysis change of a baby
10 who weighed 8 kilograms or a little -- like, 17-and-a-
11 half pounds?

12 A Well, that's going to make -- the -- the more --
13 whatever you're trying to shake, the heavier it is,
14 the harder it's going to be to shake. And so you will
15 create less overall head acceleration as the child
16 you're -- one is trying to shake gets bigger. There
17 is a study that has looked at that and it really drops
18 a lot as you start to get up into 10 to 15 pounds.
19 Just because you can't generate the same forces or
20 move the head the same way.

21 Q So, is it fair to say there would be even
22 less of a -- I don't know how to describe it.

23 A Both the angular velocity and probably the
24 angular acceleration would be less than what we see
25 here.

1 Q Both would be less.

2 A Yes.

3 Q Okay. Are you familiar with the Jenny
4 study?

5 A I am.

6 Q Can you describe that for -- for us today?

7 A So that's a more recently published study,
8 although she was doing work back in the early 2000s
9 already with the crash dummies that she was having
10 made in Japan. The recent Jenny study had a crash
11 test dummy that represented the fifth percentile
12 newborn of the Japanese population. So it weighed
13 around five pounds. So it represents a really small
14 baby. And they had that shake -- they shook it and
15 again they weren't able to reach the thresholds
16 associated with injury. And then when she first
17 published some of this data back in the early 2000s,
18 she looked at falls and there it showed that the
19 accelerations in a fall were much greater than that
20 during a shake.

21 Q And when you say the injuries, what are you
22 referring to?

23 A So specifically in her study she said that they
24 were not able to reach diffuse axonal injury
25 thresholds and she didn't specifically state about the

1 subdural hemorrhage thresholds, but did suggest that
2 the biomechanical data did not support the clinical
3 thinking about how shaken -- the shaken baby syndrome
4 hypothesis.

5 Q Okay. Have you personally conducted any
6 studies related to biomechanics of allegations of
7 shaken baby syndrome or abusive head trauma?

8 A I have.

9 Q Can you describe those?

10 A Sure. One of them is actually published in this
11 textbook. It's called Forensic Neuropathology. And
12 there's a section on biomechanics and some of the work
13 that I did is actually shown on this plot here. And I
14 was just looking at the same topics that Prange has
15 looked at in the past and then in comparing it to
16 other things that we know. I have a more of a car
17 background, so I wanted to include some of that.

18 So these are all linear accelerations that
19 we're looking at. And here is shaking way down on the
20 bottom. Here is a one-foot fall onto linoleum, a two-
21 foot, a three-foot, a four-foot and a five-foot fall.
22 So these are just horizontal falls right onto the
23 ground or on a linoleum floor and measured the head
24 accelerations the same.

25 Then this green dot comes from actually an

1 article where they reconstructed NFL football players
2 who suffered a concussion on the field. They took the
3 video, brought it back to the lab and looked at the
4 closing speed of the helmet-to-helmet contact. Then
5 they put helmets on two crash test dummies, ran them
6 into each other at that speed, and measures those head
7 accelerations. So, for the ones that concussion, that
8 happened at about 100 Gs. And that's what you see in
9 that green dot right there.

10 The bottom blue dots are car crashes without
11 head injury. So these were children who were in car
12 crashes and they did not suffer head injury, yet they
13 sustained -- you know, they still experienced up to 50
14 -- almost 50 Gs. And then we had three children who
15 suffered subdural hemorrhage and skull fracture as a
16 result of being impacted by an air bag in the front
17 seat of a car, and the accelerations they experienced
18 were between 100 and over 200 Gs.

19 Q Is that the top --

20 A That's the top red.

21 Q -- right --

22 A So what you see here -- right? -- is here's
23 exposure for shaking, here's exposure we know that
24 causes injury to children, including subdural
25 hemorrhage and skull fracture, here's where falls come

1 in, and then here's car crashes that don't have
2 injury.

3 And so what we get out of this is if shaking
4 producing accelerations to cause injury -- that
5 doesn't mean that shaking doesn't cause injuries some
6 other way that we don't yet understand -- but if it's
7 head acceleration, as has been hypothesized, then all
8 the things that happened above shaking should be
9 causing injury as well. So these car crashes where
10 these kids don't have injury, they should be injured.
11 And one-foot falls on the -- on the linoleum, those
12 kids should be injured. And that's not what we see in
13 everyday life.

14 So there's some -- that's the questioning
15 and how biomechanics can question this hypothesis that
16 are these head accelerations big and are these head
17 accelerations causing injury or not to the head.

18 Q So I want to go back. During the voir dire,
19 the state and court were questioning you about shaking
20 alone versus shaking with impact.

21 A Yes.

22 Q And I believe you testified -- well, you
23 said, well, with the knowledge we have now, you
24 seldomly see allegations of shaking alone. Can you
25 explain what you mean by that?

1 A Sure. It's -- I guess there's a -- some of the
2 stuff that I've presented here, along with other
3 studies I've looked at and said we don't know that
4 shaking can actually give the injuries that are
5 associated with it. And the evidence base is weak.
6 And so we do know that impact can do it. And in many
7 cases there's actually evidence of impact on these
8 children. Sometimes, if the child lives, that
9 evidence is never seen, but there are studies out
10 there where children have died as a result of abuse
11 and when they -- and at autopsy they are able to see
12 signs of impact that were not able to be appreciated
13 prior to autopsy.

14 Q And what would those be?

15 A It may be a subtle skull fracture or bleeding --
16 subgaleal bleeding of the scalp, swelling of the
17 scalp, some different things that maybe aren't as
18 clear when you're looking at the body -- the baby in a
19 hospital. Now, with advanced imaging I think there's
20 fewer and fewer of those things, but it can still
21 happen is my -- is my understanding. A radiologist
22 would certainly be able -- a radiologist or the
23 forensic pathologist would be able to talk about this
24 at much greater depth than I could.

25 Q Okay. Does a study exist that shaking alone

1 -- that -- that supports a position that shaking alone
2 can cause the injuries associated with shaken baby
3 syndrome?

4 A Does a study exist. I haven't seen a
5 biomechanical study that shows yes indeed -- there's --
6 there's biomechanical studies that say maybe and maybe
7 it's this way, and that's the most I've seen a
8 biomechanical study do. Certainly there are other
9 studies or review articles that say shaking does cause
10 these injuries. And I -- and -- and I think there's
11 confession articles where they -- people have
12 confessed to shaking a child and the child had the
13 injuries associated with shaking. That's primarily,
14 that I'm aware of, that supports this idea that
15 shaking can do this.

16 Q Is there a biomechanical study that has --
17 with dummies, animals -- that has shown that shaking
18 alone can cause retinal hemorrhages and subdural
19 hematomas? That you know of.

20 A I would say -- be more specific.

21 THE COURT: One second.

22 A The way we --

23 THE COURT: At the same time or individually?

24 MS. RUE: Both injuries you mean, Judge?

25 THE COURT: The way you were asking him, you

1 were asking him a question can it cause those two
2 injuries simultaneously. Is that what you're asking?

3 MS. RUE: Yes. I apologize if that wasn't
4 clear. Right.

5 BY MS. RUE:

6 Q And either in a -- in a study with dummies
7 or a study with -- that have been done with animals.
8 And a biomechanical study. Have -- has there ever
9 been a study where those two injuries of subdural
10 hematomas and retinal hemorrhages been proven through
11 those studies that those exist from shaking alone?

12 A No, I don't know of a study that has proved that
13 that can happening from shaking alone.

14 Q Okay. What is the Leestma study?

15 A Leestma is this textbook that we just talked
16 about.

17 Q That's what you just showed us now.

18 A Yeah, that's this --

19 Q Okay.

20 A -- textbook.

21 Q And what conclusions did you draw from your
22 contribution, the study that you had published in that
23 textbook?

24 A I mean, it confirmed the other studies. Like,
25 what Prange and Duhaime and other people have

1 published as well about the relative accelerations of
2 the head in shaking compared to falls.

3 Q Okay. Are there any video-recorded
4 instances of abuse shaking that indicated the outcome
5 of the triad of symptoms?

6 A The video -- there are some video instances of
7 shaking that have been caught on nanny cams or
8 different sorts of camcorders. I am not aware that
9 any of the video-captured events had injury that was
10 reflective of what that those are associated with
11 shaken baby syndrome.

12 Q So, in your review of this case in
13 particular, what conclusions did you draw?

14 A So, in this case I was just asked to come in and
15 talk about the biomechanical background of shaking and
16 comment on the hypothesis and what biomechanics has to
17 say about it, specifically as it relates to this case,
18 like the details of the case. I did not review case
19 materials other than testimony that's been offered.
20 So that's it. I don't have any diagnosis or anything
21 like that -- nor would I ever produce a diagnosis, I'm
22 not a medical doctor -- about what happened in this
23 case.

24 Q Okay. And then the opinions that you've
25 testified to today on biomechanics, are they to --

1 within a degree of reasonable -- pardon me -- to a
2 reasonable degree of certainty?

3 A Yes.

4 MS. RUE: Just one moment, Your Honor.
5 (Extended pause)

6 MS. RUE: No further questions, Judge.

7 THE COURT: We're going to break in a half
8 hour for lunch. Do you want to start now?

9 MS. CRAVEIRO: Sure.

10 CROSS-EXAMINATION BY MS. CRAVEIRO:

11 Q Now, doctor, you mentioned that you're not a
12 medical doctor; correct?

13 A You are correct.

14 Q So you don't have any medical degrees; isn't
15 that right?

16 A Correct.

17 Q And you also mentioned that you don't
18 diagnose or treat any patients in your work.

19 A Correct.

20 Q That also includes in the topic that we're
21 discussing here today, abusive head trauma and shaken
22 baby syndrome; correct?

23 A I don't treat or diagnose that ever. Correct.

24 Q Okay. And you've never actually been taught
25 what a forensic examination for child abuse entails;

1 correct?

2 A No.

3 Q And you have never been trained in
4 diagnosing a child with abusive head trauma. Correct?

5 A Correct.

6 Q And you've never conducted an examination
7 for abuse -- for child abuse; is that right?

8 A No.

9 Q And you've never even been consulted when
10 one of those exams was conducted; correct?

11 A You mean, like, while the exam is going on? No.

12 Q Yes. And so you don't actually have any
13 firsthand knowledge of what a child abuse pediatrician
14 does when diagnosing a child with abusive head trauma;
15 correct?

16 A No, I have no firsthand knowledge. It would only
17 be what they would tell me they do. That's it.

18 Q Okay. And even in your field, with the
19 biomechanical work you've done, you've never actually
20 examined a living infant in your cases; correct?

21 A You're talking about medical examination, we do
22 evaluations with infants and car seat fit and things
23 like that, but nothing like a medical examination, no.

24 Q And nothing related to abusive head trauma
25 or shaken baby syndrome; correct?

1 A I would say generally you are correct, yes. I
2 mean, certainly we have looked at head size and
3 relative anthropometry and things like that, that
4 relate to the biomechanics of those topics, but not
5 any evaluation, like a medical evaluation, never.

6 Q And when you're looking at the head size,
7 are you actually looking at infants themselves?

8 A Yes.

9 Q Okay. And so most of your experience in
10 this realm of abusive head trauma or all of your
11 experience of abusive head trauma and shaken baby
12 syndrome comes from the work you do with test dummies
13 and review of literature that also uses these test
14 dummies and animals; correct?

15 A I think it primarily comes first from
16 understanding living tissue and the relative size of
17 children. The test dummies are what -- what
18 biomechanical engineers kind of produce. Like, it's
19 the ultimate product, like, after you -- that's the
20 product that goes out to the mechanical engineers who
21 are the designers of child seats and things like that.
22 So, it's the background work to the crash test dummy
23 that is a lot of what I do.

24 Q Okay. And but that work that you've done
25 with the crash test dummy in that field was mostly

1 dealing with the automobile field and auto accidents;
2 correct?
3 A That's one application. Certainly, there's a lot
4 of money to look at automotive safety, and that's
5 funded by the government, and so -- and we've done
6 infant cadaver studies looking at head impact. Those
7 are typically funded by the U.S. government for
8 transportation-related research.
9 Q And you're familiar with the scientific
10 method; right?
11 A I am.
12 Q And in your field, you use it all the time;
13 correct?
14 A Generally, yes.
15 Q And it's generally accepted within the
16 scientific community; correct?
17 A Yes.
18 Q And the basic scientific methods, you start
19 with a question; right?
20 A Hypothesis of some sort. Yep.
21 Q And then you do some background research;
22 correct?
23 A Evaluate and understand the factors. Yep.
24 Q Mm-hmm. And then you, with this hypothesis,
25 then you come up a pro -- with a procedure to test

1 that that hypothesis; correct?
2 A Correct.
3 Q And then you obtain data through the
4 procedure you set in place; correct?
5 A Hopefully, yes.
6 Q And then you analyze that data; correct?
7 A Yes.
8 Q And then you'll draw conclusions based upon
9 that data; correct?
10 A Yeah. I think that's a pretty good explanation.
11 Q And some of those conclusions will include
12 whether the results align with your hypothesis or
13 whether they diverge from that hypothesis; correct?
14 A That's right.
15 Q And in this, you may also collaborate with
16 others in different subspecialties and other
17 professionals to conduct further experiments to refine
18 that data; correct?
19 A Yes, that's fair.
20 Q And then you do that to draw more sound
21 conclusions; isn't that right?
22 A Yes.
23 Q And you do that to make sure that your
24 conclusions are reliable; right?
25 A Yes.

1 Q And usually, if all those steps are taken,
2 you can -- the results of the study can be accepted by
3 others; correct?

4 A That's certainly -- you know, whether somebody
5 accepts it or not hopefully has something to do with
6 how good your study is, but sometimes it doesn't.

7 Q And the reliability of that study; correct?

8 A Correct.

9 Q And so would it surprise you to know that
10 the child abuse pediatrician uses a similar approach
11 when diagnosing abusive head trauma?

12 A I don't know what -- I don't know that they have
13 a test or any evaluation that they do. I don't -- I
14 don't know that they can employ the scientific method.

15 Q Okay. Well, in your report you state at the
16 end of the report:

17 "Even if shaking can result in injuries
18 associated with it, it cannot be said with any
19 level of certainty that when those findings exist
20 in a given child that the child in question must
21 have been shaken, as other conditions have been
22 known to result in similar findings."

23 That's correct' right?

24 A Yes, that's correct.

25 Q So, in that statement you're saying that

1 shaking can cause injuries; correct?

2 A I'm saying if.

3 Q And so there hasn't been any biomechanical
4 studies to suggest that shaking cannot definitely
5 cause injury; correct?

6 A I would never say it can. Absolute -- shaking
7 can absolutely cause injury. The question is, can you
8 get the subdural, the encephalopathy and the retinal
9 hemorrhaging without any other findings from shaking.
10 That's the question. And I don't -- right now there
11 is not a mechanistic explanation that allows one to go
12 from shaking to those injuries that said that --
13 that's how that works, that's how it makes sense.

14 Q Okay.

15 A That's what's been tested.

16 Q Okay. And correct me if I'm wrong, but in
17 your report you're saying that you can't just assume
18 that a child has abusive head trauma just because he
19 has the triad of symptoms; correct?

20 A Certainly cannot do that.

21 Q And because there's other conditions that
22 can cause that; correct?

23 A Right.

24 Q Okay. Well, did you know that child abuse
25 pediatrician doesn't assume that a child has shaken

1 baby syndrome or abusive head trauma solely from the
2 child having the triad of symptoms?

3 A I would hope they would not. That would be
4 horrible.

5 Q Okay. And did you know that this child
6 undergoes a comprehensive evaluation in getting
7 diagnosed with abusive head trauma?

8 A I hope that's true.

9 Q But you don't know this.

10 A I am not a medical doctor, I have not been
11 trained in that, and I have not evaluated their
12 procedure.

13 Q Okay. So you also don't know that the exam
14 includes consultations with --

15 MS. RUE: Judge, I'm going to object. He
16 just said he doesn't know what they do. So the answer
17 is inherently going to be no.

18 MS. CRAVEIRO: That doesn't mean I can't ask
19 the question. It's a different question.

20 MS. RUE: Well, --

21 THE COURT: Let me hear what the question
22 is. I'm going to allow the question. I'm just -- go
23 ahead and ask it.

24 BY MS. CRAVEIRO:

25 Q Okay. And so you don't know that the exam

1 includes consultations with other doctors from other
2 subspecialties; correct?

3 A That's certainly reasonable. I mean, they need
4 to get the different data from the other physicians.

5 Q And you don't -- but you didn't know that
6 they did that; correct?

7 A Oh, I'm aware that they do that. It was
8 testified to in this case.

9 Q I'm sorry?

10 A I'm aware they do that. It was testified to in
11 this case.

12 Q You listened to the testimony in this case
13 before you testified here today?

14 A I received a transcript.

15 Q Of whose testimony?

16 A I thought I had --

17 (Extended pause)

18 A Give me just a second.

19 (Extended pause)

20 MS. CRAVEIRO: Judge, I don't know if you
21 just want to take lunch early so the doctor can look
22 for --

23 THE WITNESS: Dr. Medina.

24 BY MS. CRAVEIRO:

25 Q Okay. And that's the only person you

1 received testimony --
2 A No, I saw some --
3 Q -- transcript from?
4 A -- from Dr. Scheller and from Dr. Mack as well.
5 Q You say transcripts of their testimony?
6 A Parts of it, yes.
7 Q Just parts of it. Not the whole thing?
8 A Well, I got a whole transcript, but my
9 understanding is Dr. Scheller hasn't finished yet, so
10 I have not -- I don't have testimony about what he has
11 not testified to yet, but I -- I mean, I read through
12 the transcripts that I received.
13 Q Okay. And you also read through Dr. Mack's
14 full testimony?
15 A Well, everything I received, yes.
16 Q Okay. Okay. We'll come back to that later.
17 Let's -- and going back to the procedure that a child
18 abuse pediatrician undergoes. Then, because you read
19 Dr. Medina's testimony, you know that they consult
20 with other subspecialties; correct?
21 A I know that she did.
22 Q Okay. And you know that she also -- that
23 the infant in this case underwent several different
24 tests and exams that were ordered by those special --
25 subspecialties; correct?

1 A My understanding is that, yeah, they did do
2 evaluation for that, but I -- I don't know the details
3 of that.
4 Q Okay. Well, you read her testimony, so
5 obviously you know there was genetic testing done;
6 correct?
7 A I don't recall what testing was done.
8 Q Okay. So you don't recall whether there was
9 blood testing done; correct?
10 A I don't. I don't know what specific testing was
11 done. I know that she mentioned they did multiple
12 different tests or that tests were conducted that she
13 looked at, but I don't know the details of those tests
14 or what those tests are used for specifically.
15 Q Okay. And you don't know what tests the
16 infant underwent for brain analysis?
17 MS. RUE: Judge, I'm going to object at this
18 point. He's an expert in the area of biomechanics. I
19 think we're way far afield from that.
20 THE COURT: You're asking him about if he
21 knew about any of the tests that any of the other
22 doctors involved in this case did?
23 MS. CRAVEIRO: Yes, Judge. And as it
24 relates to --
25 THE COURT: They --

1 MS. CRAVEIRO: -- a diagnosis of abusive
2 head trauma and shaken baby syndrome. And that's
3 exactly what he's been testifying to the entire time.

4 MS. RUE: No, he's been testifying about
5 biomechanics.

6 THE COURT: Well, let's -- you first want to
7 ask him has he been familiar with or reviewed any of
8 the tests that any of the other doctors who actually
9 dealt with this child -- right? Is he familiar with
10 it, has he seen it, does he know that's what they do?
11 That -- is that what you want to ask him first?
12 Without going through --

13 MS. CRAVEIRO: I will ask him --

14 THE COURT: -- each doctor individually?

15 MS. CRAVEIRO: -- that, Judge.

16 THE COURT: That -- that's what you --
17 that's how I'm interpreting your question. That's
18 what you're asking him; right?

19 MS. CRAVEIRO: Yes and no. So I will --
20 yes.

21 MS. RUE: Well, Judge, I'm also going to
22 object on hearsay grounds, because the state is asking
23 him to repeat what he's read regarding prior
24 testimony, so it's not -- it's -- it's hearsay.

25 THE COURT: Well, look.

1 MS. CRAVEIRO: This is a motion hearing.

2 THE COURT: He's -- some -- he -- somebody
3 provided him with transcripts of the other witnesses
4 who testified for a reason. So my question to you,
5 doc -- Mr. Van Ee is this. You read the transcripts
6 of the people who testified before.

7 THE WITNESS: Yes, Your Honor.

8 THE COURT: Okay. Why did you read those
9 transcripts?

10 THE WITNESS: I wanted to see what they said
11 about biomechanics --

12 THE COURT: Okay.

13 THE WITNESS: -- and if it -- how it related
14 to what I have done in this case, which was a general
15 review of shaken baby syndrome and the biomechanics
16 associated with it.

17 THE COURT: Did anything that you read in
18 any of those transcripts so far -- because Dr.
19 Scheller still has to testify -- did it influence or
20 affect your testimony today with regards to
21 biomechanics and your expertise? And the conclusions
22 that you draw or didn't draw?

23 THE WITNESS: No, Your Honor, because my
24 conclusions in this case aren't case specific.

25 THE COURT: Okay.

1 THE WITNESS: Does that make sense?
2 THE COURT: Yes, it does. Yes, it does.
3 Your conclusions are based on your own training and
4 experience and what you perceive from this particular
5 case and not from the testimony; is that accurate?
6 THE WITNESS: Right. And not even case
7 specific. I mean, it's --
8 THE COURT: Okay.
9 THE WITNESS: -- more general.
10 THE COURT: Okay. Are you familiar with
11 what any of the other doctors in this case -- the
12 examinations that they did of the child at the
13 hospital? Either be --
14 THE WITNESS: As far as what they
15 specifically did, I did not look at that --
16 THE COURT: Okay.
17 THE WITNESS: -- in any depth.
18 THE COURT: Okay. Do you know what they're
19 supposed to do in examining a child in this particular
20 instance?
21 THE WITNESS: I have no expert --
22 THE COURT: Because you're not medically
23 trained; right?
24 THE WITNESS: Right. I have no expert
25 opinion on what they should or shouldn't do.

1 THE COURT: All right. Is -- does that --
2 is there anything else to add to that, Ms. -- because
3 I needed it for clarification where the testimony was
4 going.
5 MS. CRAVEIRO: I can --
6 THE COURT: Was there any --
7 MS. CRAVEIRO: Yes, Judge. I'll continue.
8 BY MS. CRAVEIRO:
9 Q So, to be clear, you didn't review any of
10 the medical records associated with this infant's
11 case; correct?
12 A I have not.
13 THE COURT: Okay. All right. Sorry if I
14 didn't cover that.
15 Q Okay. And your conclusion that there are
16 other conditions that -- that result in similar
17 findings as injuries of a shaken child, if those were
18 taken into consideration when coming up with a
19 diagnosis of abusive head trauma, that makes it less
20 speculative of a diagnosis; isn't that correct?
21 A Can you -- I'm sorry. Can you repeat that?
22 Q Okay. So, you can't assume that just
23 because the child has the triad of symptoms that he
24 has abusive head trauma; correct?
25 A I would never make that diagnosis ever in the

1 first place. Right. So --
2 Q Okay. But that's what you wrote in your
3 report. That that assumption --
4 A (Indiscernible) cannot do that.
5 Q -- cannot be made. And that's because
6 you're saying there are other conditions that -- that
7 cause these injuries; correct?
8 A And we're not sure shaking can't. So it's both
9 those things; right?
10 Q Okay. But that's not what you wrote in your
11 report. And --
12 MS. RUE: Can we --
13 THE WITNESS: What page?
14 THE COURT: Doctor why did -- doctor, --
15 MS. CRAVEIRO: Let's --
16 THE COURT: -- why did you make that
17 conclusion in your report?
18 THE WITNESS: So the report, if you'll read
19 it, it -- it -- there's a whole section that talks
20 about the lack of scientific foundation for shaking
21 and then there was a study that was done --
22 THE COURT: From a biomedical perspective.
23 THE WITNESS: From biomechanics. Yep.
24 THE COURT: I'm sorry I said biomedical.
25 THE WITNESS: No, you're -- you're fair,

1 but --
2 THE COURT: I meant biomechanical.
3 THE WITNESS: You're right. Then I talked
4 about a review that was done in I think it's Switz --
5 Sweden or Switzerland --
6 THE COURT: Sure.
7 THE WITNESS: -- where they looked at the
8 findings associated with shaken baby syndrome, which
9 is retinal hemorrhaging, brain dysfunction and
10 subdural hemorrhage.
11 THE COURT: Now, was that a medical review
12 or a biomechanical review?
13 THE WITNESS: There was both in there.
14 THE COURT: Both in there.
15 THE WITNESS: They reviewed all the
16 biomechanical literature, they reviewed medical
17 literature and everything else, --
18 THE COURT: And when you say they, who do
19 you mean by they?
20 THE WITNESS: The -- can I just refresh?
21 THE COURT: Sure. Yeah.
22 THE WITNESS: Thank you.
23 THE COURT: Nobody minds; right? Nobody
24 minds?
25 MS. CRAVEIRO: No.

1 MS. RUE: No.

2 MS. CRAVEIRO: Doctor, you're referring to
3 the SBU assessment; correct?

4 THE COURT: Doctor -- I forgot his last name
5 though.

6 THE WITNESS: I am referring to that, yes.

7 MS. CRAVEIRO: Okay.

8 THE WITNESS: So, it was published in 2017.

9 The first author is Lynøe, L-Y-N-O-E.

10 MS. CRAVEIRO: And in that --

11 THE WITNESS: The --

12 MS. CRAVEIRO: Go ahead. I'm sorry.

13 THE WITNESS: I'm still answer -- should I
14 finish? I'm -- I can stop.

15 THE COURT: Go ahead. You can answer my
16 question and then we're going to do a -- we're going
17 to take a break for lunch here, because we're going to
18 -- and Ms. Craveiro is going to pick it up from here
19 and we'll -- you know, we'll --

20 THE WITNESS: So their finding was:

21 "After performing an extensive review of the
22 scientific basis of the shaken baby syndrome,
23 these authors concluded that the systemic review
24 indicated that there is insufficient scientific
25 evidence on which to assess the diagnostic

1 accuracy of the triad in identifying traumatic
2 shaking. It's very low quality evidence. It was
3 demonstrated that there is limited scientific
4 evidence that the triad, and therefore its
5 components, can be associated with traumatic
6 shaking."

7 And so, in referencing that, I said, look,
8 here we have these questions about whether shaking can
9 or can't do it from a biomechanics standpoint, I am
10 aware of cases where other traumatic events, like a
11 short fall, can give rise to some of the injuries that
12 we see in shaken baby, and in cases I have testified
13 in as part of my expert experience, people have said
14 that the retinal hemorrhaging and the subdural and the
15 brain dysfunction meant that this child was shaken and
16 they were very stuck with that idea. Even if in one
17 case where there was actually videotape of the fall
18 that the child died from. Somebody said, you don't
19 know if they didn't shake that child when that video
20 was turned off. They were so stuck on that idea of
21 shaking causing this.

22 So, when I write that at the end and I say,
23 look, if you just have these three things, we don't
24 know, first off, if shaking can do it, and even if it
25 does -- so that's a hypothetical -- even if it does do

1 it, just having those three things certainly doesn't
2 allow you to go back and say that shaking was the
3 cause. And that was -- that's -- that's the entire --
4 that's all I meant to say when I wrote that.

5 THE COURT: Okay. Great. Let's end right
6 there on that point. Ms. Craveiro, you can pick up
7 your cross, and I'll need everybody to come back at
8 2:30 so we can continue this. Okay?

9 Doctor, from now until then --

10 MS. BIELAK: Two thirty?

11 MS. RUE: Two thirty or --

12 THE COURT: -- don't discuss this testimony
13 with anyone.

14 THE WITNESS: Okay.

15 THE COURT: All right? You can ask
16 questions about where to go eat lunch or something
17 like that, but with regards to this particular
18 testimony or what has left to be done, don't discuss
19 it with anyone. Okay?

20 THE WITNESS: Yes, Your Honor.

21 MS. RUE: And, Judge, 1:30 or 2:30?

22 THE COURT: Two thirty.

23 (Luncheon recess from 12:18 p.m. to 2:34 p.m.)

24 THE COURT: All right, so let's get on the
25 record. Noemi, you got me back on the record?

1 (Pause)

2 COURT CLERK: Judge, are you ready to go?

3 THE COURT: Yep, let's go.

4 COURT CLERK: Okay. Going live.

5 THE COURT: So then we are back on the
6 record, State versus Darryl Nieves, Indictment 17-06-
7 785. The file is 1700050837.

8 All right, Ms. Craveiro, you were on cross-
9 examination before we broke. Your witness.

10 MS. CRAVEIRO: Thank you.

11 THE COURT: And Doctor, you're still under
12 oath, okay?

13 THE WITNESS: Yes, Your Honor.

14 MS. CRAVEIRO: Thank you, Judge.

15 BY MS. CRAVEIRO:

16 Q Okay, so I believe we left off talking about
17 the SBU report, Doctor; correct?

18 A I think so.

19 Q Okay. So that -- that review that was
20 conducted in the SBU report, they didn't actually
21 include any ophthalmologist in that; correct?

22 A I'm not sure if there was an ophthalmologist. I
23 know there was biomechanics included in the advisory
24 panel and the papers they went through, but I don't
25 know about ophthalmology.

1 Q Okay. But ophthalmologists are the ones who
2 are most equipped to diagnose and treat retinal
3 hemorrhages; correct?
4 A Diagnose and treatment, absolutely.
5 Q And that is one of the so-called triad
6 symptoms of abusive head trauma; correct?
7 A A finding, yes.
8 Q Okay. And that SBU report, it did review
9 thirty biomechanic articles; correct?
10 A I don't know what number it was.
11 Q Okay. If I show you the report, will it
12 refresh your recollection? I believe you said you had
13 a copy, or no?
14 A My computer had a copy. I haven't looked at it in
15 a little while --
16 Q Oh.
17 A -- but.
18 MS. CRAVEIRO: I want to say -- did you guys
19 put it into evidence already?
20 MS. RUE: No.
21 MS. BIELAK: No.
22 THE WITNESS: They looked at a bunch of them.
23 I certainly would agree with that. I just don't know
24 the exact number.
25 BY MS. CRAVEIRO:

1 Q Okay. So if I told you that Page 59 of that
2 report said thirty scientific articles in biomechanics
3 which were identified in literature search were studied
4 more closely, would you agree with that statement?
5 A I have no reason to disagree it.
6 Q Okay. And are you aware that the SBU report
7 in that section found that the results presented in the
8 articles that they were reviewed were very diverse and
9 -- were very diverse?
10 A The results were diverse?
11 Q Yes.
12 A Sure.
13 Q And based upon their review, do you agree
14 that the SBU was able to draw no conclusions with
15 respect to the minimum forces required to cause injury
16 from shaking based upon biomechanical studies alone?
17 A I wouldn't disagree that that may be a conclusion
18 they drew. That -- that sounds reasonable.
19 Q Okay. And in the SBU report are you aware
20 that they found two studies that were of moderate
21 weight? Moderate quality, I'm sorry.
22 A For biomechanics or for the -- to support the idea
23 that the triad is associated with shaken baby?
24 A To support the hypothesis that isolated traumatic
25 shaking can give rise to the triad.

1 A Yeah, I think there's two confession so-called
2 studies that they identified of moderate evidence or
3 something, whatever you said.

4 Q Okay. And when you said "confession
5 studies," you mean studies where an infant was found to
6 have injuries such as subdural hematomas, retinal
7 hemorrhages, and encephalopathy and people -- certain
8 individuals confessed to shaking the children; correct?

9 A At some level, yes. That's generally the -- the
10 idea.

11 Q Okay. And those studies, would those be
12 Vinch -- Vinchon and Adamsbaum?

13 A That sounds right.

14 Q Okay. And in the -- do you know the Vinchon
15 study, Vinchon?

16 A I know of it. I have read it in the past, but I
17 haven't read it recently.

18 Q Okay. And so do you I guess agree with their
19 conclusions or do you know their conclusions?

20 A That there were -- I mean, as I understand, very
21 limited, but their conclusion was we have some people
22 who confessed to shaking and we have injuries that are
23 associated with that. And on the other hand, you know,
24 there's under what conditions did they get the
25 confessions, to what did the people all confess to,

1 compared to maybe one thing about shaking. So I think
2 there's -- there was a lot left out in terms of the
3 details of the confession and under the -- the
4 conditions under which they got the confession.

5 But in general, the idea that what you said
6 earlier, I -- I don't disagree with that and the --
7 the SBU did not either, that that -- they're of
8 moderate evidence value.

9 Q And they actually gave some moderate weight
10 to it; correct?

11 A That's the mod -- that's why they rated it
12 moderate, yes.

13 Q Yes. So that means that the results --
14 Vinchon's results that the study confirms that the high
15 -- that there is a high diagnostic value with retinal
16 hemorrhages, subdural hemorrhages, and signs of impact
17 for a differ -- differential diagnosis between
18 accidental trauma and inflicted head trauma, that that
19 is of moderate weight; correct?

20 A You're mixing up a lot of stuff there. So they
21 looked at the study. They said look, this is not a
22 perfect scientific study. I mean, there's limitations
23 to what you can get from a confession study, right.
24 And they said look, there's something of value here.
25 Don't just dismiss it outright. But -- and so they

1 said it has moderate scientific weight. That doesn't
2 necessarily mean that there's moderate scientific
3 weight on the overall conclusion. That's not what the
4 SBU said.

5 They said the study, if you look at the data,
6 what they did, there's moderate weight to that, and you
7 can draw your own conclusions. Because when they draw
8 their conclusions at the end using all the best
9 evidence available, there's very low level of evidence
10 to support this.

11 Q But --

12 A That's -- so that's the conclusion of the SBU, and
13 I think that's what you asked me.

14 Q But you also don't -- aren't even aware of
15 who actually was in the SBU, because when I asked you
16 if they had ophthalmologists you don't know.

17 A Everybody's listed who is in there. I mean, I've
18 had -- I know -- met two of the people who are part of
19 that personally, but the rest of them I don't know them
20 personally and their names don't mean -- I don't know
21 their back -- each of their backgrounds.

22 Q Okay. And so if I tell you there are --
23 there were no ophthalmologists consulted in this SBU
24 report, would that change your opinion on its validity
25 as far as it goes, the conclusions that it draws?

1 A It goes to who was involved in the study. I don't
2 think it goes to the validity.

3 It -- I mean, look, one person's validity,
4 how much stock they put in it, that -- that's a
5 personal position. The SBU from what I can tell was
6 done well from an engineering perspective. It was a
7 decent review. It met high quality standards for any
8 perspective, and that's all I can comment on.

9 Q So then what you're saying is that we should
10 look at your opinions today as far as it goes for what
11 you're saying about whether or not shaking can cause
12 injury and make our own conclusions of that; correct?

13 A Absolutely.

14 Q And they're not the be-all, end-all; correct?

15 A I'm not the judge. I -- absolutely. I'm an
16 expert here to help you with my area of expertise and
17 answer questions related to that. I'm not here to
18 impart my thoughts on how this case should go.
19 Absolutely not.

20 Q Okay. And you spoke about bridging vein
21 ruptures in your testimony. You agree that ro --
22 severe rotational acceleration and deceleration forces
23 have been associated with cerebral bleeding; correct?

24 A Yes.

25 Q And you agree that the bridging veins -- that

1 bridges veins can rupture from forces created by this
2 acceleration and deceleration; correct?
3 A Sure.
4 Q And it's this bridging vein rupture that
5 causes the subdural bleeding; correct?
6 A That's one source of -- of subdural bleeding, yes.
7 Q Okay. And when we're talking about
8 acceleration and deceleration forces, we're talking
9 about moving back and forth in a severe fashion;
10 correct?
11 A Well, when I talk about acceleration/deceleration
12 my first question is are you talking linear or
13 rotational. And if you're talking about linear, then
14 it's along the line. If you're talking rotational,
15 then it's a rotation of the object. So it just depends
16 on what -- what you're saying.
17 But I think as I wrote it in that paragraph
18 in my report that I think you're reading from --
19 Q Uh-huh.
20 A -- we're talking about rotational acceleration and
21 rotational deceleration.
22 Q Okay. And rotational acceleration and
23 deceleration are associated with what? Moving in a
24 circle?
25 A Movement.

1 Q Movement.
2 A It's a kinematic movement.
3 Q Okay. And you also reference the Ommaya
4 study, and that's also what the Ommaya study found;
5 correct?
6 A What is -- what the Ommaya -- that could be
7 associated with intracranial bleeding --
8 Q That bridging veins were particularly liable
9 to rupture because of the one whiplash event with no
10 shaking; correct? Or with no impact, I apologize.
11 A Ommaya looked at the effect of rotational
12 acceleration on the heads of primates --
13 Q Uh-huh.
14 A -- and found that rotational acceleration could
15 give rise to neck injuries and subdural hematomas of --
16 in the brain.
17 Q Okay. And this rotational acceleration that
18 came from one single whiplash event; correct?
19 A Yes, that's correct.
20 Q And that one single whiplash event with no
21 impact; correct?
22 A That's correct.
23 Q Okay.
24 A And that did not contact anything, right.
25 Q And so even in those cases where there is one

1 -- and when we're talking -- strike that.

2 When we're talking about whiplash, we're
3 talking about the violent flinging of the head onto the
4 neck by a rear end collision; correct?

5 A So, interesting. So the head initially doesn't
6 move at all. It's the body that moves. The neck pulls
7 the head along with it. So it's not the flinging of
8 the head on the neck. It's the body movement and the
9 head is forced to follow what the neck is doing. So
10 the neck is pulling the head along, then the head gets
11 up to speed, and the body may stop and then the head
12 goes and pulls the neck.

13 Q Okay. So is that -- it was with that one
14 single event that Ommaya was able to find that bridging
15 veins were particularly liable to cause ruptures;
16 correct?

17 A I think so, yes.

18 Q And that subdural hemorrhages were caused
19 because of that; correct?

20 A Yes.

21 Q Okay. So wouldn't violent and repeated
22 shaking cause more movement of the head than a single
23 whiplash event?

24 A It's the level of acceleration. That's the key.
25 I mean, if you want to talk about movement of the head,

1 I can get in my car and drive from here to Michigan.
2 That's a lot of movement of the head. It's how
3 extreme is that movement in terms of acceleration or
4 deceleration. That's the key. I can jump rope all
5 day.

6 Q Yes.

7 A Well, I can't, but somebody could. Our body could
8 -- our body is made to be able to withstand lots of
9 movement. It's when those movements are abrupt is when
10 injury often takes place. So, the key is how abrupt
11 are those transitions or changes of movement, and
12 that's the -- the kinematic quantity associated with
13 that is called acceleration.

14 Q Okay. And so violent shaking, that would be
15 a higher acceleration than just jumping up and down;
16 correct?

17 A Oh, sure.

18 Q And that would be -- never mind.

19 So you -- the -- the diagnosis of abusive
20 head trauma, you don't dispute that the medical
21 community does recognize it as valid; correct?

22 A No, I don't -- I don't dispute that.

23 Q Okay. And you don't dispute that the
24 mechanism of -- shaking alone as the mechanism of
25 injury is widely accepted in the medical community as

1 well; correct?

2 A It depends on the medical community you're talking
3 about. Within ophthalmology, radiology, pediatrics I
4 think it's more widely accepted. If you talk to
5 forensic pathologists, it's less widely accepted. So
6 it depends on which group you're talking about based on
7 my limited info. But I think there's actually a study
8 there where they interview or do a survey of the
9 medical community to check that.

10 Q Okay. And in that survey are you aware that
11 the results came up that it was generally accepted in
12 the scien -- in the medical community?

13 A Again, if you look at -- you have to look at how
14 you define the medical community and who you're
15 interviewing.

16 Q Okay. And now you also mentioned the 1987
17 Duhaime study. The study didn't say that shaking alone
18 can never cause injury; correct?

19 A No, it did not. It shouldn't be used to say that.

20 Q Okay. And it specifically said that our
21 conclusion that shaken baby syndrome, at least in its
22 most severe acute form, is not usually caused by
23 shaking alone; correct?

24 A Yeah, it's what it said.

25 Q Okay. And so in that situation, the most

1 severe and acute form would be fatality; correct?

2 A Yes.

3 Q So, what it may have been suggesting or what
4 it was suggesting is that in those situations shaking
5 alone is not usually the cause of fatality; correct?

6 A What they're saying is they went and looked at
7 children -- I'm trying to remember if it had died, but
8 there was a group of children of -- they looked at, and
9 I want to say approximately fifteen. I don't know
10 exactly the -- fifteen had died. Some of those they
11 said had been shaking alone. They looked, and they
12 found evidence of impact in each one of those dead
13 children. So, it clearly wasn't shaking alone.

14 And they said -- and then they did a physical
15 study where they had the University of Pennsylvania
16 football players shake a test device that represented a
17 one-month-old child, and the accelerations that they
18 measured were very low and below the level of where
19 they thought injury would take place for a child. And
20 they said look -- it doesn't look like shaking is
21 responsible for this because the accelerations are low,
22 and when we look at the ones who were fatality injured,
23 they show signs of impact, that they did say that some
24 of the ones that were fatality injured they did not
25 know that there were signs of impact until they did an

1 autopsy, which they said so maybe there's children who
2 are alive who suffered impact but we don't actually
3 know they suffered impact because you can't do --
4 obviously, you're not going to do an autopsy on a
5 living child.

6 Q Okay. And so again, shaking alone -- shaken
7 baby syndrome in its most severe form is not usually
8 associated with shaking alone, that's what she was --
9 that's all Duhaime was saying; right?

10 A That would be a very short paper if that's all she
11 said. That was one of the conclusions --

12 Q Was one --

13 A -- that the authors drew.

14 Q Yes.

15 A But there's a very long paper with a lot of data
16 and a lot of evidence that goes with it and a lot of
17 other conclusions and findings, so I don't think
18 that's all she was saying, but that certainly was a
19 part of it.

20 Q Okay. But isn't -- that would be -- the
21 beginning sentence of a last paragraph it -- where it
22 says, "It is our conclusion that shaken baby syndrome
23 at least in its most severe acute form is not usually
24 caused by shaking alone."

25 A That is a conclusion. I don't disagree with that.

1 Q Okay. And in -- that was not Duhaime's only
2 article. There was another article that was written in
3 2019. Correct?

4 A She's written maybe close to a hundred articles.

5 Q And one is entitled Abusive Head Trauma:
6 Evidence, Obfuscation, and Informed Management;
7 correct?

8 A No idea.

9 Q Okay. So you're not aware that in that study
10 Duhaime also states that abusive head trauma is a
11 universal phenomenon reported and studied around the
12 world?

13 A I don't know what that article states.

14 Q Okay. And so you also don't know that it
15 states --

16 MS. RUE: Objection, Judge. He hasn't read
17 the article.

18 MS. CRAVEIRO: Okay.

19 THE COURT: Sustained. If he doesn't know
20 the article, you can't question him on it.

21 MS. CRAVEIRO: I will move ...

22 BY MS. CRAVEIRO:

23 Q You also mentioned Cory's study. In Cory's
24 study they were able to show that the forces generated
25 by shaking of a child can make -- can in many cases

1 exceed the minimum forces needed to cause injury;
2 correct?

3 A They found that if -- so they had a very unique
4 situation. If I -- can I talk about it?

5 Q Go ahead.

6 THE COURT: If it's -- if it's the answer to
7 your question you can, yeah.

8 THE WITNESS: Yeah. So Cory, what they did
9 is Cory and Jones are the two people who wrote it, and
10 what they looked at is when the chin hits the chest --
11 so they have this test device where the chin hits the
12 chest and where the back of the head hits the spine.
13 So it goes clunk, clunk, clunk like that when you're
14 shaking it.

15 When those impacts occurred, they were having
16 headic (phonetic) linear accelerations on the order of
17 100 Gs. So those impacts are on the order of 3, 400
18 pounds between the chin and the chest.

19 When that happened, like I said, they had
20 these accelerations that are almost a factor of 10
21 greater than what Duhaime got when they did theirs. So
22 this thing is going clunk, clunk. It's an impact.
23 It's not an impact against another surface, but it's an
24 impact, chin to chest, head to the back of the spine.

25 And they found that during those impacts they

1 were able to reach the threshold for concussion, but
2 they were not -- even with those impacts were not able
3 to reach the levels of subdural or diffuse axonal
4 injury. And that's all written in -- in my report.
5 Jones has a summary of all of that study. And that's
6 similar to what the Jenny study shows too.

7 BY MS. CRAVEIRO:

8 Q And in the Cory study, didn't he state that
9 the authors concluded that it cannot be categorically
10 stated from a biomechanical perspective that pure
11 shaking cannot cause fatal head injuries in infants?

12 A They did. I fully agree with that.

13 Look, the -- what it comes down to is we
14 don't know, but the data we have says you really need
15 to question whether this can do that or not and you
16 can't just assume that it does. The data -- so, where
17 you talked about the scientific method earlier, we said
18 look, you test a hypothesis. That's been tested by
19 multiple people using different methods, and every time
20 it's come back is that it doesn't look like it fits.
21 It's not the end of the story. It's not where we're --
22 we're not done because you can never have the perfect
23 test. But the data that we have suggests that there's
24 good reason to question that the rotational
25 accelerations are sufficient to rip bridging veins.

1 Q And if we're talking about the medical side
2 of that, when you -- when doctors say okay, we're not
3 done and we're not sure, what would they have to do to
4 make them more sure and to continue their process?
5 Wouldn't that be conduct more tests?

6 A Their process is to treat children and take care
7 of children. I --

8 Q I'm sorry?

9 A Their process is to treat children and take care
10 of children. What do you mean finish their process?

11 Q I'm saying in a diagnosis when they're not
12 sure, if -- if we're talking about a differential
13 diagnosis and we're -- like you said in your report,
14 that it could be this or it could be that, so we can't
15 assume it's shaken baby syndrome, wouldn't the next
16 steps be to continue more tests and try and rule out
17 these other possibilities?

18 A Absolutely. I would hope they do that. And then
19 you always have the "I just don't know" at the end of
20 the day. Sometimes there's things we just don't know.

21 Q Okay. But just because bi -- in biomechanics
22 we just don't know, can't we look at other things like
23 the confession studies that help us say yes, there is a
24 basis for it if we're looking at these certain
25 parameters?

1 A Absolutely. I think that's what the SBU says.

2 Q Okay. And so if a doctor used that in making
3 a diagnosis, would that be a reliable diagnosis using
4 that kind of process?

5 MS. RUE: I'm going to object, Judge. This
6 is outside the scope of a biomechanical expert, to
7 testify about a doctor's diagnosis and the reliability
8 of it.

9 THE COURT: Doctor, are you able to testify
10 to -- are you able to answer her question? Do you have
11 a basis for it?

12 THE WITNESS: Generally, I feel like I could
13 answer it. Specifically, I can't speak to a doctor's
14 method.

15 THE COURT: I'm --

16 THE WITNESS: But when you have incomplete
17 data as a scientist --

18 THE COURT: I'm going to allow a general --
19 I'm going to allow a general answer based on what
20 you're -- and hear what you have to say. In the end
21 I'm deciding credibility all of this anyhow, so.

22 THE WITNESS: That's correct.

23 THE COURT: Okay. I'm going to overrule it
24 just for that.

25 THE WITNESS: As a scientist, you need to

1 look at your -- the question you have and the data you
2 have and the reliability of it, and you can say there's
3 a trend or you can say this conclusively shows, and
4 sometimes you're in the middle of those yet.

5 And what we have is we have a trend of data
6 that says this doesn't look like it fits. That doesn't
7 -- that doesn't mean we close the book and say we're
8 going to never look at this object -- or this scenario
9 again, but it does say you need to look at the data for
10 what it is and if there are other things that are
11 reasonable you consider them.

12 And you always got to realize we don't know
13 everything, and so you -- to some extent you got to
14 always have the we don't know or we don't have a
15 definitive answer on that yet. We're going to do the
16 best we can with what we have but acknowledge that
17 sometimes we just don't have a final answer.

18 BY MS. CRAVEIRO:

19 Q But you're saying that in the biomechanical
20 world that -- or correct me if I'm wrong, that the --
21 the science isn't there and that all of the
22 biomechanical experts are kind of in the same viewpoint
23 as you; right?

24 A The science isn't fair?

25 Q Isn't there, I'm sorry. That's why I'm

1 trying to speak closer to this because, you know.

2 A I think within the biomechanical community it's
3 consistently written that there's controversy about
4 this, that there's data that calls this into question
5 whether shaking can do this by the mechanism identified
6 of rotational acceleration.

7 There's other hypotheses that maybe there's
8 something that's happening in the upper neck that
9 affects breathing that then results in hypoxia and the
10 lack of blood flow or lack of oxygen causes brain
11 swelling and that's the method. That may be right. We
12 may find out that's how it works, but we don't know
13 that yet.

14 So we just don't -- from a biomechanic
15 standpoint, we don't have an answer that says oh,
16 here's exactly how this happens. Like we do about a
17 broken hip or a broken femur when you're in a frontal
18 crash. It's pretty clear how that all occurs. But on
19 this it doesn't look like it fits, at least not based
20 on the way it's been hypothesized.

21 Q And you mentioned Carole Jenny, but doesn't
22 she also agree that there is extensive evidence that
23 violently shaking a young infant can cause serious head
24 injury?

25 A She would say that, yes.

1 Q Okay. And --

2 A Look, if you violently shake an infant, there's no
3 doubt you're going to cause serious injury and maybe
4 even death. The question is would it show up with just
5 the triad and nothing else. That's the question.

6 Q But aren't there also certain limitations in
7 biomechanical studies?

8 A Absolutely.

9 Q Okay. And so don't those biomechanical --
10 the limitations in the biomechanical studies,
11 especially in this realm, cause reliability issues with
12 the findings?

13 A I would say that the limitations are
14 understandable. They're objective. You can state
15 them. Limitations in -- in studies that related to
16 confession data are much more difficult to wrap your
17 mind around -- my mind around, at least -- and get a
18 handle on what's going on there.

19 We have objective scientific methods to do
20 these things. Certainly, we don't know everything
21 about it, but it should fit generally with the data
22 that we have.

23 Q So, when someone says I shook a baby, you
24 have a problem with that more so than using a fake baby
25 and shaking that and testing if that fake baby is going

1 to have injury than someone saying I actually shook
2 this child and created -- and then the child was later
3 found to have injuries?

4 A What I have a problem is -- and I have seen this
5 specifically in my cases -- is when they take somebody,
6 put them in a room and say, you shook this baby, you
7 shook this baby, and they're like I didn't, I didn't.
8 When I went over to the crib the child was not
9 responsive. I picked him up. I did shake them to try
10 to get them to respond. And I had that shown in my
11 cases as that is evidence that an abusive shake took
12 place. That to me is not evidence of an abusive shake
13 whatsoever. And those cases --

14 Q But you also --

15 A -- have then gone on to help people say that then
16 proves that shaking -- I have very limited access to
17 confessional data, but the confessional data that I
18 have seen that they have -- that I personally have
19 viewed where they say this supports shaking in my
20 opinion does not. I'm not saying that what they said
21 is completely wrong, but it does not seem to fit.

22 I've had cases where they say the child was
23 shaken where there was massive skull fractures. They
24 may have shaken the child, but the child had an impact
25 to the head. So I've seen both sides where there's

1 both clearly abuse to a child and some where there's a
2 complete question on whether there is. And in each
3 case, the State said this child was shaken based on
4 this confession, and to me, it didn't fit.

5 Now, I haven't looked at the confession data
6 from the -- I have not had the opportunity to look at
7 the specific confession data from these studies. I
8 think that would be very useful to do. One of the
9 studies said analyzing these confessions is beyond the
10 scope of the study.

11 I was like that's like me saying if I go to
12 the lab and measure a bunch of head accelerations in
13 car crashes and then don't tell you what car they were
14 in or how fast the car was going when we rammed it into
15 the wall or even what crash dummy was in there but then
16 say oh, these cars are completely safe, but the cars in
17 the crash conditions we're not going to really tell you
18 about it. It's completely useless data, if that makes
19 sense.

20 That -- so I have a problem knowing how to
21 interpret that kind of data as a scientist when in fact
22 I have biomechanical data where it's more clear. We
23 can have a legitimate scientific discussion about the
24 limitations as well as the strengths of the data, and
25 we could sit in a room and debate that and that's fine,

1 and that's what's done in the literature. And that has
2 consistently come back and said look, we don't have the
3 final answer, but there's good reason to question
4 whether shaking can or can't do this through the
5 mechanism of angular acceleration.

6 Q Okay. So you have no dispute with shang --
7 shaking and an impact causing those types of injuries?

8 A Impact could absolutely cause injury to a child,
9 no doubt about it.

10 Q Okay. And shaking along with that will as
11 well; correct?

12 A So would driving to McDonald's and impacting the
13 child. I mean, the shaking may or may not have
14 anything to do with it.

15 Q Okay. And in this case you have no idea what
16 happened; correct?

17 A I have not reviewed the facts of this case.

18 Q Okay. And going back to the limitations,
19 when we're talking about infant models, the biofidelity
20 of these infant models, the ones that are used, there
21 are severe limitations with that; correct?

22 A There are limitations, yes.

23 Q Okay. Because obviously, they don't have
24 blood flowing through them and -- and the neck is
25 usually made of rubber or hinges; correct?

1 A I don't know of any rubber hinges, but there is
2 rubber.

3 Q No, rubber or hinges.

4 A Or sometimes hinges.

5 Q Yes.

6 A Or different other engineering materials. And no,
7 it's not -- it's not a model of an infant. It's a test
8 device that tries to capture the important mechanical
9 factors that are relevant to the analysis being done.

10 Q Okay. Test device. I was trying to use
11 "model" instead of "dummies" to make it sound a little
12 better.

13 Okay, so the -- the Prange study that you
14 brought up, didn't Prange also recognize that the neck
15 in his model couldn't mimic the neck of a real infant?

16 A It could not mimic a real infant exactly, no. I
17 mean, even one -- my neck doesn't mimic your neck,
18 right. Everybody's neck's a little different, and then
19 a test device is another abstraction from that.

20 Q Okay. And so these limitations on the
21 biofidelity of the doll will cause issues with the
22 accuracy of the studies they are used in; isn't that
23 right?

24 A I think you need to understand the limitations and
25 be careful when you're interpreting the data from the

1 limit -- from these studies to understand. So if they
2 tested a neck that's way too floppy and they test a
3 neck that's way too stiff, then you know that the
4 actual response of someone is going to be in the
5 middle, right. So that's the way engineers do things.

6 Just like my car doesn't have to be crashed
7 with me in it for me to understand whether the
8 seatbelts or the air bags are going to work. They can
9 crash it with a fiftieth percentile dummy, which is not
10 the same size as me, has no blood, no skin, anything
11 else. They can crash it with that crash dummy in a
12 laboratory and have some idea, well, what's going to
13 happen to me if I fall asleep and fall off the -- go
14 off the road and hit a tree.

15 So you could do scientific extrapolation
16 using properly validated and properly formed test
17 devices with good data to interpret that from. And
18 those are the same methods we're using with shaken
19 baby, analyze it, as we use when we design helmets for
20 children, playground -- playground surfaces.

21 When the NFL comes and says what type of
22 helmet should we use for our football players or what
23 should the AstroTurf be like, all that's the same
24 science and so it should -- it doesn't matter if I hit
25 my head in the car or hit my head on a football field

1 or hit it on a soccer goalpost. The mechanics are
2 governing that, and so that's impact biomechanics. And
3 so we're just try -- using those same techniques when
4 we're looking at this particular topic, shaken baby
5 syndrome.

6 So if a -- if a pediatrician says I believe
7 in car seats and I believe in air bags and I think
8 people should use them, but then they say ignore
9 biomechanics when it comes to shaken baby syndrome,
10 that is not a logical position.

11 Q Okay. But do doctors say that? Is that an
12 actual position?

13 A They don't say it that way, but they do say I --
14 the -- in my experience, I've had physicians say, look,
15 what I see in this biomechanics doesn't fit with what I
16 understand and what I think occurs in shaken baby, so
17 I'm just going to dismiss biomechanics as being
18 irrelevant [sic] or the dummies aren't perfect, they're
19 not living humans, so I don't think they have any
20 relevance to this topic. I'm just going to go with the
21 confession.

22 Q The dummies aren't real children; correct?

23 A And I don't believe that that is a proper
24 scientific position. Go ahead.

25 Q The dummies aren't children though; correct?

1 A No, they're not children.

2 Q And they can never react the same as a real
3 infant would; correct?

4 A No, they never -- it's a test device.

5 Q Okay.

6 A Just like a thermometer has nothing to do with my
7 skin, and yet I can use this glass and mercury
8 thermometer and put it in a thing of water and figure
9 out whether if I put my hand in there would I burn my
10 hand or not. That thermometer has nothing to do with a
11 human.

12 Q Okay. There are also limitation --

13 A And it measures the temperature of that water that
14 I can then use with an injury reference value to figure
15 out whether I would be burned or not.

16 The crash dummy does the same thing. It
17 measures the temperature of the trauma. So I would
18 know what -- idea of what would happen to somebody if
19 they were in that environment.

20 Q Again, but depending on how good your
21 thermometer is depends on how good the reading you're
22 going to get from the thermometer; correct?

23 A Absolutely. (Inaudible).

24 Q So there's still always going to be
25 inaccuracies, whether you're using a test dummy or a

1 thermometer; correct?

2 A There could be inaccuracies, but those are the --

3 Q Okay. That's -- that's a yes or no question.

4 A My point -- my point is that -- my point is --

5 THE COURT: No, it's not. I ask -- I need
6 people to be able to answer the question. If you want
7 a yes or no question, ask a proper one.

8 THE WITNESS: My point --

9 THE COURT: But nobody can cut off a witness.
10 I'm sorry, Doctor.

11 THE WITNESS: Sorry.

12 My point is if you accept that science can
13 help us protect children with bicycle helmets or
14 playground flooring or child seat development, that
15 same science should be valid when you apply it to
16 another environment.

17 If you don't agree with both, you can say,
18 look, I think all this research is baloney. That's at
19 least a logical position. But you can't accept it one
20 place and then not accept it another. That was the
21 only point I was trying to make.

22 BY MS. CRAVEIRO:

23 Q Okay. And the validity -- injury thresholds,
24 there's also issues with scaling and its validity;
25 correct?

1 A I think there are legitimate scientific questions
2 that could be raised and discussions that could be had
3 about that topic, yes.

4 Q Okay. And there are no validated injury
5 thresholds for an infant brain; correct?

6 A I don't think as a categorical statement that's
7 correct. I think we have ideas about where things can
8 happen. I think that's somebody who's setting up to
9 ignore what we know in biomechanical science about what
10 causes injury.

11 Now, can I give you a specific number? No.
12 But there certainly are ranges and if a one foot -- if
13 one can agree that a one-foot fall does not cause
14 injury from acceleration/deceleration, then
15 accelerations on that same level or below shouldn't
16 cause it then either, no matter how they arise.

17 Q Okay. And these -- the injury thresholds,
18 they're usually estimated from scaling or extrapolating
19 of adult and animal data; correct?

20 A Yeah, that's primarily where they first started.
21 There have been additional things that have been done,
22 including some of my own studies that have been
23 published where we can use either infant cadaver data
24 or we can use actual videotaped accidents where
25 children have been hurt and help check those estimated

1 values to see if they're accurate or not.

2 Q And the scaling from animals to infants
3 hasn't been validated either; correct?

4 A Well, that's exactly what I'm talking about. When
5 you do these other studies with infant cadavers as well
6 as studies looking at injuries to children where we
7 know the circumstances, that's how you validate these
8 other methods. And that's fur -- been further along
9 than when the dummy was first developed in the late
10 '80s, and that's exactly the point of those studies, is
11 they do validate the methods as being useful and
12 reliable.

13 Q The methods of the scaling or --

14 A Yes. Yeah, actually, I mean it -- it's very
15 surprising. When we did -- I did that study at Duke
16 where we compared the infant crash dummy head. So we
17 had the crash dummy that represents an infant. We
18 took its head, we tested it in the same fixture where
19 infant cadaver heads had been tested, and it came out
20 as being a fit.

21 And I remember sitting there with other
22 researchers, some -- one of them who was almost 70
23 years old at the time who has seen the very beginning
24 of impact biomechanics, and we all commented on how
25 John Melvin, who worked at GM, one of the original

1 impact biomechanics people who said here's how hard
2 that head should be based on these scaling techniques
3 you're talking about. Looked at skull thickness,
4 looked at overall head size of an infant, said here's
5 where I think it should be, set the parameters for the
6 crash dummy should be, made it.

7 They developed a cash dummy, and then we
8 checked it against an actual infant head about 10, 15
9 years later and it came out and it fit. And we were
10 like how did he get it so accurate? That was -- that
11 was our response. So that's the point. That's how
12 this works.

13 Q Are you aware of the Schiks article, Luuk C-H
14 -- S-C-H-I-K-S?

15 A No.

16 Q Okay. Thresholds for the Assessment of
17 Inflicted Head Injury by Shaking Trauma in Infants, a
18 Systemic Review?

19 A I haven't seen that.

20 Q Okay.

21 A What year is that?

22 Q That is a recent one. 2019. 2020, actually.

23 A Yeah. So my report in here was written in 2019.

24 Q Okay. And so doesn't the reliability of the
25 prediction of risk of injury to an infant from shaking

1 based upon the use of these different thresholds, isn't
2 there an issue with their reliability because of the --
3 its limitations?

4 A You need to understand -- look, if I came in here
5 and said today shaking absolutely cannot do that, that
6 would be -- that would be an unfounded scientific
7 opinion. That would be an unreliable opinion.

8 But if I came here and said -- which I have
9 today or have attempted to -- and say look, the data
10 that we have draws this into serious question. We have
11 the best data. We've applied it. We've looked at it.
12 Multiple people have looked at it using computer
13 models, multiple test devices. And looking at it in
14 terms of what we know what happens in cars, accidents,
15 or falls and you look at it and you say I don't know
16 that shaking is going to do this, it doesn't look like
17 it fits. That I think is a reliable opinion, even
18 within the limitations that we have of the data.

19 Q But still, the biomechanics can't say, as you
20 just said, that shaking can't cause these types of
21 injuries, correct, or it hasn't come up with that
22 conclusion yet? Correct?

23 A Yeah, I would never -- yeah, you can't rule that
24 out, absolutely.

25 MS. CRAVEIRO: No further questions.

1 MS. RUE: I don't have any redirect, Your
2 Honor.

3 EXAMINATION BY THE COURT:

4 Q Doctor, in your field of biomechanics, does
5 any of it involve your study of the weaknesses or
6 strengths of living tissue?

7 A Yes. Yes, sir.

8 Q Yes, sir? To what degree?

9 A That's ultimately what we're trying to get to in
10 every single study, if it -- what is -- we do test
11 with cadaveric tissue. We do test with animal tissue,
12 which the reason they do animals is because it's live
13 tissue.

14 Q Uh-huh.

15 A We do computer models, which can sometimes bridge
16 the gap between dead and alive, right. They'll -- the
17 whole purpose of half my dissertation was how is
18 cadaver different than a living human when it interacts
19 with an air bag.

20 Q Uh-huh.

21 A So, it addresses that directly. And to the extent
22 we can have actual living data of people, that is the
23 best, but under many circumstances that's neither
24 morally or physically possible.

25 Q What living tissue do you test that's most

1 closest to that of a -- a baby? I meant that as
2 general as I --

3 A Yes. So --

4 Q And here's -- here's what I'm getting at.

5 A Yeah.

6 Q This entire discussion that has to deal with
7 the neck and the skull and the inside of the skull. So
8 what issue in your field is being tested that comes
9 closest to what would normally be the skull of a baby,
10 the neck of a baby, given that you're talking about a
11 neck being weaker for a baby, and a baby skull could
12 vary? Thick, thin, skull, et cetera. What --

13 A Yeah. Let me try to address that question as best
14 I can. So, neck I think is very critical to this.

15 Q Uh-huh.

16 A The earliest data that is on record -- and it was
17 used in the development of the baby crash test dummy --
18 was data that was back -- collected back in the late
19 1800s by I think it was a pathologist or obstetrician.
20 I don't know if they even had those names back then.
21 But they were stillborn children. But he was wondering
22 how hard -- how much can I assist a mother when they're
23 giving birth to a child, how much can I pull on the
24 head and not injure the kid.

25 And so these children had died, and so he

1 actually did experiments with them pulling on the head
2 to figure out how much force does it take to separate
3 the head from the neck, to get an idea of how hard he
4 could pull on a child's head to assist in birth. That
5 data was about all the data we had that was specific to
6 infants until much more recently.

7 At Duke University they did additional
8 studies on infant cadavers again, so dead tissue, but
9 pulled on it until it was -- until it broke.

10 But then what we also have is we have field
11 studies of car crashes where we actually have physical
12 evidence of exactly what happened to the car, how fast
13 it was moving when it hit something, and we have
14 children with injuries from those crashes. And these
15 are --

16 Q Children or babies?

17 A I'm sorry?

18 Q Children or babies?

19 A Babies.

20 Q Okay.

21 A Typically under 1 year.

22 Q Okay.

23 A So infants. And I personally had one of those
24 cases in Philadelphia and another one in northern
25 Michigan where children were in forward-facing child

1 seats and we know generally about the Gs that the car
2 experienced. We can then calculate what are the forces
3 that that neck experienced, and then we have the actual
4 injuries associated with that. So we know under those
5 conditions here are the injuries you get when you get
6 tension.

7 You then put a crash dummy in that same sort
8 of seat under the same scenario and say here's the
9 force that a crash dummy gives under the conditions in
10 which a child is actually injured. So you can start to
11 match up numbers from a crash dummy to outcome of an
12 actual child. That's as close as we get to testing
13 live human infant tissue.

14 Now, the cadaver model obviously has
15 limitations. It's dead tissue.

16 Q Uh-huh.

17 A But there have been lots of studies, including --
18 I did a study where we looked at how muscle changes
19 for 72 hours postmortem. So we had a living animal.
20 We were testing the tibialis anterior muscle on the
21 front of the -- in front of the calf, and we looked at
22 what that muscle was like alive, and then we looked at
23 what happens postmortem. So -- and we looked at it in
24 ways which are relevant to cadavers, how they're
25 stored. So we looked at it if you just do in normal

1 air, 100 percent humidity, as well as if you froze it
2 in the freezer and you thaw it, how do the properties
3 of that muscle change.

4 And that's been done for bone. That's been
5 done for ligament and tendon. It's been done for brain
6 tissue. Some of those tissues keep their properties,
7 even through freezing, some don't. Muscle, brain, not
8 very good. Bone, pretty good, as long as it stays
9 hydrated. If it gets really dry, it behaves very
10 differently.

11 So there's a lot of studies that look at the
12 cadaver model relevant to what happens in living people
13 and what are the limitations of that model. And that
14 data is used by the DOT, of the military, and NASA when
15 they develop their injury criteria for people.

16 So that's that whole area of science. I
17 mean, I obviously walk in here walking with a history
18 of all the people that have worked in biomechanics, and
19 that's how we approach that topic. I hope that helps
20 explain your question.

21 Q It does a little, Doctor, it does. And I
22 appreciate it because, you know, I'm still left with
23 all of these studies that involve animals because
24 they're the closest to live and, you know, the study of
25 primates, even small primates. But me as the

1 layperson, I'm saying to myself, you know, these
2 animals were born, developed, however you want to say,
3 to live some place other than a baby crib, to live some
4 place other than the inside of a home, okay.

5 You would assume a primate was born and
6 developed over years to be able to live in a jungle, in
7 the environments of a jungle. So if you're talking to
8 me about, you know, whiplashing a primate, well, is --
9 is the skull of the primate by nature thicker than that
10 of a baby routinely because of what -- what's entailed
11 in being a primate living in a jungle? Is the muscle
12 tone of the neck, even of a baby primate, going to
13 automatically be stronger and thicker than that of a
14 baby because of what it takes to be a primate living in
15 the jungle? See, those are the things that as I'm
16 listening to everybody during the questioning and
17 testimony I have to assess and go through my mind.

18 So when you're -- and then so if you get the
19 study done with regards to the primate, for example,
20 and you try and extrapolate -- extrapolate to how it
21 applies to a child, I -- you know, the movement from
22 the primate to the child, the pathway starts to get a
23 little murky and cloudy for me because there's never
24 been a test -- a -- a test done, from what I can --
25 from what I've gathered so far on a live child or live

1 children to see what kind of force it takes to shake
2 them and what's the resulting injury of that just by
3 shaking alone. And, you know, who can generate enough
4 force to shake that kind of baby.

5 And that's what I'm being -- being asked to
6 decide here, so that's -- I've asked questions of the
7 other witnesses as well, and you're biomechanics,
8 that's why I'm asking you this question, to help bridge
9 that gap for me and if your discipline allows for it.

10 A Sure. Obviously, I can't bridge the entire gap.
11 It's a big gap.

12 Q Yeah, okay.

13 A And I recognize that. Let's first talk about
14 animal studies as they relate to humans.

15 Q Got it.

16 A And it depends on what you're doing. Like I don't
17 expect that a baboon is going to put the same force on
18 a seatbelt that I would in a frontal crash, right.
19 There's differences in size.

20 Q Uh-huh.

21 A There's difference in skeletal structure,
22 everything else. And you're right, skull thickness
23 does vary. Like -- I mean, there's been studies that
24 have been done with -- with pigs, right, and they have
25 a very thick skull.

1 So, the first step is to figure out what am I
2 specifically trying to get from an animal study, what
3 am I trying to -- what am I trying to do there. And
4 one of the things Ommaya was looking at is look at an
5 animal that has a relatively big head compared to its
6 body and yet the neck is stronger, obviously, in a
7 monkey compared to what you have -- or in a primate
8 compared to a young infant. But what they were able to
9 show is that you could get these subdural hematomas
10 through this angular acceleration.

11 So -- and I'm just going to talk about it in
12 terms of subdural hematoma or concussion. So he was
13 able to induce concussion and subdural hematoma using
14 primates. Now, building on that data, we then have
15 data from boxers who were willing to put accelerometers
16 on their head and walk into a ring and get pummeled by
17 somebody else. It's just part of their nor -- and so
18 we get an idea of what kinds of exposures these people
19 are experiencing.

20 So we take data from different size primates,
21 and depending on the size of the brain, they have
22 scaling techniques that say look, if you're doing a
23 rat, if you're doing a very small monkey, if you're
24 doing a baboon or a rhesus, you know, based on the
25 brain mass or diameter, here is where we think injury

1 is going to take place. And as the brain mass and
2 diameter gets bigger, it takes less overall
3 acceleration. It's just like the (inaudible) getting
4 bigger and bigger. And that was postulated by
5 Holbourn back in the 1940s.

6 And if you plot on that same data, you have
7 squirrel monkeys and you have larger monkeys and then
8 you put human tolerance based on things like boxers or
9 some NASCAR riders who are riding around with
10 accelerometers on their head, and so we have data on
11 where some people unfortunately get hurt in real life
12 and you put on a li -- you put it on plot, it fits in
13 terms of that two-thirds power factor.

14 And that's been published and it's part of
15 the basis that the DOT uses when the National Highway
16 Traffic Safety Administration says all right, you may
17 have a new air bag. You put a child in front of the
18 air bag, blow that air bag off, you got to be below
19 this level for a 3-year-old and below this level for a
20 6-year-old, below this for a 12-year-old.

21 Those techniques are used for that. I
22 certainly didn't develop them. I mean, there's a long
23 time history putting that forward and putting it all
24 together. And it is a study in and of itself to
25 understand how to use that. So that's subdural and

1 concussion.

2 So we have some human data, we have some
3 primate animal data, and they've tried to link it up.
4 Because we do have -- we're not completely devoid of
5 the human data. We have some. What we don't have is
6 much for children, and I fully agree with that.

7 But if we're completely off, if -- let's say
8 it took -- let's just pull out a number. Let's say it
9 takes only 1,000 radians per second squared to give a
10 subdural for a child. That's all it takes.

11 There was -- there was a researcher in
12 Florida who put sensors on his own kid when the kid is
13 playing in a jump room, like a -- you know --

14 Q Yeah, I got it.

15 A Thank you.

16 Q Yeah.

17 A He actually measured on the order of 800 or 900
18 radians per second squared where this kid is just
19 bouncing around doing that jumper room. So the idea
20 that it's 1,000 radians per second squared causes a
21 subdural, that's probably not the case. Because some
22 kid's going to be in there and the brother's going to
23 throw a toy and hit them in the head and they're going
24 to get over 1,000. That's probably not doing it. If a
25 child had a really unique susceptibility to injury,

1 maybe. But for most people, that's a relatively safe
2 region. So we have some data of what kinds of
3 rotational accelerations it takes to cause injury. Is
4 it 10,000?

5 So with a human cadaver adult, they had them
6 fall backwards onto the back of the head in a
7 controlled environment and then injected the
8 vasculature to see did we produce a subdural under
9 that condition, and they found that about half the time
10 if there was 10,000 radians per second squared they got
11 a subdural. So they said for an adult, falling on the
12 back of the head 10,000 is enough. That fits with the
13 boxers, which are typically running in around the
14 5,000, 6,000 range.

15 Now, where is the infant? I can't tell you
16 exactly where the infant is, but we do know that if the
17 same test device that's used in the shaking, if you
18 just drop it onto the floor you can get numbers on the
19 order of 10,000 from a three to five-foot drop.

20 I also have video of a child falling from
21 about 50 inches right onto her forehead, suffering a
22 fatal subdural hematoma. So I took a crash dummy, did
23 that same thing, did that drop, measured the rotational
24 acceleration, and it far exceeded that 10,000 number.
25 So it says look, this dummy correctly predicted whether

1 there was going to be injury or not for that condition.
2 It's not perfect, and it's certainly not
3 complete, but to say we don't have any data or to say
4 that our data is so limited that we can't tell anything
5 I don't think is being fair either. Because we
6 certainly don't do that in any other area of our life.

7 Q Well, I know you utilized the phrase "showing
8 a trend versus conclusively establish."

9 A Yes.

10 Q We're looking to get to that point or science
11 is looking to get to that point of conclusively
12 establishing; right?

13 A Yes.

14 Q Okay.

15 A Can I add one other --

16 Q Yeah, sure, since I asked.

17 A The only thing else, you know, unfortunately we do
18 have video of some people shaking children. I've only
19 looked at -- close at maybe three or four of those
20 videos. In those cases the children did -- thankfully,
21 did not suffer any of the injuries associated with
22 shaken baby syndrome. So we have some little -- that's
23 such a minuscule amount of data. But when you're
24 talking about having no data, a minuscule amount is
25 still significant. It's certainly not enough to say

1 conclusively anything, but it does say does this fit
2 with what we're seeing in these experiments with
3 computers or experiments in a laboratory with dummies,
4 and it does seem to fit.

5 Q Is the sample of those videos of people
6 shaking babies, is it enough to even draw any small
7 conclusion from it? Or is it just -- has anybody done
8 that or it's just too small of a sample?

9 A Case study data in general you have to be careful
10 with. It's really easy to say this could never be true
11 or this can be true --

12 Q Okay.

13 A -- because all you got to do is show one piece of
14 data that says oh, it is true or no, it's never not
15 true because here's the case, right. So, if it's a
16 short fall or a shake, you could -- a single case study
17 can throw a scientific theory completely out of the
18 water, and you need to be able to recognize that.

19 Normally, those are malformed scientific
20 theories, like they were never formed properly in the
21 first place or we would never -- or you wouldn't have
22 to throw it out.

23 Q All right.

24 A But beyond that, I think you need to be pretty
25 careful about what you do with case study or unique

1 data like that.

2 MS. CRAVEIRO: Could I ask a question, Judge?

3 THE COURT: Yes.

4 RE-CROSS-EXAMINATION BY MS. CRAVEIRO:

5 Q In those nanny cam cases that you're talking
6 about, do you know what kind of examination the infants
7 underwent?

8 A Other than -- it depends on the case. But
9 normally I would say they went to a physician, they
10 were evaluated, and no injuries were identified.
11 That's -- the ones I've reviewed, that's the info that
12 I received.

13 Q Okay. So you don't know if they went and had
14 a comprehensive exam by a child abuse pediatrician and
15 had a skeletal survey -- skeletal survey done or
16 hematological or any of that stuff?

17 A So like in one case, it was on the news. Like
18 this child was seen shaking, and they brought the child
19 to the hospital. I can't imagine that -- I don't know,
20 but I can't imagine that physicians would say this
21 child was seen shaken on video, we're only going to
22 just look at him and then let him out of the hospital.
23 I think they would be -- had a good interest in looking
24 at that in great depth, but I can't tell you exactly
25 what was done.

1 MS. RUE: I don't have any questions.

2 THE COURT: Doctor, thank you very much.

3 THE WITNESS: Thank you.

4 THE COURT: Greatly appreciate it, okay? We
5 have your -- Dr. Scheller coming back on Thursday?

6 MS. RUE: He's back Thursday, Judge. He --
7 his train arrives at about 10, so --

8 THE COURT: Okay.

9 MS. RUE: -- he should be available from
10 10:15 until 12:45.

11 THE COURT: We'll get him in, and we'll get
12 him out.

13 MS. RUE: And then he -- and then he's, yeah,
14 back on the train.

15 THE COURT: We will prioritize him.

16 MS. RUE: Thank you, judge.

17 THE COURT: So all right. We're adjourned
18 until then.

19 (Hearing adjourned at 3:35 p.m.)
20
21
22
23
24
25

CERTIFICATION

I, TERRY L. DeMARCO, the assigned transcriber, do hereby certify the foregoing transcript of proceedings (page 1 through page 75, line 23) recorded on CourtSmart, Index Nos. from 10:50:02 to 12:18:32, is prepared to the best of my ability and in full compliance with the current Transcript Format for Judicial Proceedings and is a true and accurate compressed transcript of the proceedings, as recorded.

/s/ **Terry L. DeMarco**

Terry L. DeMarco

AD/T 566

AOC Number

KLJ Transcription Service

Agency Name

10/21/20

Date

CERTIFICATION

I, SANDRA HICKS, the assigned transcriber, do hereby certify the foregoing transcript of proceedings (page 75, line 24 through page 124) on CourtSmart, Index No. from 2:34:28 to 3:35:03, is prepared to the best of my ability and in full compliance with the current Transcript Format for Judicial Proceedings and is a true and accurate compressed transcript of the proceedings, as recorded.

/s/ **Sandra Hicks**

Sandra Hicks

AOC 711

AOC Number

KLJ Transcription Service

Agency Name

10/21/20

Date